

CITY COUNCIL
CITY OF NEW YORK

-----X

TRANSCRIPT OF THE MINUTES

of the

COMMITTEE ON HOUSING AND BUILDINGS

-----X

June 21, 2011
Start: 1:06 pm
Recess: 4:19 pm

HELD AT: Council Chambers
City Hall

B E F O R E:
ERIC MARTIN DILAN
Chairperson

- COUNCIL MEMBERS:
- Council Member Gale A. Brewer
 - Council Member Margaret S. Chin
 - Council Member Leroy G. Comrie, Jr.
 - Council Member Elizabeth S. Crowley
 - Council Member Lewis A. Fidler
 - Council Member James F. Gennaro
 - Council Member Robert Jackson
 - Council Member Letitia James
 - Council Member Brad S. Lander
 - Council Member Melissa Mark-Viverito
 - Council Member Rosie Mendez
 - Council Member Michael C. Nelson
 - Council Member James S. Oddo
 - Council Member Eric A. Ulrich
 - Council Member Jumaane D. Williams

A P P E A R A N C E S (CONTINUED)

Laurie Kerr
Senior Policy Advisor
Mayor's Office of Long Term Planning and
Sustainability

John Lee
Senior Architect
Department of Buildings

Cas Bognacki, Engineer
Chief of Materials Engineering
Port Authority of New York and New Jersey

Russell Unger
Executive Director
Urban Green Council

Angela Sung
SVP of Management Services and Government Affairs
Real Estate Board of New York

Sylvester Justino
Director of Legislative Affairs
Building Owners and Managers Association of Greater
New York

Richard Martin
Pavement Recycling Specialist
Portland Cement Association

Frank Loré
Major Market Manager for Metro New York
LaForge Cement Company

Donna Ruder
President, Old Council Precast Building Systems
Division
Chairman, Precast Prestressed Concrete Institute

Paul Brooks
Manager of Technical Services
Wholesome

A P P E A R A N C E S (CONTINUED)

Gardner Cavanaugh
Sales Manager
Lehigh Cement

Joseph Ferrara
Vice President and General Counsel
Ferrara Brothers Building Material

1
2 CHAIRPERSON DILAN: --not quite
3 yet. Michael? [long pause, background noise]
4 Sergeant, are we ready?

5 SERGEANT-AT-ARMS: Yes.

6 CHAIRPERSON DILAN: All right,
7 we're going to begin. [gavel] Good afternoon,
8 everybody. My name is Eric Martin Dilan, I am the
9 Chairperson of the City Council's Housing and
10 Buildings Committee. Today the Committee will
11 conduct a hearing on eight bills based on the
12 recommendations of the New York City Green Code's
13 Taskforce. These bills relate to the use of
14 concrete and cement in construction; the use of
15 recycled asphalt; limiting the emissions of
16 volatile organic compounds which are found in
17 carpets, carpet cushions, interior finishes,
18 sealants, adhesives; and also bills improving the,
19 a building's indoor air quality by requiring
20 handling equipment to filter soot and other
21 pollutants from indoor air and requiring newly
22 built residential housing of having twelve or more
23 units to have dedicated rooms to store and sort
24 recyclable materials. The four bills that relate
25 to the use of concrete and cement in construction

1
2 that are before us today are Intros 56--576, in
3 relation to the regulation of concrete washout
4 water; Intro 577, in relation to the maximum
5 cement content; Intro 593, in relation to
6 requirements for concrete exposed to deicing
7 materials; as well as Intro 603, in relation to
8 the use of recycled aggregate in concrete. Two of
9 the bills before us relate to recycling practices.
10 The first of which is Intro 575, and that's in
11 relation to requiring newly constructed
12 multifamily residences to provide adequate space
13 for storage, and to sort designated recyclable
14 material of buildings of a certain size, as well
15 as Intro 578, as I said earlier, in relation to
16 the use of recycled asphalt. Two of the bills
17 dealing with indoor air quality, is Intro 585, and
18 that establishes limits on volatile organic
19 compounds; and 592, which is in relation to
20 filtering soot from incoming air in buildings.
21 The Committee today expects to hear testimony from
22 the Department of Buildings, industry experts,
23 environmentalists, academics, developers, property
24 owners, tenants and other persons interested in
25 any matter before this Committee today. As the

1
2 Sergeant-at-Arms said at the outset of the
3 hearing, if you're here to testify in favor or
4 opposed to any of the items, please fill out an
5 appearance card and indicate whether you're in
6 support or in opposition on any item before
7 today's agenda. At this point in time, I'd like
8 to just briefly acknowledge, acknowledge my
9 colleagues who are here: Council Member Melissa
10 Mark-Viverito, who is a member of the Committee;
11 Council Member Robert Jackson is a member of the
12 Committee; also being joined by Council Members
13 Leroy Comrie and Jim Gennaro, who are also Members
14 of the Committee. And at this time, I'd like to
15 recognize Council Member Chin for the purposes of
16 an introduction on the bill that she's sponsoring
17 before the Committee today. Council Member Chin.

18 COUNCIL MEMBER CHIN: Thank you,
19 Chair Dilan. I want to thank you for holding this
20 hearing today, and for your leadership on this
21 important issue. Today, we're here to discuss
22 Intro 592, which will require new HVAC system
23 installed after January 2012, to have a filtration
24 system capable of filtering out soot and other
25 harmful pollutants from entering buildings air

1
2 flow. I also want to thank my colleagues who have
3 signed on to support this important legislation.
4 In the wake of the attack of September 11, clouds
5 of toxic dust settled over lower Manhattan,
6 survivors, first responder, clean-up crews and
7 lower Manhattan resident spent months mired in
8 debris and harmful airborne pollutants. Toxic
9 dust, soot and other pollutant cover our
10 sidewalks, linger in the air, and insidiously made
11 its way into our homes and office through air vent
12 and HVAC system. The harmful effects of this
13 exposure to airborne chemical has caused lower
14 Manhattan is only beginning to be understood.
15 Intro 592 recognize how important air quality,
16 indoor air quality is, to the health of our City's
17 residents and workers. This bill will go a long
18 way to improving quality of life for all New
19 Yorkers, and will ensure that in New York City we
20 set the highest standard for ourselves in terms of
21 air quality and long term sustainability. So,
22 thank you Chair, and thank you, I look forward to
23 hearing the testimony.

24 CHAIRPERSON DILAN: Thank you.

25 Council Member Gennaro has a couple of bills on

1
2 the agenda. I didn't have a chance to speak to
3 him prior to hearing, but if you'd like to speak
4 on the, on your bills, I'd like to recognize you
5 if you choose to do so.

6 COUNCIL MEMBER GENNARO: Thank you,
7 thank you. And, and yes, I would, Mr. Chairman,
8 and thank you, Mr. Chairman for your leadership,
9 and hearing all of these good bills, many bills
10 being heard today, and the, the three that I put
11 in. First is Intro 576, has to do with the
12 concrete water washout. This bill would regulate
13 concrete water washout, which many folks know
14 contains harmful chemicals and materials that are
15 discharged into the City's water system,
16 particularly the sewer system. The bill would
17 require concrete washout containers or collection
18 tanks to capture the washout water; and would also
19 mandate, you know, certain kinds of procedures to
20 make sure that it was properly disposed of. And
21 just to be quick, there are many bills to be
22 heard, I'll do on to the next one, which is Intro
23 577, which speaks to the cement content in
24 concrete mixtures. This bill, 577, would limit
25 the amount of cement permitted in concrete mixes.

1
2 Particularly this bill would require all concrete
3 mixes, requiring a strength of 14,000 PSI or less,
4 which is the most commonly used concrete, to
5 contain no more than 400 pounds of Portland cement
6 per cubic yard of concrete; the current standard
7 is about 650 pounds. As many folks know, when one
8 makes cement, so the making of Portland cement is
9 responsible for between three and five percent of
10 the global carbon emissions, and it's critical
11 that we reduce those emissions, and this bill will
12 go a long way towards that. The third bill is
13 recycled content and asphalt, that's the subject
14 matter of the bill, it's Intro 578. This bill
15 would set a minimum amount of recycled content in,
16 in the asphalt that the City uses. The bill would
17 require City agencies to use or purchase asphalt
18 containing certain percentages of recycled
19 content, which would be phased in over time: 20
20 percent in 2012, 25 percent in 2014, 30 percent in
21 2018, and the plants that are operated by the City
22 now, the City run plants that use about 40 percent
23 recycled asphalt, and the private companies, could
24 do a little better than they're doing. And I
25 could go into more detail about all these bills,

1
2 but I look forward to hearing the testimony. And
3 I'll begin where I started which is to thank you
4 Mr. Chairman for hearing these bills, and all the
5 bills that are being heard today, and all of the
6 great environmental work that this Committee has
7 done under your leadership. Thank you, Mr.
8 Chairman.

9 CHAIRPERSON DILAN: Okay, thank
10 you, Council Member Gennaro. And just want to
11 acknowledge some Members who have walked in. The
12 Republican leader, Jimmy Oddo of Staten Island, as
13 well as Council Member Rosie Mendez of Manhattan.
14 We were also joined briefly by Council Members Lew
15 Fidler of Brooklyn, Council Member Tish James of
16 Brooklyn. And I'll just say for the purposes of
17 the audience, right next door, the Council is
18 about to begin a session involved in the
19 negotiations of this year's fiscal budget.
20 Several Members of this Committee, including
21 myself, are part of that budget negotiating team,
22 so if some Members are constantly in and out,
23 please see it as no sign of disrespect, there's
24 just multiple things going on, and a lot of
25 pressure's on Members at this time of year. So,

1
2 with that, we'll hear from the Administration.
3 And we have, from what I understand, Ms. Laurie
4 Kerr who will be leading the testimony of the
5 Administration today. Why don't you introduce
6 yourself in your own voice, as well as your
7 colleague who's here, and then you can get right
8 into your testimony.

9 LAURIE KERR: Hello. I'm Laurie
10 Kerr, Senior Policy Advisor in the Mayor's Office
11 of Long Term Planning and Sustainability. And
12 with me here is John Lee of the Department of
13 Buildings. So, good morning, Chair Dilan and
14 Members of the Committee. I'm a registered
15 architect in the State of New York. And I thank
16 you for the opportunity to testify on eight
17 introductory bills that address a variety of
18 sustainability issues involved in design and
19 construction, including air quality, the
20 allocation of space for recycling, and the diverse
21 impacts of cement and asphalt, which are used in
22 long, large quantities in the City. In PlaNYC,
23 the City set forth an initiative to "strengthen
24 energy and building codes to support energy
25 efficiency strategies and other environmental

1
2 goals." Because New York City's buildings have a
3 major impact on the City's environment, the
4 greening of the City's codes will help the City
5 achieve many of PlaNYC's ten goals, including
6 cleaner air, the reduction of the waste sent to
7 landfills, and a 30 percent reduction of citywide
8 carbon emissions by 2030, a goal that was codified
9 into local law in 2008. In order to green the
10 City's codes, Speaker Quinn and Mayor Bloomberg
11 asked Urban Green, the local chapter of the U.S.
12 Green Building Council, to assemble and manage a
13 Green Codes Taskforce charged with generating
14 proposals on how to change New York City's codes
15 and rules to increase the sustainability of the
16 building sector. Out of that effort came 111
17 proposals, 23 of which have been incorporated into
18 New York's laws, rules and practice. The eight
19 proposals under consideration today all originated
20 as Green Codes Taskforce proposals. The Office of
21 Long Term Planning and Sustainability is pleased
22 to testify in general support of today's
23 introductory bills, although our support is
24 tempered by certain caveats or suggestions for
25 refinements, that would help make the bills more

1
2 workable, or would address inconsistencies with
3 federal or state requirements. These Intros could
4 help achieve PlaNYC's goals in measurable ways.
5 For example, because each ton of cement used in
6 concrete generates roughly a ton of CO₂ emissions,
7 Intros 577 and 593 have been estimated to reduce
8 our annual greenhouse gas emissions by half a
9 percent. This is slightly greater than the impact
10 of upgrading all of our taxis and black cars to
11 hybrids, which the City is also pursuing.
12 Similarly, by requiring higher recycled content in
13 asphalt, Intro 578 would reduce the amount of
14 waste sent to landfills by an estimated 85,000
15 tons annually. This is equal to the total
16 residential and commercial solid waste collected
17 in the City over three days. The comments that we
18 are presenting today represent our initial
19 thoughts about these introductory bills, including
20 some suggestions for refinements. We're looking
21 forward to hearing today's testimony of other,
22 from other witnesses, to ensure that we fully
23 understand the technical issues related to each of
24 them. Intros 592 and 585 would improve the air
25 quality for many New Yorkers. The first would set

1
2 minimal requirements on the filtration of air to
3 ventilate buildings, thus filtering out much of
4 the harmful small particulate matter known as PM
5 2.5, or soot, that's drawn into our buildings from
6 the street. We generally support this requirement
7 for filters to have the minimum efficiency
8 reporting value, or MERV, of 11, which filters out
9 roughly two-thirds of the PM 2.5. But in the case
10 of existing buildings, we would like to hear from
11 stakeholders whether it might be appropriate to
12 drop down to MERV 10, which still filters out half
13 the PM 2.5 to account for potential complications.
14 Intro 585 would improve air quality by reducing
15 the air pollutants known as volatile organic
16 compounds, or VOCs, that are contained in carpets,
17 adhesives, paints and sealants, and that are
18 admitted into the spaces we inhabit. We support
19 this important health proposal with the caveat
20 that a number of technical and legal issues need
21 to be addressed in order to ensure broad
22 applicability and enforceability. These include
23 the need to reference standards that provide a
24 label which can enable property owners to comply,
25 and for effective enforcement. We would propose

1
2 Green Label Plus and Green Label for carpets and
3 carpet cushions, respectively; and Green Seal for
4 paints, sealants and adhesives. Additionally,
5 moisture cured and oil based polyurethanes need to
6 be explicitly prohibited. And in order to broaden
7 the applicability of these provisions, we think
8 they should be addressed in the Health Code as
9 well as the building code, that applications in
10 pre-2007 buildings need to be covered, and that
11 carpets and carpet cushions should also be covered
12 at the point of sale. We look forward to working
13 with City Council to craft a bill that, that
14 addresses these and other issues. Intro 575 would
15 require new, larger residential buildings to
16 provide central storage rooms, and in some cases
17 secondary storage rooms on each floor, for refuse
18 and recyclables. The Department of Sanitation has
19 indicated that a lack of easily accessible storage
20 areas is a major impediment to residential
21 recycling. So we generally support this measure
22 as an effective strategy to increase the City's
23 recycling rates. Currently, the zoning
24 resolution's quality housing regulations include
25 similar rules regarding the provision of refuse

1
2 rooms for many new residential buildings. But we
3 agree that the Council bill goes further in
4 requiring this for all residential buildings, and
5 explicitly including recycling. Further, the
6 building code is an appropriate location for
7 universal requirements. Going forward, we will
8 need to ensure that these building code provisions
9 do not create duplicative or contradictory
10 requirements in individual buildings, and that the
11 building size triggers room size requirements and
12 treatment of floor area are made to be consistent
13 for all buildings. Intro 577 and 593 would reduce
14 the amount of cements used in concrete. We
15 generally support these bills because the
16 production of cement is estimated to produce five
17 percent of global greenhouse gas emissions. In
18 addition, there are widely available waste
19 materials such as blast furnace slag and fly ash,
20 which can substitute for a substantial portion of
21 the cement, and which can actually improve the
22 ultimate performance of the concrete. History
23 buffs might be interested to learn that similar
24 non-cementitious materials known as pozzolans,
25 were used by the Romans to create concrete in

1 structures that have lasted up until this day.
2 Intro 577 sets a limit on the amount of cement
3 that can be used in concrete mixes requiring a
4 compressive strength of less than or equal to
5 14,000 pounds per square inch. We support this
6 bill on the condition that the stringency may need
7 to be relaxed in cases where the increased curing
8 times that can result from non-cementitious
9 additives can cause a hardship. These include
10 roadways or walkways that need to be open to
11 traffic within 24 hours, and perhaps building
12 floor slabs that are poured and cured at
13 temperatures that are below freezing, and
14 sidewalks. In addition, we need to ensure that
15 these requirements are drafted in a way that would
16 not conflict with the New York State and federal
17 Department of Transportation specifications.
18 Intro 593 would raise the limits on the amount of
19 fly ash and other pozzolans used in concrete
20 exposed to deicing chemicals. From initial
21 conversations with industry, we would like to go
22 further, and remove the requirements of Table
23 1904.2.3 altogether. There does not appear to be
24 compelling evidence supporting the need for these
25

1 requirements. Indeed there is reason to believe
2 that pozzolans could actually improve the
3 longevity of concrete exposed to deicing
4 chemicals, because the addition of pozzolans makes
5 the concrete less porous. Intro 603 would set
6 minimal requirements for the use of recycled
7 materials in concrete and base course materials.
8 This measure would reduce the demand for virgin
9 materials mined for aggregate, while creating uses
10 for waste materials that are commonly available in
11 New York and expensive to landfill. In
12 particular, the base course requirements could
13 help reduce municipal expenses by creating markets
14 for waste asphalt and glass, which are costly for
15 the City to dispose. Consequently, we agree with
16 the intent of this Intro. But we are unsure about
17 the technical viability of some aspects of the
18 bill, and would like to hear more from industry on
19 this subject. Given the lack, current lack of
20 industry standards for recycled concrete, we are
21 unsure whether the requirement for a percentage of
22 recycled concrete to be used as aggregate is
23 viable at the ten percent level, or not at all,
24 until pilot projects are done and/or industry
25

1 standards are set. Without a commonly used state
2 or federal standard, recycled concrete in
3 aggregate could undermine the quality of the
4 concrete. The use of recycled materials in base
5 courses does not present similar technical
6 concerns, so we support that part of the Intro
7 with the caveat that the use of asphalt in base
8 courses directly conflicts with New York State
9 Department of Environmental Conservation
10 regulations, so this issue would need to be
11 addressed. We also think it might be clearer for
12 the industry and easier to enforce if there were
13 simply one set of requirements rather than
14 requirements that increase incrementally over
15 time. Intro 578 would set minimal requirements
16 for the amount of recycled content in asphalt
17 purchased by the City. Every year, when New York
18 streets are, New York streets are resurfaced, one
19 million tons of asphalt are removed and another
20 million tons are reapplied. Currently, in its own
21 plants, the New York City Department of
22 Transportation creates asphalt with upwards of 40
23 percent recycled content, significantly reducing
24 the amount of waste to be disposed. This Intro
25

1
2 would require 20 percent recycled content in all
3 asphalt purchased by the City, gradually
4 increasing to 30 percent. We support this
5 measure, although there may be a need for some
6 flexibility to allow for operational circumstances
7 that cannot be controlled. Finally, Intro 576
8 would add to the building code regulations
9 covering the disposal of concrete washout water.
10 Concrete washout water is highly alkaline, and it
11 contains residues that can clog the City sewage
12 system. The rules of the New York City Department
13 of Environmental protection prohibit the discharge
14 of waste water with a pH higher than 12 into the
15 sewage system, and only allows storm water to be
16 discharged into a storm sewer, catch basin or
17 manhole. However, these rules are not typically
18 enforced on building sites. Therefore, we support
19 the inclusion of these provisions in the Building
20 Code, but would like to see several modifications.
21 The options that would allow concrete washout
22 water to be treated onsite and discharged into the
23 sewage system are in conflict with the Department
24 of Environmental Protection rules and should be
25 stricken. In addition, the language in the Intro

1
2 regulating the size of concrete washout containers
3 and other means and methods, seem unduly
4 proscriptive and should be simplified. Thank you
5 for the opportunity to testify on this important
6 legislation. I'm happy to answer any questions
7 you may have at this time.

8 CHAIRPERSON DILAN: Just a few more
9 acknowledgements. First, we've been joined, and I
10 apologize for not mentioning it at the outset,
11 we've been joined by Council Member Brad Lander of
12 Brooklyn, who's a Member of the Committee; as well
13 as Council Member Jumaane Williams of Brooklyn,
14 who's also a Member of the Committee. I will
15 defer to the Chair of the Environmental Protection
16 Committee to lead off with the questioning,
17 Council Member Jim Gennaro.

18 COUNCIL MEMBER GENNARO: Thank you,
19 Mr. Chairman. And thank you, Laurie, it's good to
20 see you, as always. And thank you for your very
21 constructive comments regarding Intro 576, 577 and
22 578, those are going to be the focus of my
23 questions. So I can be brief, let me just turn to
24 the part of your statement, let's start first with
25 Intro 577 on page five of your statement. That's

1
2 the first part of your statement where you speak
3 to changes that you might like to see in the bill,
4 and in that paragraph, where you're talking about
5 Intro 577, you indicate that the bill would be
6 supported by the Administration on the condition
7 that the stringency may need to be relaxed, I'm
8 reading from your statement, in cases where the
9 increased curing times can result from non--

10 LAURIE KERR: Cementicious.

11 COUNCIL MEMBER GENNARO: --
12 cementicious additives, that could cause a
13 hardship. If you could speak just a little bit,
14 just give me a little more detail on, on that
15 phenomenon and what we should do about it in terms
16 of language in the bill.

17 LAURIE KERR: The, these additives
18 can sometimes cause the curing times to be a
19 little bit longer, so it takes--although the
20 ultimate strength of the concrete is higher, it
21 can take a little bit longer to start to achieve
22 the strengths where, that are sufficient, for
23 example, for people to walk on it, or for cars to
24 drive over it. So, from conversations that we've
25 had in the industry, and with City agencies, it

1
2 seems pretty clear that in the case of roadways
3 and walkways and bridges and so forth, that need
4 to be opened within 24 hours because of traffic,
5 that we would have to raise the allowable amounts.
6 In terms of building construction, and perhaps
7 sidewalks, we think that more conversation has to
8 happen to see whether there needs to be any
9 additional changes made in cases like that. So, I
10 think for the roadways, we're convinced that the
11 amounts need to be raised, we're looking at about
12 650 pounds in that case, as a requirement that
13 people seem to--

14 COUNCIL MEMBER GENNARO: Which is
15 the current standard, isn't it? Isn't that the
16 current standard?

17 LAURIE KERR: The current standard
18 actually has a 650 pound minimum, as I recall, or--
19 -

20 COUNCIL MEMBER GENNARO: That was
21 my recollection, but don't want to--

22 LAURIE KERR: It's a minimum, but
23 it would be a maximum of 650--

24 COUNCIL MEMBER GENNARO: Oh, I see.

25 LAURIE KERR: --in that case, so it

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

would still be somewhat of a reduction.

COUNCIL MEMBER GENNARO: Yes, and if, why don't we just jump down to the bottom of the paragraph, where you talk about a possible conflict with New York State and federal DOT specs. Didn't we already, isn't that spoken to in the bill, that these kinds of projects would be exempted? Are these standards that we go by for our own--Just talk a little more about that.

LAURIE KERR: You know--

COUNCIL MEMBER GENNARO: Because the bill wouldn't apply for federal or state projects, like anyway, right?

LAURIE KERR: Actually, you know, you're right--

COUNCIL MEMBER GENNARO: Yeah.

LAURIE KERR: --I think that exemption does handle it. So.

COUNCIL MEMBER GENNARO: Okay, yeah, that--

LAURIE KERR: Yes.

COUNCIL MEMBER GENNARO: --that's, that was the - - answer.

LAURIE KERR: That, there was that

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

conflict and yes, it is handled.

COUNCIL MEMBER GENNARO: Right,
we've put, okay, so that one, we'll just Xing that
one out.

LAURIE KERR: Okay.

COUNCIL MEMBER GENNARO: That's
good.

LAURIE KERR: Good. Done.

COUNCIL MEMBER GENNARO: There you
go, we're making progress, we're working here.
Okay. And fine, then jumping over to Intro 578,
on the top of page seven of your statement, you
talk about that there may need, there may need to
be some flexibility to allow for operational
circumstances that can't be controlled. This is
with regard to the recycled asphalt bill. And I
think I'm, I could be fine for that, I mean, fine
with that, I just, once again if you could just
give me a little bit of an explanation as to what-
-

LAURIE KERR: The, the--

COUNCIL MEMBER GENNARO: --what
that might mean.

LAURIE KERR: --explanation is that

1
2 there are very few plants that actually create
3 these materials. And they're--so, if some
4 machinery is broken on a plant for a certain short
5 amount of time, maybe there needs to be some
6 acknowledgement that that could happen. So, I
7 don't know the right way to address that, but it's
8 possibly something that we would want to take into
9 account.

10 COUNCIL MEMBER GENNARO: But--

11 LAURIE KERR: So it would be a
12 modest--

13 COUNCIL MEMBER GENNARO: Okay,
14 because--

15 LAURIE KERR: 'Cause the--

16 COUNCIL MEMBER GENNARO: --if the
17 City is setting a spec of whatever it is, then,
18 and, you know, people who meet the spec can get
19 the jobs, and those who don't meet the spec,
20 don't. Isn't that how we ordinarily do things?

21 LAURIE KERR: Well, I think in the
22 case, sometimes of roadwork, there are certain
23 time, they have to happen when they have to
24 happen. So--

25 COUNCIL MEMBER GENNARO: Okay.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

LAURIE KERR: --there can be often, sometimes a little bit less flexibility in--

COUNCIL MEMBER GENNARO: Right.

LAURIE KERR: --saying, "Okay, we're not going to accept that batch."

COUNCIL MEMBER GENNARO: Right, right.

LAURIE KERR: So.

COUNCIL MEMBER GENNARO: But, do we foresee that there would be in any way any kind of shortage of recycled asphalt that, that people that make asphalt might not have--

LAURIE KERR: No.

COUNCIL MEMBER GENNARO: --access to, because we mill all the time, and presumably there's--

LAURIE KERR: We have an excess, and that's one of our problems--

COUNCIL MEMBER GENNARO: Right.

LAURIE KERR: --is that, that this is aiming to address is that we're paying to landfill that--

COUNCIL MEMBER GENNARO: Right. Okay. And want to thank you and just to, Mr.

1
2 Chairman, one last question about the points that
3 Laurie makes regarding Intro 576, with regard to
4 the concrete washout, and in there you state that
5 the, this part of the bill that would be in
6 conflict with DEP rules and should be stricken,
7 and then in that case, the question is what we
8 would, what we would do about that. Would we put
9 something else in or just rely on those other
10 parts of the bill that talks about other things
11 that can be done with the washout water? Would we
12 invent a new category, or--

13 LAURIE KERR: No, I don't think so,
14 I think that really it should be treated on site.
15 It should be evaporated on site, or--

16 COUNCIL MEMBER GENNARO: Right.

17 LAURIE KERR: --taken back to the
18 batch plant, to be treated.

19 COUNCIL MEMBER GENNARO: Okay, so
20 it's just matter of just striking that--

21 LAURIE KERR: So those are really
22 the two--

23 COUNCIL MEMBER GENNARO: --part of
24 the bill that says you can put it into the sewer
25 system, once you treat it on site.

1
2 LAURIE KERR: Right. Because DEP's
3 rules explicitly prohibit that at the moment, so--

4 COUNCIL MEMBER GENNARO: Fine.
5 Okay. So, looks like we have a lot of common
6 ground between the Administration and us on Intro
7 576, 577 and 578. Certainly, I don't, you know,
8 wish to, you know, speak for the Chairman of the
9 Committee or the Council Leadership in what we all
10 ultimately sign off on, but it looks like there's
11 a lot of common ground, and that I think that
12 bodes well. And I thank you, Laurie, and I thank
13 you, Mr. Chairman, for your indulgence.

14 CHAIRPERSON DILAN: Thank you,
15 Council Member Gennaro. Council Member Chin.

16 COUNCIL MEMBER CHIN: Thank you,
17 Chair. I have a couple of questions relating to
18 Intro 592. What is the difference in costs for
19 the air filtration system, that will be required
20 by Intro 592 versus the air filtration system
21 that's currently being built, currently being used
22 in the buildings?

23 LAURIE KERR: Actually, we'll have
24 to research that. It should be in the packet that
25 was developed by the Green Codes Taskforce, but I

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

don't have those numbers at my hands right now.

COUNCIL MEMBER CHIN: I mean, it's not going to be--

LAURIE KERR: It's a very, it's a mod--very modest cost, to, to put these in.

COUNCIL MEMBER CHIN: Okay.

LAURIE KERR: But I don't have the numbers right now.

COUNCIL MEMBER CHIN: Now, in your testimony, you talk about the difference of the minimum requirement being the MERV of eleven, and for existing building, to have it lowered to ten. Do you think that existing building will have difficulty sort of complying?

LAURIE KERR: Well, that's--we are unsure and we'd like to hear from some of the architecture and engineering community, about whether or not there might be a need to relax the stringency in the case because you might have more constrained geometries in trying to fit these pieces of equipment in, might be harder. So, we're unsure. The original proposal did have some sort of drop down and so we're, we just want to hear more about that. So, we don't have a fixed

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

idea about it at the moment.

COUNCIL MEMBER CHIN: Now, what's, what type of buildings would this bill apply to? And then do you know how many filtration system are usually generated, used in these buildings? Like the office buildings we have down here.

LAURIE KERR: I would say most office buildings would ultimately be impacted by these, and fewer residential buildings, because these would be, these would apply to buildings that have central air systems.

COUNCIL MEMBER CHIN: Okay.

LAURIE KERR: And over time, they would apply to existing buildings, but only in the case where you were replacing the whole air handler. So, not if you were only dealing with a part.

COUNCIL MEMBER CHIN: Do you, can you explain to me about the 5,000 CFM threshold that the bill may not require them to, if they have the capacity of less than 5,000?

LAURIE KERR: Well, I think it was considered to be potentially a hardship for smaller buildings, and smaller air handling

1
2 systems. So this is really meant for a building
3 like this, where you have a pretty sophisticated
4 air handling system in place, where it can
5 accommodate these filters.

6 COUNCIL MEMBER CHIN: But if the
7 building, if the small, even the smaller building,
8 but if they have a central air system, then what--
9 I mean, what can we require them to do, so that
10 they can also be able to provide better air
11 quality?

12 LAURIE KERR: We would have to, I
13 think, get back with the engineering community,
14 and talk about the implications of that. The
15 Green Codes Taskforce came forward with this
16 proposal and we haven't heard any comments that
17 it's not feasible at the higher level, but
18 actually you're the first person to introduce the
19 question of whether or not it should happen at the
20 lower level, so I, we would have to get back and
21 talk to people.

22 COUNCIL MEMBER CHIN: I think the
23 other concern will be like in terms of residential
24 building, where they do have some kind of central
25 air system, or even for individual apartment

1
2 units, that have more than just the, the sort of
3 the, the regular small size air conditioning
4 units, to really see how that could apply to them,
5 too.

6 LAURIE KERR: I think that it's
7 probably not feasible to put that kind of intense
8 filtration into very small units. But we'll have
9 to talk with industry. Do you have experience on
10 that, John?

11 JOHN LEE: Well, if I might add,
12 the 5,000 CFM threshold was through just large air
13 handling systems for large commercial buildings,
14 such as this one. The Green Codes Taskforce,
15 probably in their wisdom, though that this was a
16 good first approach to this issue, of increased
17 filtration. There's nothing in the code that
18 would necessarily prohibit a smaller residential
19 unit from voluntarily putting into their system,
20 better filtration system than that's required by
21 the code. The, a lot of it is an engineering
22 question, certain air handling units may not
23 necessarily be able to handle the denser filters;
24 then again, on the other hand, we've been also
25 hearing from industry that the more advanced

1
2 filters these days do not impede the performance
3 of the air handling units in the same way that
4 prior high density filters used to.

5 CHAIRPERSON DILAN: I'm sorry, just
6 'cause it's the first time you answered a
7 question, could you just identify yourself in your
8 own voice for the record?

9 JOHN LEE: Sure. My name is John
10 Lee, I'm here representing the Department of
11 Buildings.

12 CHAIRPERSON DILAN: Okay.

13 COUNCIL MEMBER CHIN: Okay, thank
14 you. I mean, I think this is a good beginning,
15 but ultimately, if there are more informations and
16 more advancement, I think it's really good to get
17 the information out and see how we can really work
18 on improving the quality of indoor air quality for
19 everyone. Thank you.

20 CHAIRPERSON DILAN: Okay, I'd like
21 to begin just by starting out with a few questions
22 as it relates to the concrete legislation, and
23 I'll start out by admitting that, you know, I
24 doubt that there are any concrete experts here in
25 the City Council, and we're relying in this regard

1
2 to, you know, the technical work of the Green
3 Codes Council and we'll hear from some members of
4 the industry later. So, for my opinion, they're
5 very technical in nature, and I'm not sure that,
6 while I've read the bills, I'm not sure that I've
7 understood everything that's in there. So, I'll
8 start with some general questions first. How is
9 the cement waste water that's generated by washing
10 out the cement mixer and the cement pump trucks
11 recently disposed of? And is it a violation of
12 rules of the City, to dispose of the construction
13 materials into the sewer system?

14 LAURIE KERR: We believe that it
15 is.

16 CHAIRPERSON DILAN: Currently.

17 LAURIE KERR: Yes.

18 CHAIRPERSON DILAN: Okay. And the
19 first part of the question is how is it, how is it
20 disposed of currently, if you have any knowledge?

21 LAURIE KERR: Well, very often
22 it's, you've seen it running down the street, into
23 the storm sewer. I think we've all seen that.

24 CHAIRPERSON DILAN: So what, what
25 would be the proper practice?

1
2 LAURIE KERR: The proper practice
3 would be to put it in bins on the site until it
4 evaporates and then dispose of the concrete
5 residue. Or to have it taken back to the batch
6 plant where it can be treated and, and properly
7 disposed of.

8 CHAIRPERSON DILAN: Okay. So you
9 have no, you have no--I guess, do you have an
10 understanding of how often the proper practices
11 follow versus the improper practice? Do you have
12 any, any knowledge to that? If you don't, I
13 understand.

14 LAURIE KERR: No, I don't.

15 CHAIRPERSON DILAN: Okay. Is there
16 enough space on a typical construction site, in
17 your mind, to locate washer, washout containers,
18 or washout areas? And is there an appropriate
19 distance from storm drains and catch basins on a
20 typical construction site, which is what 567's
21 asked the industry to do?

22 LAURIE KERR: I think those are
23 some of the restrictions that we think are perhaps
24 too stringent, in terms of the size of containers
25 and distances and things like that. So, we, we

1
2 think some of that language is unnecessary. I
3 think the important thing is to ensure that these
4 things don't end up in the sewer and it's, on the
5 whole, up to the contractor to ensure that that
6 doesn't happen within the constraints often of
7 these type construction sites. So, we would look
8 to not be quite so prescriptive in that regard.

9 CHAIRPERSON DILAN: Okay. How long
10 can concrete mixer and concrete pump trucks wait
11 before washing out after they've delivered the
12 concrete?

13 LAURIE KERR: I don't know. Do you
14 have a sense of that, John?

15 JOHN LEE: Not very long.

16 CHAIRPERSON DILAN: I would've said
17 that's very general, it's very general.

18 JOHN LEE: Concrete, concrete may
19 cure in as little as 90 minutes, as far as I
20 understand, so--

21 CHAIRPERSON DILAN: Okay.

22 JOHN LEE: --then you take in
23 account travel time for the truck to get from the
24 plant and whatnot, that they end up pushing it to
25 the margin, and so the washout is, you're going to

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

take it immediately on the site.

CHAIRPERSON DILAN: Right, so it starts to solidify after a certain, you're saying after an hour-and-a-half it begins to solidify, is that--

JOHN LEE: Yes, yeah.

CHAIRPERSON DILAN: Okay. On Intro 577, in relation to maximum cement content, what portion of concrete is currently used in construction projects? What portion of concrete currently used in projects would apply to this bill?

LAURIE KERR: You mean the concrete that's less than 14,000 PSI?

CHAIRPERSON DILAN: Five--yeah, 577, yeah.

LAURIE KERR: Okay, that's probably the majority of the concrete that's used now. In both buildings and infrastructure.

CHAIRPERSON DILAN: All right, in like, you know, a lot of this stuff is, you know, while I read it, it's like reading Chinese. Are common, common cement extenders available locally or regional, regionally. And I guess this is the

1
2 part the technical portion comes in, I guess
3 there's different ones for fly ash, for silica
4 fume, for slag. And I don't, I couldn't
5 differentiate what that is if I saw it, but I'm
6 relying on your technical ability here.

7 LAURIE KERR: Is the question
8 whether or not there is supply of this?

9 CHAIRPERSON DILAN: Yes.

10 LAURIE KERR: There's quite a lot
11 of supply of it. And in general, because most of
12 these are waste products, they can reduce the cost
13 of the cement in the long run. I mean, the
14 concrete, in the long run.

15 CHAIRPERSON DILAN: By how much?

16 LAURIE KERR: Modest amount, it
17 probably should be a fair amount, but very often
18 that doesn't get passed along to the client, so--

19 CHAIRPERSON DILAN: All right, to
20 your knowledge, are there construction projects
21 that require concrete with greater than 14,000
22 pound, 14,000 pounds of compressive strength?

23 LAURIE KERR: Sure, absolutely.

24 CHAIRPERSON DILAN: All right, so,
25 in general, like for a layman, what type of jobs

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

are we talking about here? These--

LAURIE KERR: Well, I think these would be a lot of the big amounts of concrete that you see being used, like big floor slabs, sidewalks, roadways, things like that. So, these large areas of concrete, amounts of concrete, would be covered.

CHAIRPERSON DILAN: So for like large foundations, for decking slabs, potentially.

LAURIE KERR: Mm-hmm.

CHAIRPERSON DILAN: Okay, I'm going to move to Intro 593. And that's the intro in relation to deicing. What are the effects of deicing chemicals on concrete, generally?

LAURIE KERR: Well, we've been exploring that, and apparently, okay, deicing materials are often salts, and the concretes can of--concrete can often have metal rebar in it, so if the salts get in, they can corrode the rebar and cause degradation. So, the question is whether or not these pozzolans would make that problem worse or not. And we haven't seen evidence, or heard evidence, that in fact the pozzolans make the problems worse. In fact, it's

1
2 likely that they would decrease the problems,
3 because they're very fine grained, and so they end
4 up with a less porous concrete, less cracks. And
5 so, less likely to have moisture penetrations.
6 So, it's our feeling that it's, it would probably
7 make sense to go further than the Green Codes
8 Taskforce proposal, and remove these limits
9 altogether that are in the table.

10 CHAIRPERSON DILAN: All right, do
11 deicing chemicals affect concrete with high
12 proportions of cement extenders differently than
13 other types of concrete?

14 LAURIE KERR: There's no evidence
15 that we know of for that, which is why we're
16 proposing to be broader.

17 CHAIRPERSON DILAN: What's the main
18 function for deicing chemicals, beside the
19 obvious, on concrete in general?

20 LAURIE KERR: Well, I think it's
21 really to get rid of ice. [laughs]

22 CHAIRPERSON DILAN: But like for
23 what safety purpose?

24 LAURIE KERR: For people driving
25 and walking and things like that.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CHAIRPERSON DILAN: Okay, and ...
Okay, would, would the use of a non-Portland
cement increase costs for a developer?

LAURIE KERR: It should not. As,
as--since these other materials are by and large
waste materials, they are less cost.

CHAIRPERSON DILAN: All right, so
you think it would be cost neutral, in other
words, or do you think there's a minor increase?

LAURIE KERR: Well, it, no, it
should decrease the cost, if the costs generally
were being passed on to the builder, to the
builder. But sometimes that doesn't happen.

CHAIRPERSON DILAN: I guess what
are the waste materials involved that you speak
of?

LAURIE KERR: The two main ones are
blast furnace slag, which is a residue from steel,
and fly ash which is residue from the burning of
coal.

CHAIRPERSON DILAN: And is there a
cost difference between recycled and non-recycled
concrete?

LAURIE KERR: [pause] Vis-à-vis

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

which proposal?

CHAIRPERSON DILAN: When you say--
it's 59--oh, 'scuse me, 603, 603.

LAURIE KERR: For the aggregate,
you mean.

CHAIRPERSON DILAN: Yes.

LAURIE KERR: Okay. The aggregate
are the stones, typically, that are in the
concrete. So, typically they're quarried
somewhere say upstate, and brought down here. So
those, so that proposal would potentially allow
those stones to be replaced with crushed concrete.
So, the crushed concrete from demolition projects,
should be less expensive than virgin material
brought in from elsewhere.

CHAIRPERSON DILAN: Okay. So--

LAURIE KERR: That's the idea
there. But there are some questions in the
industry that we think are significant enough that
more study has to go forward before we would be
comfortable backing the requirement for recycled
aggregate.

CHAIRPERSON DILAN: Okay, so you
believe, you believe it would be cheaper, but

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

you'd like more - -

LAURIE KERR: [interposing] We believe it would be cheaper, but we are not yet sure whether or not the recycled aggregate could cause some problems with the concrete. So, we need to hear more about this before we know whether or not we could support it.

CHAIRPERSON DILAN: Right, and what is currently done with recycled aggregate? Is, does most of it end up in, in landfill, or are there other uses?

LAURIE KERR: Some of it is used to fill excavation sites. Some of it is used to cover landfills. It's ground up for what's called alternative daily cover. And some of it goes to landfills. Some of it's used as part of base courses and things like that. So, a number of things happen to it, but we've heard from some of the trans--operators of the transfer stations that not having adequate uses for waste concrete, has kept them from being able to reuse a lot of it. So, there does seem to be a need for more end uses for recycled concrete. So that more of it, or less of it, ends up in landfills.

1
2 CHAIRPERSON DILAN: All right, how
3 many companies within the City are in this arena?
4 How many currently sell aggregate material? Is
5 such material readily available? And where does
6 the recycled content come from?

7 LAURIE KERR: To my understanding
8 it's readily available. I think there're 23
9 transfer stations, or something like that.

10 CHAIRPERSON DILAN: Okay, you can
11 give an approximate number.

12 LAURIE KERR: That would, would
13 have this material readily avail--readily
14 available.

15 CHAIRPERSON DILAN: Okay. Let's
16 see. I have just a few more. And, in regards to
17 recycling practices on Intro 575, that require
18 multifamily units to provide storage space, how
19 common is it for the Department of Sanitation to
20 issue tickets for building owners to, for failing
21 to provide this storage space for recyclables,
22 currently?

23 LAURIE KERR: Well, since it's not
24 a requirement to provide the storage space, I
25 don't think they would be issuing violations. I

1
2 think there's some requirements on the books for,
3 from the zoning, and that would--

4 CHAIRPERSON DILAN: All right, but-

5 -

6 LAURIE KERR: --come up.

7 CHAIRPERSON DILAN: --but we're
8 going to be asking Department of Sanitation, and I
9 think visually they may be able to do it, but
10 we're going to be asking 'em to differentiate from
11 new buildings that are required as opposed to old
12 buildings that aren't.

13 LAURIE KERR: This would be
14 something that would be part of plan approval at
15 Department of Buildings, when you get your permit
16 to build a new building, that it would have to
17 designate the space.

18 CHAIRPERSON DILAN: Sure, that
19 makes sense, but at the end of the day, the, it,
20 who would have the authority to issue violations
21 here? Say, you'd expect that it wouldn't get past
22 the plan approval stage, and that these new
23 buildings would be able to do it. But in the
24 event that it doesn't get done, who has the
25 authority to, to fine here? Is it Buildings or

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

would it be Sanitation?

LAURIE KERR: You want to take that?

JOHN LEE: It would be the Department of Buildings.

CHAIRPERSON DILAN: Department, so, so Sanitation would have no enforcement role whatsoever.

JOHN LEE: It would not be necessary.

CHAIRPERSON DILAN: Say it again.

JOHN LEE: It would not be necessary. This is again, should be caught at plan examine--

CHAIRPERSON DILAN: All right.

JOHN LEE: --time, if the owner ends up using the space other than what was designated from the, the approved, after permit sign off, then that's again the, a building use violation, enforced by the Department of Buildings.

CHAIRPERSON DILAN: All right, do either of you have the cost impact to the private sector, on 575?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

JOHN LEE: Should I do this one?

LAURIE KERR: What'd you say?

JOHN LEE: You want me to handle this one?

LAURIE KERR: Sure.

JOHN LEE: The, the cost issue is central to the point that Ms. Kerr raised in terms of zoning. The greatest impact that this could potentially have in terms of cost, is that this represents floor area, and the zoning resolution provides many exemptions for common spaces, such as mechanical rooms and elevator equipment rooms, to be exempt from the floor area calculation. And it differs depending on the types of buildings and the zone that the building might be in. So, with that, the, to reiterate Ms. Kerr's point in the testimony, that this has to be, work in conjunction with the zoning resolution, to afford reasonable amounts of exemptions from floor area for this type of--

CHAIRPERSON DILAN: All right, so it's the intention of the Administration to at least afford buildings that, that floor are exemption for the, for this use of space in

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

buildings?

JOHN LEE: I would like to, however the zoning resolution, again, is largely put forward by the City Planning Commission. And so--

CHAIRPERSON DILAN: But it--

JOHN LEE: --it is not necessarily within the jurisdiction of the Building Code.

CHAIRPERSON DILAN: All right, I got it, but they're also part of the Administration, so while it might not be appropriate for buildings to ask, it might be appropriate for Ms. Kerr to, to answer.

LAURIE KERR: I think that we would look to come up with the provisions that make this a reasonable, reasonable cost for building owners. So, we would try to work, work to come up with something that is reasonable. I think that the current quality zoning already contains that, so I don't think it would be too different than what's already in the zoning.

CHAIRPERSON DILAN: Okay, so, to, to your knowledge, to what extent do developers already provide this space, absent of any legal requirements, with the main ideas of this proposed

1
2 legislation in mind, on new residential
3 construction? And to what extent do developers
4 include trash storage rooms in their spaces for
5 multifamily residential construction.

6 LAURIE KERR: I think that on the
7 whole it's happening now, because of the quality
8 zoning requirements. So, this would just broaden
9 it somewhat.

10 CHAIRPERSON DILAN: Okay, and I
11 know this was obviously discussed at length, at
12 the Green Codes Taskforce. Has there been any
13 discussion between the Administration and the real
14 estate industry on these items?

15 LAURIE KERR: Yes, there was an
16 industry advisory committee that had been at the
17 table with the Green Code Taskforce proposals
18 pretty much from the beginning. And over the
19 course of the last summer, we had, we went through
20 every single proposal with the members of that
21 committee that included developers and owners of
22 various sorts. So, we have extensive records of
23 issues that were raised in comments. This was not
24 a proposal that was contentious at that time.

25 CHAIRPERSON DILAN: Okay. And I

1
2 guess this quality zoning that you speak of, is it
3 citywide in nature? Is it regional in nature? Is
4 it--how much of the City does it cover if you have
5 that? If it's not city--if it's citywide, I'd
6 like to know; if it's not citywide, I'd like to
7 know. Then if you have a general idea of where in
8 the city it is, that'd be great.

9 LAURIE KERR: My understanding is
10 that it's a certain quality that a building, a
11 builder could build to, that has certain
12 additional requirements, and that allows them
13 certain extra floor area and things like that.
14 So, I think it is citywide, but it's not always,
15 it's not something that's always done.

16 CHAIRPERSON DILAN: All right, so
17 if, if it is done, then they're entitled the extra
18 floor area; and if it's not done, then they're not
19 entitled the extra floor area, basically.

20 LAURIE KERR: And I think there are
21 a number of other issues that come with it, so
22 it's not a single provision. The, the storage
23 space is one piece of a number of things that are
24 required.

25 CHAIRPERSON DILAN: I guess as a

1
2 matter of follow up, if you could just confirm
3 your hunch that it is citywide in nature, that
4 would be extremely helpful, just to have
5 confirmation on that. While your hunch is good at
6 this point in time, I'd like to know for certain
7 before we move on with legislation.

8 LAURIE KERR: We can do that.

9 CHAIRPERSON DILAN: Okay. In
10 relation to recycled asphalt, which is Intro 578-
11 A, first off, I noticed in your testimony that you
12 said the Department of Transportation currently
13 uses 40 percent of recycled material in the
14 asphalt, while the goals of the legislation have
15 the City, I would imagine other City agencies, and
16 including the private sector, meet a target of 20
17 percent. Just from the outset, does the
18 Department of Transportation intend to maintain
19 its own 40 percent standard?

20 LAURIE KERR: In talks with them,
21 they're working to actually raise that standard.

22 CHAIRPERSON DILAN: Okay.

23 LAURIE KERR: So over time, they
24 have felt that learning how to incorporate
25 increasing percentages of recycled content has

1
2 been a matter of getting experience of how to do
3 that. And they've been working at it for quite
4 some time, and over time they've reached a 40
5 percent level, and I think they're trying to, to
6 make that even higher. So, in conversation with
7 them, they felt that the private sector is
8 probably around 15 percent, at this point, an
9 could be expected to move up to 25 percent, and
10 gradually, over the years, gain experience using
11 this material and up their percentages over time.
12 So, it was really, from their hands-on experience
13 that these numbers were developed.

14 CHAIRPERSON DILAN: Okay, so,
15 besides the Department of Transportation, who do
16 you feel would be the major either City agencies
17 or private sector users that would be impacted
18 here?

19 LAURIE KERR: Well, this would only
20 impact the City, because it's a--.

21 CHAIRPERSON DILAN: Okay, so it's
22 not, it's not--

23 LAURIE KERR: --it's a procurement,
24 it's about City procurement. So it would be--

25 CHAIRPERSON DILAN: Okay.

1
2 LAURIE KERR: --but that said, once
3 the plans are set up to, to use the recycled conc-
4 -recycled aggregate, I think they would--

5 CHAIRPERSON DILAN: Yeah, see, the
6 concern that I have there is while it may require
7 the other City agencies to get up to a certain
8 standard, quite often in neighborhoods and
9 districts, you have entities such as Con Edison
10 and KeySpan, who often cut the streets, or when a
11 plumber comes in to do, say, a sewer line, those
12 standard wouldn't apply to them when they have to
13 repave the street. And if the City's set a
14 standard at a certain level, then these private
15 stakeholders could potentially ignore the standard
16 that the City has set forth. So that is, you
17 know, somewhat of a concern that I'd like you to
18 take back and take a look at. Now, obviously,
19 there'd have to be some input and discussion with
20 those stakeholders, but I'd like you to at least
21 begin to entertain that, because that, that's
22 going to happen throughout the City, and it would
23 reduce the standard. So, you don't need to answer
24 that, it's just, you know, food for thought there.
25 Can the entire source of recycled asphalt pavement

1
2 required by the bill be provided locally or will
3 these materials be delivered from outside of the
4 City to meet the minimum requirements?

5 LAURIE KERR: We're removing a
6 million tons of asphalt from our streets every
7 year, and--recycling only, reusing only a fraction
8 of it. So, this is, we're milling a million tons
9 of asphalt a year in New York City, so we have an
10 awful lot of this that we're, that we need to try
11 to dispose of, so--

12 CHAIRPERSON DILAN: Okay, just--go
13 ahead, I'm sorry.

14 LAURIE KERR: We won't, we won't
15 need more than we generate.

16 CHAIRPERSON DILAN: Okay, so just
17 to follow up on the, the previous question, I
18 guess to your knowledge, does this bill amend the
19 Building Code to make the 20 percent and then the
20 30 percent threshold a requirement?

21 LAURIE KERR: My understanding is
22 that this is a bill about the purchase of material
23 by City agencies.

24 CHAIRPERSON DILAN: Does the
25 Building Department have an understanding on

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

whether it amends the Code or not?

LAURIE KERR: I don't think that it, it was a building code provision, it was a--

CHAIRPERSON DILAN: All right, we believe it's our understanding that it does amend the Building Code, so I just want you guys--

LAURIE KERR: Oh, it does.

CHAIRPERSON DILAN: --to go back--

LAURIE KERR: Okay.

CHAIRPERSON DILAN: Okay.

LAURIE KERR: I'm sorry, sorry about that, so it does both.

CHAIRPERSON DILAN: Okay. No, fine, we thought so.

LAURIE KERR: Okay.

CHAIRPERSON DILAN: But I just wanted to get that for the record, so then it would state that then all of the private sector entities would then be covered by these standards. So, I just wanted to get that--

JOHN LEE: It was my understanding that it was in the Building Code, and that as private sector entities, would be covered.

CHAIRPERSON DILAN: Okay, that

1
2 would be a change from the previous answer that
3 was given, and that's fine, but I just want all
4 that fleshed out publicly, as part of the public
5 disclosure on the bill. Okay, moving on, And this
6 is another one that I admit, you know, I had some,
7 I had some problems with the, the technical
8 portions , 'cause I just don't quite understand it
9 in detail. I get the concepts, but in terms of
10 indoor air quality, as it relates to Intro 585,
11 that establishes the emissions of volatile organic
12 compounds in carpets and furniture and the like.
13 First of all, has there been any discussions
14 between the Administration and/or the Green Codes
15 Taskforce, with furniture manufacturers or carpet
16 manufacturers in terms of the proposed legislative
17 change, and how it will affect product delivery to
18 the City?

19 LAURIE KERR: The, there's no
20 provisions here on furniture. On carpet and, so--

21 CHAIRPERSON DILAN: Maybe directly
22 on furniture, but some of the compounds that were
23 mentioned I think are used in the manufacturing
24 and creation of furniture, so it might be--and
25 I'll have to double check, but I believe it does

1
2 impact the, the furniture industry because they
3 may use some of the materials that are mentioned
4 in the bill. I'll double check that, but it's my
5 belief.

6 LAURIE KERR: Okay. The way that
7 the bills are being crafted for paints and
8 sealants, is that, my understanding is that it's
9 when it's applied onsite.

10 CHAIRPERSON DILAN: Say it again.

11 LAURIE KERR: Applied onsite.

12 CHAIRPERSON DILAN: Okay.

13 LAURIE KERR: So, it should not
14 affect anything--

15 CHAIRPERSON DILAN: Oh, okay, and
16 I--

17 LAURIE KERR: --created in a shop.

18 CHAIRPERSON DILAN: --I, yeah, as I
19 said out the outset, there's a lot that I didn't
20 understand technically, so I expected to be
21 corrected a couple of times. So, what are the
22 common effects of this volatile organic compound
23 exposure? How is it hazardous to people's health?

24 LAURIE KERR: I think it is--
25 basically, it's a pollutant that can harm people's

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

lungs and cause various lung related illnesses.

So--

CHAIRPERSON DILAN: And where is it generally found today? And how often is it used in today's materials inside of buildings?

LAURIE KERR: It's pretty widespread. So, it's in carpets, paints, sealants, and so forth. So, it has two sets of problems. There's one set of health problems, and then it also increases smog. So.

CHAIRPERSON DILAN: And how would a ban of the sale of these materials be enforced? How would the City stay on top of this?

LAURIE KERR: Well, that goes to some of the proposals that we were making to broaden the way that it's handled in the Code, so I think that the proposal before you is to place these in the Building Code; whereas, we, we think that they would be better primarily placed in the Health Code, and secondarily referenced in the Building Code. And then within the carpet and carpet padding provisions, that those should also be in the Administrative Code as a point of sale. And this is because not--for certain projects, the

1
2 Building Code would capture this, if the buil--if
3 the project is, is submitting to the Building
4 Department. But to actually paint your walls or
5 to install carpets, you often don't need a
6 building code, you don't need a building permit to
7 do that. So, it would be pretty unenforceable if
8 it's only in the Building Code. So, we recommend
9 that it's in the health code, too, so that if
10 there are complaints within a building, that
11 these, if there have been odors that would cause
12 people to believe that these things were
13 installed, that could be captured.

14 CHAIRPERSON DILAN: Okay. So, in
15 terms of supply, if this bill were to become law,
16 how would the appropriate carpeting materials and
17 the like, how much of that supply is commonly
18 available, locally at this time?

19 LAURIE KERR: We researched that
20 pretty extensively, and it appears that there's
21 quite a, quite a lot of, a great many companies
22 are now creating their products to this standard
23 so that there would be more than adequate supply
24 and more, more than adequate choice in all of
25 these categories, for those materials.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CHAIRPERSON DILAN: All right so these, these companies are making this decision to do this on their own, what's the impetus for them to do that?

LAURIE KERR: Well, for a lot of the paints and sealants, the State of California has already required very stringent standards, so that's part of the impetus. Another thing is the lead standards which require these. So, a lot of companies, in order to sell to these major areas have had to up their standards, already.

CHAIRPERSON DILAN: Okay. Okay, so, seeing, seeing no other questions from my fellow Members of the Committee, if there are none, I'd like to thank you all for your time and testimony. We may have some items that we follow up, and if, follow up on, and if we do, we'll do so in writing. I'd like to thank you for your time and testimony today, and just for the benefit of the Members and of the public, this is an initial hearing on all these items, none of these items will be voted out here today, they'll be laid aside at the conclusion of the hearing. We'll take public testimony on these items today,

1
2 and listen to all of it, take it into account, and
3 then decide further action, if any, at a future
4 date. So, I just wanted to let that be known for
5 the record. Thank you all for your time and your
6 testimony. And we'll hear, we'll hear now from
7 some members of the public on, on any item before
8 us today. [pause] All right, so we'll do a panel
9 of three, and we'll start with, and I want people
10 to testify in this order--yeah, I got it--Cas, and
11 correct me if I make a mistake on the name, but
12 Cas Bognacki of the Port Authority of New York and
13 New Jersey, come forward please, you'll be first
14 to testify; Russell Unger of the Urban Green
15 Council; and Angela Sung of the Real Estate Board
16 of New York. I'd like you to testify in that
17 order. [pause] And, if, if any of you have any
18 written copies of your testimony, if you haven't
19 given it to the Sergeant already, you can give it
20 to the Sergeant, so that the Members can follow
21 along. [pause] You can begin and please state
22 your name in your own voice and include--

23 CAS BOGNACKI: Sure. Good
24 afternoon. My name is Cas Bognacki, I'm a
25 licensed professional engineer in the State of New

1
2 York, employed by the Port Authority of New York
3 and New Jersey. My title is Chief of Materials
4 Engineering. I'm responsible for inspecting and
5 testing construction materials which includes
6 concrete. We also have a concrete testing
7 laboratory that I supervise. I've been involved
8 in testing and inspecting concrete for the past 25
9 years. I'm a voting member on several technical
10 committees and the American Concrete Institute. I
11 am currently serving as the President of the
12 Concrete Industry Board of New York City. And
13 previously held the office of President of the New
14 Jersey Chapter of the American Concrete Institute.
15 I do not come here as a representative of any
16 segment of the concrete or construction industry.
17 I come as a public employee of the Port Authority
18 of New York and New Jersey. To state that the
19 recommendations made here in Intro 577, have put
20 in practice, have been put in practice, on many
21 Port Authority projects. I was a member of the
22 Mayoral Green Code Committee, along with Ed
23 DePaulo [phonetic]. Ed DePaulo is President and
24 CEO of Sevarude [phonetic] Associates, a
25 consulting engineering firm. We made several

1
2 recommendations to make concrete greener in New
3 York City, and also to recycle some of our
4 construction materials and make it more
5 sustainable. Mr. DePaulo could not be here today,
6 but completely supports the recommendations we've
7 made, as well as the comments I will make today.
8 I was involved in recent efforts to revise the New
9 York City Building Code. Major changes were made
10 to the Code with regard to concrete. Changing
11 existing practices is never easy. We succeeded in
12 removing the minimum cement factors that existed
13 in the previous code. The Code required a minimum
14 of 660 pounds of cement, for 4,000 PSI concrete,
15 and 800 pounds of cement for 5,000 PSI concrete.
16 There was significant opposition in the concrete
17 industry to these changes, because it was of
18 economic benefit to certain segments to keep the
19 status quo. Charges were made that removing the
20 minimum cement factors would jeopardize safety of
21 concrete structures. The minimum cement factors
22 were removed and no problems have been found in
23 developing, placing and obtaining concrete for the
24 desired strengths. In fact, the concrete
25 strengths being specified in place today, have

1 increased in New York City. Intro No. 577
2
3 proposes to restrict cement contents in concrete
4 for mixes of 14,000 PSI or less to 400 pounds of
5 cement per cubic yard of concrete. Adopting the
6 400 pounds of cement will establish New York City
7 as a model for green concrete in the country and
8 perhaps the world, and we'll be able to produce a
9 more durable and sustainable concrete with high
10 strength. Again, every ton of cement produces a
11 ton of carbon dioxide, a greenhouse gas. Some are
12 saying that in order to produce high strength
13 concrete, more cement must be added to the
14 concrete mix. As stated previously, 800 pounds of
15 cement was required in the previous Code, to
16 produce 5,000 PSI. Today, we are achieving
17 concrete strengths of 5,000 in New York City with
18 significantly less cement. At the World Trade
19 Center, Tower I, we used a concrete mix with 300
20 pounds of cement that produced a strength in
21 excess of 16,000 PSI in production. This is not
22 laboratory data, this is real data. The mix did
23 contain 580 pounds of supplementary cementitious
24 materials, for a total cement content,
25 cementitious content of 880 pounds. Cementitious

1
2 materials, the binder in the concrete that gives
3 it, gives it its strength. It includes cement,
4 and what we refer to as supplementary cementitious
5 materials, such as fly ash, slag cement, and
6 silica fume. Large quantities of cement in a
7 concrete mix during the summer can prevent the
8 concrete from achieving the desired strength, due
9 to the high temperatures that can develop in
10 place. It can be said with certainty, high cement
11 factors can be detrimental to high strength
12 concrete, because of the heat produced during
13 hydration. The proper substitution of fly ash,
14 slag and other pozzalins for cement, will enhance
15 the strength and durability of concrete. In order
16 to produce durable, sustainable concrete for our
17 transportation infrastructure, port facilities,
18 parking structures, it is absolutely necessary to
19 substitute these supplementary cementitious
20 materials for cement. During the past cold
21 winter, construction continued on Tower I at the
22 World Trade Center with no shutdown. We placed
23 14, 12,000, 10,000 and 8,000 PSI concrete for
24 sheer walls, columns and beams. They had a
25 maximum cement content of about 300 pounds. At no

1
2 time was the speed of construction adversely
3 impacted by these concrete mixes. During the past
4 winter, forms were being stripped within 24 hours
5 of a pour. At the World Trade Center Memorial, we
6 used a mix with 350 pounds of cement for slabs,
7 that were ten inches thick, without any delay to
8 the contractor. We just completed the
9 reconstruction of the second longest runway on the
10 East Coast, the Bay Runway at JFK with 250,000
11 cubic yards of concrete, were placed in only three
12 months. The concrete mix proportion used had less
13 than 330 pounds of cement. The recommendations to
14 limit the cement content to 400 pounds is based on
15 hands-on experienced at the Port Authority, not
16 just laboratory mixes. The Port Authority has
17 many projects where concrete was used with cement
18 contents less than 400 pounds, and the desired
19 results were obtained. However, I would recommend
20 allowing higher than 400 pounds of cement in
21 concrete mix proportions for thin slabs less than
22 eight inches thick, cast during the summer months-
23 -excuse me, during the winter months. And for
24 structures, roadways, bridge decks, that need to
25 be put in service within 24 hours of placing the

1
2 concrete. Some are of the opinion that adding
3 accelerators to concrete mixes during the winter
4 to increase strength gain due to lower cement
5 factors, may increase cracking. This may be true,
6 but adding additional cement to the mix will
7 certainly increase cracking. The two day cycle is
8 meant to accelerate concrete placement that is
9 used in New York City commercial concrete. This
10 usually produces concrete with cracks and other
11 aesthetic issues. Intro 593, we endorse
12 eliminating Table 1904.2.3, "Requirements for
13 Concrete Exposed to Deicing Chemicals." The table
14 provides restrictions of supplementary
15 cementitious materials such as fly ash, slag and
16 silica fume, that can be used, that, the
17 quantities that can be used, but they seem to have
18 little technical merit. The stated limits on
19 supplementary cementitious materials are routinely
20 exceeded in the industry, and produced the desired
21 concrete properties. Some of said that the
22 supplementary cementitious materials used today
23 might not be available to substitute for cement in
24 the future. To date, this has not been our
25 experience; in fact, three years ago, we had

1
2 cement shortages. Had we had something like this
3 in place, and the concrete producers had more
4 familiarity with using these supplementary
5 cementitious materials, there would've been less
6 angst caused in the industry. The claim raised
7 that fly ash may be declared a hazardous material
8 has been an issue for the past 40 years. The
9 basis for this claim is based more on political
10 science than real science. I do not believe fly
11 ash will ever deemed a hazardous material. There
12 are ample quantities of Type F and C flash. Slag
13 cement supplies are certainly adequate today, to
14 meet industry standards. However, if this should
15 change, and these materials are not available, the
16 limit of 400 pounds of cement would need to be
17 addressed. We endorse and support the
18 recommendations made in Intro 603 to make our
19 construction more sustainable, such as placing ten
20 percent recycled concrete and aggregates in
21 concrete mix proportions, with a compressive
22 strength of 4,000 PSI or less; larger quantities
23 than ten percent can be used, but is a question of
24 quality control of the materials that will be
25 incorporated into the concrete mix. And that's

1
2 what needs to be addressed in the industry before
3 we, we go any further. This substitution of ten
4 percent recycled material will have no effect on
5 concrete properties at this strength level. Also,
6 incorporating recycled asphalt concrete in
7 aggregates for a total of 15 percent in our
8 roadway base courses, has been a standard routine
9 at Port Authority jobs for the past many years,
10 and should be adopted. I thank you for allowing
11 me to share with you the experiences I had at the
12 Port Authority in producing a green and
13 sustainable concrete. Thank you.

14 COUNCIL MEMBER GENNARO: Thank you,
15 Mr. Bognacki, I just want to tell everyone here
16 that Chairman, Chairman, Chairman Dilan is a
17 Member of the Budget, Budget Negotiating Team, he
18 had to run out for, just to participate very
19 briefly in a meeting. He'll, he'll be back
20 shortly. And I am acting as Chair until he
21 returns. And what we'll do, I actually have some
22 questions myself for you, but we'll let the rest
23 of, the rest of the panel proceed, and then when
24 the panel is done, I'll pose some questions. And
25 next, it's a pleasure to recognize Russell Unger.

1
2 RUSSELL UNGER: Good afternoon,
3 Acting Chair Gennaro. My name is Russell Unger,
4 I'm the Executive Director of Urban Green Council.
5 And I was chair of the New York City Green Force
6 Taskforce. And let me begin by thanking the
7 Council and the Mayor's Office for all their work
8 on green construction codes. The Taskforce
9 released its report a year ago February, and since
10 then some were on the order of a quarter of what
11 we recommended has been put in place, either
12 through legislation or agency action, or even the
13 federal government seemed to be listening and
14 passed a couple laws that saved us some time. And
15 many, many of our recommendations were
16 incorporated into PlaNYC. And I'd also be remiss
17 if I didn't of course thank the hard work of
18 everyone on the Taskforce and actually didn't even
19 realize Cas would be able to make it today. We're
20 very lucky, he's the one of the really experts in
21 the country on concrete. And to thank the real
22 Estate industry, because all this, all that we've
23 been doing has been in cooperation with them. So,
24 I'm here to testify in support of the bills. You
25 know, there are, you know, this is a first

1
2 hearing, there's lots of technical issues that
3 need to be worked out, and more conversations.
4 These are all derived from proposals from the
5 Taskforce, and I think what you've heard today is
6 that, you know, there's consistent general support
7 for the main elements in these bills, and there's
8 lots of details still to be worked out. You know,
9 together, these bills are going to be improving
10 indoor air quality, reducing greenhouse gas
11 emissions, reinforcing the City's position as an
12 environmental leader, and all with one exception
13 at pretty much zero cost, which his pretty nice,
14 nice order for almost zero dollar bill. I'd like
15 to draw attention, just mention a couple bills.
16 I'm going to skip comments on the concrete bills,
17 'cause Cas did such a good job on that. And so
18 I'll comment just on the, briefly on the VOC bill,
19 Intro 585, and mention a couple, make a couple
20 comments about 576 on concrete washout. Just to
21 emphasize, on 585, deals with VO--volatile organic
22 compounds from carpets and paints. You know,
23 Laurie mentioned that they cause, they're
24 irritants to the throat, and lungs, they can cause
25 liver damage, kidney damage, damage to the central

1
2 nervous system, nausea, headaches. They're truly
3 nasty things, are unnecessary, and for years the
4 indus--all major companies have been making two
5 lines of products, at least two lines of products.
6 Either they have, you know, all low VOC products
7 or those that, or if you're a major manufacturer,
8 you might have some regular ones, and you'd have a
9 line, it's this low VOC, there's really no reason,
10 there's not cost difference between these
11 products, they're readily available, there's
12 really no reason why it's just a green project
13 that when you walk into the room, that you aren't
14 getting, you know, hit by all sorts of chemical
15 fumes. So this is, this is really a no-brainer,
16 and, you know, and would position the City, again,
17 as a leader, because I don't think many peop--many
18 jurisdictions have done anything about carpets.
19 I'll also just briefly mention Intro 576 on
20 concrete washout. You know, there's, there's many
21 ways, of course, at addressing an issue. It's
22 true that it does seem like on the surface that
23 washout water should not be sent to the sewers;
24 yet it is. So, I think the question really is
25 "What's the best approach to enforcing that, and

1
2 not making it happen?" One approach might be just
3 to, you know, have DEP try and enforce this rule,
4 which, where I think the burden really falls on
5 the developers. The other approach, which 576
6 recommends, and the Taskforce recommended, would
7 be, "Let's put this on the, on the concrete, the
8 concrete mixers who are bringing their trucks, who
9 are actually responsible for this. Let's give
10 them a couple simple options to make this happen.
11 We think that's the better approach, but again,
12 you know, however, however the City wants to get
13 to the end, you know, we support that, and you
14 know, think it's important to have a conversation
15 with the real estate industry. Thank you.

16 CHAIRPERSON DILAN: Okay, I'm back.

17 Ms. Sung?

18 ANGELA SUNG: Good afternoon,
19 Chairman Dilan, Members of the Housing and
20 Building Committee. The Real Estate Board of New
21 York, representing over 12,000 owners, developers,
22 managers and brokers of real property in New York
23 City thanks you for the opportunity to testify on
24 these Introductions. We also appreciate that the
25 City Council and the Administration have been

1
2 proactive in seeking our comments and in
3 collaborating with us on these bills. Given the
4 highly technical nature of these bills,
5 consultation with a variety of engineers and
6 ongoing conversations are critical to ensuring the
7 legislation achieves its desired goals. We
8 support the City's effort to create cleaner
9 building codes in order to improve health and, the
10 health and wellbeing of our residents. Therefore,
11 we have limited our comments to issues in the
12 construction timelines and safety. Our concerns
13 include, on Intro 575, cost of different
14 proportions. The proposed bill limits the amount
15 of Portland cement per cubic yard of concrete in
16 order to reduce the carbon emissions resulting
17 from the manufacturing of cement. Concrete gains
18 its strength from strategically proportioning a
19 combination of materials, including water, sand,
20 air, coarse aggregate, supplementary cementitious
21 materials, and cement, and the proportions vary
22 with field conditions, desired strength, weather
23 and other factors. To strictly limit the amount
24 of cement allowed in concrete may create higher
25 demand for other cementitious materials, such as

1
2 slag and fly ash. These materials currently have
3 a limited local availability and are often trucked
4 in from nearby states, which may mitigate any
5 lessening in carbon emissions from the reduction
6 in cement. Also, with increased demand for a
7 limited product, the cost of other cementitious
8 materials may increase, resulting in higher
9 construction costs. Pour cycle. Concrete with
10 less cement takes longer to cure; therefore, this
11 bill may delay the standard two day pour cycle
12 used at most project sites. Project developers
13 and managers spend a great deal of time and energy
14 planning the, the construction logistics in which
15 a delay of a day, in which a day of delay on
16 construction site can cost hundreds of thousands
17 of dollars between staff and materials. Delaying
18 the construction cycle due to slow setting
19 concrete could cost millions over the course of
20 construction. A survey of REBNY members asked
21 about their use of conc--of accelerants or
22 chemical additives in concrete to make it set
23 faster--I'm sorry. A survey of, we conducted a
24 survey of REBNY members. The results found that
25 during warmer weather, accelerants can be safely

1
2 used to speed up the concrete setting time
3 requiring increased cost for the product, but
4 these costs are not large enough to be
5 prohibitive. However, while in colder weather,
6 the accelerants can also be used, it is unknown
7 what the effects on durability would be with the
8 increased amount needed with less cement. We've
9 also heard that the increased use of the
10 accelerants may have negative effects on the
11 rebar. Intro 603, "Availability of Recycled
12 Aggregate." This bill intends to encourage the
13 recycling of aggregate by requiring concrete of
14 4,000 PSI or less to use ten percent recycled
15 materials. If availability is an issue, this
16 requirement could cause costly delays; therefore,
17 the bill should stipulate that recycled aggregate
18 is only required if commercially and locally
19 available. There are also additional recycled
20 materials that could be included in this, in this
21 legislation, such as recycled concrete masonry
22 units. Origin and strength of recycled aggregate.
23 The origin and original strength of recycled
24 material impacts the strength of the new concrete,
25 which I think was mentioned before. Recycled

1
2 aggregate that has an original strength much lower
3 than its reuse, will have an effect on the final
4 product. This bill makes an exception for
5 concrete mixes intended to be used in the
6 structures designed for the containment, storage
7 and conveyance of water, sewage or other liquids.
8 The last stipulation of other liquids is vague and
9 leaves the bill open to interpretation. The
10 regulation should clarify what "other liquids"
11 mean, in order to lessen confusion. Intro 578,
12 "Availability of Recycled Asphalt." This bill
13 requires 20 percent recycled asphalt for asphalt
14 pavement, increasing to 30 percent by 2018, which
15 may create problems if there is insufficient
16 availability of recycled material and possible
17 delays during construction. This bill should also
18 stipulate that the recycled asphalt is required
19 only if commercially and locally available. Intro
20 585, "Onsite Versus Offsite." This bill limits
21 the amount of VOCs on interior finishes, trim,
22 decorative materials, and adhesives and sealants.
23 These restrictions should be limited to materials
24 that are applied onsite. Many of the materials
25 used in construction are prefabricated, making it

1
2 difficult or impossible to monitor and track the
3 VOC content of every material used. Existing
4 finishes that contain VOCs above the recommended
5 amount should be exempted from this bill. In
6 order to - - the current look of such buildings as
7 interior finishes, trim and decorative material,
8 the same color and brand of product should be used
9 unless total remodeling is occurring where the
10 finishes will be changed. Thank you again for the
11 opportunity to comment on these bills. We look
12 forward to continuing our conversation with the
13 Administration and the City Council to create
14 legislation that encourages both sustainability
15 and development in New York City.

16 CHAIRPERSON DILAN: Okay, and I'll
17 keep with the same questioning in, questioning
18 what I may have one or two brief questions. But
19 I'll defer to Council Member Gennaro.

20 COUNCIL MEMBER GENNARO: Thank you,
21 Mr. Chairman. And I wish to thank this panel for
22 some really good testimony. Mr. Bognacki, I am
23 sure that you were listening to the REBNY
24 testimony and< and with regard to intro 577, it
25 looks like the consensus of REBNY with regard to

1
2 577 talks about certain materials that we'll use
3 in place of the, of the current mix that's
4 currently used, that these materials might have a
5 limited local availability, and are trucked in
6 from nearby states, and this, the cost of these
7 materials may increase, higher construction costs
8 is--and of course you've got this unparalleled
9 background in all things concrete, it would seem.
10 Has that been your experience? You didn't talk
11 about that in your testimony and I don't mean to
12 play like one witness off the other, but we have
13 the benefit of having you here and thank you for
14 being here. And you see the testimony of REBNY
15 just like I do, and their positing that Intro 577
16 could lead to a shortage of these materials and
17 lead to higher costs and, you know, you heard it
18 just like I did. I was wondering if you could
19 comment on that.

20 CAS BOGNACKI: Well, Councilman,
21 you know, cements are not exactly local. You
22 know, we, we've gotten cements from Greece, from
23 China, from all over the world. So it's not like,
24 you know, we import 'em from New Jersey. Or you
25 know, from upstate New York. And there's very

1
2 little cement made here locally. We have not
3 experienced any problem in getting fly ash now.
4 There's, there's certainly loads, there's
5 mountains, there's hundreds of tons of fly ash
6 literally available in the Midwest, because it is
7 a byproduct from burning coal, so there's--

8 COUNCIL MEMBER GENNARO: Right.

9 CAS BOGNACKI: --there's loads of
10 coal. Slag's another issue, you know, that is not
11 as, as plentiful as fly ash. But to-date we have
12 not had an issue in getting any of these
13 materials. Now, as far as the two-day cycle, the
14 intent here is not to kill the two-day cycle in
15 New York City.

16 COUNCIL MEMBER GENNARO: That was
17 my next question, so, so thank you, because that
18 actually is something that you did speak to in
19 your statement, and if you could speak to it a
20 little more now, that'd be great.

21 CAS BOGNACKI: You know, we, we
22 were, I proposed that, look, if we're casting
23 concrete during the winter, and we have thin slabs
24 of concrete, they're not going to gain strength
25 very quickly, and we should stick 100 percent

1
2 cement, I've made that proposal. And we're, we're
3 discussing that. So, where we--

4 COUNCIL MEMBER GENNARO: Right,
5 'cause it would, this was the testimony of Laurie
6 and Russell--

7 CAS BOGNACKI: Yes.

8 COUNCIL MEMBER GENNARO: --and
9 yourself, so it seems like there's some consensus
10 on that.

11 CAS BOGNACKI: So we're, we're on
12 board there. Now, as far as if we have structural
13 elements like columns and sheer walls and beams,
14 that are thick, I don't see any need for keeping
15 with these cement factors, because we have data,
16 and I'd be willing to share 'em with, with the
17 real estate industry, from Tower 1, where in 24
18 hours we had sufficient strength to strip the
19 forms. It never held anything up. One thing we
20 have to keep in mind, the cements today react very
21 quickly. They're not the cements of old. So, 300
22 pounds of cement, you know, reacts pretty, pretty
23 quick these days. And generates enough heat to
24 kick the hydration process going good, and you get
25 2,000 PSI, in that range, 3,000 in 24 hours, and

1
2 away you go. So, I think we need to base our
3 opinions on, on some data.

4 COUNCIL MEMBER GENNARO: Well,
5 thank you. I certainly wanted to get your
6 perspective on that, but I also have a history of
7 working very closely with REBNY and the members of
8 REBNY, and certainly wish to come to the best
9 outcome in consultation with all parties. And so
10 I thank REBNY for coming forward and making us
11 aware of this particular concern. And the other
12 part of the statement from REBNY with regard to
13 Intro 578, with regard to the availability of
14 recycle asphalt, and about how there could
15 potentially be times when it may not be available,
16 I guess, why don't I give this to Russell, because
17 when you were with the Codes Taskforce, when this
18 was being discussed, what was the general sense of
19 whether or not the recycled asphalt would be
20 locally available? And anyone else, also, after
21 Russell speaks to this, that wants to chime in,
22 that would be, that'd be great. So, how did that
23 guy when this was being discussed?

24 RUSSELL UNGER: Well, I should also
25 disclose, you know, Cas and Ed DePaulo, who Cas

1
2 represented, were Chairs, well Ed was the Co-Chair
3 of the Materials of VOC Committee, and Cas one of
4 the most active members. So, anything Cas will
5 say overrules anything I'll say. But the
6 discussion with the committee, no one saw this,
7 any issue of lack of recycle aggregate for
8 asphalt. The problem right now is we have too
9 much of it. I think what--

10 COUNCIL MEMBER GENNARO: Yeah, if
11 you could just speak into the microphone--

12 RUSSELL UNGER: Sorry.

13 COUNCIL MEMBER GENNARO: It's
14 cutting out.

15 RUSSELL UNGER: I think what the
16 real estate industry is, I think they pose as a
17 question, it's issue to investigate, if you go
18 from something that, say, the City's just doing,
19 with its, with its roadways, to the whole industry
20 is their potential issue there. But our
21 understanding from the scale, you know, most of
22 the asphalt being created in the City is by the
23 City. So, our sense is it wouldn't be an issue,
24 but it's a fair question to ask--

25 COUNCIL MEMBER GENNARO: Right.

1
2 RUSSELL UNGER: --and look at, but
3 we don't think it's an issue.

4 COUNCIL MEMBER GENNARO: Because,
5 as this becomes much more common practice, we want
6 to make sure that we didn't put anybody into, into
7 a box. Cas, you have something to say?

8 CAS BOGNACKI: My understanding is
9 that we have more recycled asphalt today than we
10 know what to do with. We have mountains of it,
11 with no home for it. So, the more that we use it,
12 the better off we'll be. There is absolutely more
13 than we can use right now, without a doubt.

14 COUNCIL MEMBER GENNARO: But, but
15 that kind of begs the question, in my mind,
16 although I don't work in this field, if it's so
17 available, like why aren't these entities using it
18 now, if it's free, or if it's low cost and
19 available, then why use virgin materials and not
20 go to a local, cheap source and--that's what I'm
21 trying to figure out if you could help me with
22 that.

23 CAS BOGNACKI: Very good question.
24 Well, number one, we mill many, many pavements.
25 We're not into constructing new roads around New

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

York City anymore.

COUNCIL MEMBER GENNARO: We as a City or we as the Port Authority?

CAS BOGNACKI: We as the City.

COUNCIL MEMBER GENNARO: The City, okay.

CAS BOGNACKI: We are not into building new roads, we're basically milling existing roads and repaving them. So, we basically generate as much asphalt, as much milling, every time we pave a road. And we're certainly not reusing 100 percent of it. And I don't think, you can't, you cannot reuse 100 percent of it, it's just technically not possible. So, you're, with each milling, you're accumulating material.

COUNCIL MEMBER GENNARO: Right.

CAS BOGNACKI: With no home for it.

COUNCIL MEMBER GENNARO: But yet, certainly a fair question by REBNY, and when we put this forward, we'd be making this for all time, and so we have to kind of, you know, look further down the road. And Ms. Sung, if you have anything you wish to add at this point, I just--

1
2 ANGELA SUNG: Yeah, I think, again,
3 you know, we're generally supportive of the
4 concept of this bill. I think that it was put in
5 as a contingency because, you know, the
6 development right now is at a historic low, and we
7 just want to make sure that this doesn't interfere
8 with any construction schedules, you know ,if we
9 are so lucky to have another construction boom,
10 that we don't have legislation that impedes that,
11 that progress.

12 COUNCIL MEMBER GENNARO: Well,
13 that's a, certainly duly noted. And, but thank
14 you, that's really what I had to ask, and very
15 nice to make your acquaintance.

16 CAS BOGNACKI: Likewise.

17 COUNCIL MEMBER GENNARO: And the
18 other folks, I, I know them already. You know,
19 and so. [laughter] Thank you very much, and
20 thank you, Mr. Chairman.

21 CHAIRPERSON DILAN: Okay, we've
22 also been joined by Council Member Eric Ulrich,
23 who is a member of the committee. So, I
24 specifically, for Ms. Sung, I had similar
25 questions to Council Member Gennaro. Your

1
2 testimony on several of the proposals, you cited
3 supply as a concern. Do you have, or does your
4 agency that you represent, have any practical
5 experience with supply shortages on any of the
6 items that you brought up? Or are you just
7 generally citing concerns?

8 ANGELA SUNG: I think the one that
9 we probably would note is the slag and the fly
10 ash. That was noted to us that there is only a
11 handful of supplier currently to New York City,
12 and the increase in demand--what happens in a lot
13 of these situations is that if the whole market
14 has to move to an increased demand, there's a
15 period of time where you have a shortage, while
16 the market catches up with what you're asking the
17 suppliers to do. And eventually over time it
18 shouldn't be a problem because, you know, the
19 demand will bring the market here, but what, you
20 know, should there be a ramp up, or some sort of
21 timeframe in which you tell the market that you
22 need this much supply before asking them to
23 actually abide by it. And so I think that that
24 was generally our suggestion, where we had the
25 concern.

1
2 CHAIRPERSON DILAN: Okay, and I'm
3 not sure if you can answer this question, but do
4 you have any idea of how many suppliers are
5 available in the slag arena, or the concrete
6 arena, that you specified? Do you have an idea
7 how many players are in the market?

8 ANGELA SUNG: I've heard, and I'm
9 not going to--

10 CHAIRPERSON DILAN: I won't hold
11 you to it--

12 ANGELA SUNG: Yeah [laughs]

13 CHAIRPERSON DILAN: --I'm asking
14 for an estimate.

15 ANGELA SUNG: But I heard that
16 there were four suppliers to New York City.

17 CHAIRPERSON DILAN: Four suppliers.
18 All right, so, just, and now open to the rest of
19 the members of the panel, supply on all the items,
20 it appears that asphalt, it appears that there's a
21 glut of supply, and I heard no objection from
22 REBNY about as--or maybe I did, but if anybody
23 could just give me their opinions overall on
24 supply on all the items, I'd like to see if they
25 agreed with the Administration's position that

1
2 supply is readily available, whether you agree
3 with that position or disagree with that position,
4 I'd like to, you know, like to know, and if you
5 could just briefly state why.

6 CAS BOGNACKI: I, I think that the
7 suggestion here that the ramping up could be an
8 issue, that's a possibility. I certainly don't
9 know that to be true. But I would imagine that,
10 with time, people would ramp up, and this will not
11 be an issue. Again, there is again a limitless
12 supply of fly ash. Now, would there need to be
13 facilities set up to process this fly ash, yes.
14 But the raw material itself, it's, it's limitless,
15 what we have right now, in this country. The slag
16 is not the case. We import some of the slag from
17 overseas and we grind it up here. And we also
18 import it already processed. So slag, not so.
19 But again, I think, given an adjustment period, I
20 don't, I don't--I don't know, I have no reason to
21 believe this is an issue at all.

22 CHAIRPERSON DILAN: So, for, for
23 slag, you do believe it is an issue for, for slag,
24 potentially.

25 CAS BOGNACKI: It may be more of an

1
2 issue for slag. I'm not sure it's even an issue
3 for slag. For fly ash, I find it hard to believe
4 it's an issue.

5 CHAIRPERSON DILAN: I, you know, I
6 don't like the idea that we create laws that
7 allows us to import more from other countries, I'd
8 like to see this stuff be used by domestic
9 suppliers, but that's personal view. In terms of,
10 you mentioned on another specific type of
11 concrete, and you could tell me again, that some
12 of these suppliers would have to retrofit their
13 facilities. How, you know, how complicated is
14 that? How cost effective or cost prohibitive is
15 that? And how quickly can the manufacturers
16 adjust, in your opinion?

17 CAS BOGNACKI: I don't believe I
18 said that.

19 CHAIRPERSON DILAN: Oh, I thought I
20 heard that.

21 CAS BOGNACKI: Retrofit their
22 facilities, no.

23 CHAIRPERSON DILAN: All right, well
24 you said--

25 CAS BOGNACKI: The concrete

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

producers, no.

CHAIRPERSON DILAN: You may have said something to a lesser effect? Or maybe I'm interpreting your words, maybe a little bit more stringently, but you tend, you tended to, I thought I heard that for a certain type, that some of the manufacturers would at least have to make some adjustments. Is that, do you foresee that, then?

CAS BOGNACKI: Well, you're talking about the concrete producers?

CHAIRPERSON DILAN: Concrete producers, sure.

CAS BOGNACKI: Well, the adjustments, I think, that concrete producers would need to make is not so much at their facilities as to, as to get on board, you know, with the new technology, new technologies that are out there, to use these supplemental cementitious materials. So that could be an education process. And again to, to become comfortable in using less cement in their, in their concrete mixes. That's what I meant.

CHAIRPERSON DILAN: Okay, okay.

1
2 CAS BOGNACKI: But not necessarily
3 to retrofit anything at the plant.

4 CHAIRPERSON DILAN: Okay.

5 CAS BOGNACKI: It's just to become
6 comfortable in using less cement.

7 CHAIRPERSON DILAN: And changing
8 practice, yeah.

9 CAS BOGNACKI: And I think that is
10 a culture change.

11 CHAIRPERSON DILAN: Okay.

12 CAS BOGNACKI: And that needs an
13 adjustment. But I think there's many concrete
14 producers that are really stepping up to the plate
15 and doing great things today, compared to what was
16 being done years, just a couple years ago. So, I
17 don't see that as a big issue, you now, but I'm
18 not a concrete producer, so you need to ask them.

19 CHAIRPERSON DILAN: Yeah, I intend,
20 I intend to. Mr. Unger, any, any opinions on
21 supply on any of the items?

22 RUSSELL UNGER: Well, I think if
23 there is going to be a supply issue, I think
24 Angela really said it, it's going to be an issue,
25 we'll create a false supply problem if the, the

1
2 time period for these things go into effect is too
3 short. You know, for, even for the, the
4 legislation on, on VOCs in carpets and paints.
5 People have supplies, they already have current
6 stock. We have to make sure they have time to get
7 that stock out. Stuff's readily available around
8 the country for all these things, but we do need
9 to allow enough time for manufacturers to ramp up,
10 and don't create kind of an artificial constraint.
11 And respect, by the way, to slag versus fly ash
12 limitations and slag coming from overseas, my
13 understanding is they're both options as
14 alternatives, cementitious material. So you can
15 use the fly ash, I don't believe you need to use
16 the slag. So there's, we got the mountains of fly
17 ash all over the place.

18 CHAIRPERSON DILAN: Okay. It's
19 just, things that I need to get familiar with, and
20 that's why I ask the questions. Anything else?
21 Angela?

22 ANGELA SUNG: I think that our
23 primary concern, which I said in the testimony,
24 but it's again the one thing that we're most
25 concerned about is that the two day pour cycle

1
2 remains in place. And so, given that there's a
3 number of factors that go into whether or not that
4 can work, cement being one of many, the other
5 being weather and other materials that are
6 include, we just want to make sure that the, the
7 engineers have the flexibility to always meet that
8 two day cycle.

9 CHAIRPERSON DILAN: Okay. Thank
10 you all, for your time and testimony. Next we'll
11 hear from Mr. Sylvester Justino, Mr. Richard
12 Martin, and Mr. Frank Lore [phonetic]. And if you
13 gentlemen could testify in the order that you were
14 called, you were called, it'd be greatly, greatly
15 appreciated.

16 [pause]

17 SYLVESTER JUSTINO: Good afternoon,
18 Chairman Dilan, Members of the Committee, my name
19 is Sylvester Justino, Director of Legislative
20 Affairs for the Building Owners and Managers
21 Association of Greater New York, otherwise known
22 as BOMA-New York. We represent more than 700
23 owners, property managers and building
24 professionals, who either own or manage 400
25 million square feet of commercial office space.

1
2 We're responsible for the safety of over three
3 million tenants, and generate more than \$1.5
4 billion in tax revenue, and oversee annual budgets
5 of more than \$4 million. Sorry, \$4 billion. We
6 commend the Bloomberg Administration for taking
7 the lead of proposing a bold program to make
8 existing buildings more energy efficient, and
9 environmentally sustainable. BOMA-New York firmly
10 stands behind the concept of greening our City,
11 and we do that every day in the buildings we own
12 and manage. BOMA-New York has been an active
13 participant on the industry advisory committee of
14 the NYC Green Codes Taskforce. We want to thank
15 the Mayor's Office of Planning and Long Term
16 Sustainability for allowing us to share our
17 insights. And we know that by making buildings
18 more resourceful, New York is taking the biggest
19 step to achieving our sustainability goals, and
20 remaining competitive as the business capital of
21 the world. I wish to focus our comments on Intros
22 No. 577, 578 and 585. And in regards to Intro No.
23 577, the proposed bill limits the amount of cement
24 per cubic yard of concrete, in order to reduce
25 carbon emissions from the manufacturing of cement.

1
2 We believe that the proposed bill may create a
3 higher demand for materials that may not be
4 available in today's marketplace. Also, the new
5 materials used to make new concrete would have to
6 be transported outside of New York City. This
7 would not only increase the cost of concrete for
8 our members, but might dilute the aims of the
9 legislation which is to limit carbon emissions.
10 Our members have expressed concerns that the use
11 of less cement to make concrete may severely
12 impact the timeline of construction. We believe
13 that this legislation would delay the standard two
14 day pour cycle used at most construction sites,
15 and lead to higher costs on all projects. Our
16 members have reservations that the use of less
17 cement may not only increase, increase
18 construction costs, but could impact the safety
19 and durability of concrete used in fluctuating
20 weather conditions. In relation to proposed
21 number, Intro No. 578, the bill requires 20
22 percent recycled asphalt pavement, increasing to
23 30 percent by 2018. This bill, like Intro No.
24 577, may cause issues where there is insufficient
25 supply to meet the demands of the marketplace, and

1
2 could lead to increased cost and delays. And in
3 closing, to Intro No. 585, the bill that would
4 restrict the amount of VOCs in interior finishes,
5 trims, decorative material, adhesives and
6 sealants, it deserves to be closely scrutinized.
7 We believe that the legislation should target the
8 manufacturers of our, of these products, not our
9 members, who are unable to monitor or track VOCs.
10 Furthermore, as our members carefully maintain the
11 look and décor or their buildings, many which are
12 pre-War, existing finishes that contain VOCs above
13 the recommended amount, should be exempted from
14 the bill. Thank you, Mr. Chairman and members of
15 the Committee for allowing BOMA-New York to
16 testify today. We look forward to working with
17 the Administration and this Committee, and our
18 industry partners, on improving this legislation
19 and making a greener New York a reality. Thank
20 you.

21 CHAIRPERSON DILAN: Thank you. Mr.
22 Martin?

23 RICHARD MARTIN: Yeah, good
24 afternoon, I'd like to thank the Committee. I am
25 here on behalf of the Portland Cement Association

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

to testify with regard to Bill No. 577.

CHAIRPERSON DILAN: Okay, and even though I introduced you, if you could do so in your own voice, and then you can continue with your testimony.

RICHARD MARTIN: Oh, I'm sorry.

CHAIRPERSON DILAN: It's okay.

RICHARD MARTIN: My name is Richard Martin, and I represent the Portland Cement Association.

CHAIRPERSON DILAN: Got it, okay, please continue.

RICHARD MARTIN: All right, I'm sorry. And I'm here to, and with regard to Bill No. 577, a local law to amend the Administrative Code of the City of New York, and the New York City Building Code in relation to the maximum cement content. The Portland Cement Association represents all manufacturers of Portland Cement for projects built in New York City. Three of our member companies, Lehigh Cement, Wholesome Cement and LaFive [phonetic] Cement, have manufacturing plants in Glens Falls, New York, Catskill, New York, and Ravena, New York. The cement industry

1
2 employs hundreds of New York State residents, and
3 supports hundreds of New York State working
4 families. The estimated contribution of the
5 cement industry to the New York State revenues is
6 \$664 million per year. I would also like to state
7 for the record that the Portland Cement
8 Association representing the industry that will be
9 primarily affected by this legislation, if
10 enacted, was not advised of this proposed
11 legislation, nor given direct notice of this
12 hearing. However, we do appreciate the
13 opportunity to address the Committee at this time.
14 In regard to sustainability, sustainability
15 requires consideration of social, economic, as
16 well as environmental impact of decisions. The
17 proposal fails to consider the social and economic
18 impact it will have. This arbitrary, prescriptive
19 limit on cement content will often preclude using
20 established concrete technology to optimize
21 available resources for concrete mix designs used
22 in New York City projects. We rely on concrete to
23 provide a safe building and durable infrastructure
24 that enable great cities like New York to develop
25 and flourish. No one benefits by enacting

1
2 measures that potentially increase the economic
3 environmental costs of concrete by reducing the
4 constructability of durability of concrete. Most
5 of the sustainable attributes of concrete are
6 related to Portland cement. These include
7 strength, durability, long life, safety, disaster
8 resistance, and other aspects which are available
9 all online on www.cement.org. Regarding the
10 cement sustainability, while Portland Cement is
11 responsible for only 1.5 percent of CO₂ emissions
12 in the U.S., it is the essential material that
13 makes concrete structures perform in a durable and
14 sustainable manner for decades. Limiting the
15 amount of cement used in concrete can impair the
16 durability and long life of concrete structures.
17 In many cases, the 400 per cubic yard limit would
18 prevent concrete from being used as a construction
19 material, resulting in less, and other less
20 sustainable materials to be used. Portland Cement
21 also uses industrial byproducts and waste
22 materials, such as fly ash, slag, waste oil and
23 tires, in its manufacture, preventing them from
24 ending up in landfills. Cement makes waste
25 materials into essential ingredients in our

1
2 infrastructure. The adverse environmental impact,
3 it seems likely that this proposal is a misguided
4 effort to reduce the environmental footprint of
5 concrete used in New York City projects.

6 Unfortunately, the net effect of failing to
7 consider the effect of the proposal on
8 availability, cost, performance and service life
9 of concrete structures, may well result in an
10 increase rather than a reduction in the

11 environmental impact. Regarding construction,
12 constructability, the limit fails to consider the
13 role of cement contributing to the placement and
14 strength development characteristics of concrete.

15 There are attributes, these are attributes that
16 enable timely removal of forms and safe loading of
17 structural concrete elements during construction,
18 and enable concrete construction to continue in
19 cold weather. Lower cement contents mean that
20 concrete will need to be heated longer in cold
21 weather construction, resulting in increased
22 emissions, cost and construction delays. Reduced
23 strength gain will substantially extend
24 construction schedules due to shortening, shoring
25 and strength requirements, for continued

1
2 construction on virtually all multistory
3 structures. The reduced strength gain and
4 extended protection requirements for cold weather
5 construction will add considerable cost due to
6 construction delays and increased energy
7 consumption due to heating requirements for early
8 protection of the concrete. The increased energy
9 use may erase and CO₂ reductions achieved by
10 limiting cement content. The proposal would,
11 would actually hamper the use of high performance
12 concretes. High performance, high strength
13 concretes typically with 600-800 pounds of cement
14 per cubic yard, is desirable to allow the use of
15 smaller members, and therefore less total material
16 used, resulting in an economic savings. Limiting
17 the quantity of cement per cubic yard will result
18 in lower achievable strength designs, and
19 therefore larger members will be required.
20 Limiting cement per cubic yard may result in no
21 savings and is a detriment to the final user. The
22 last two pages attached is a simplified example
23 prepared by PCA's Director of Codes and Standards
24 showing the fallacy of blanket cement content
25 reduction. And in this case, higher strength

1
2 concrete columns were more, with more Portland
3 Cement per cubic yard, results in smaller columns,
4 more net rentable area. A gross total of 16
5 percent less cement consumption and addressing the
6 point of legislation, a similar CO₂ footprint
7 reduction for these elements. Regarding strength
8 gain, limiting the use of Portland Cement will
9 reduce the rate of strength gain. This will
10 possibly result in longer shoring periods, longer
11 construction times, increased deflections,
12 increased shrinkage and cracking, ultimate
13 strength will also be reduced. A 400 pound per
14 cubic yard maximum on cement content will place
15 limits on the achievable strength of cement
16 concrete mixtures in the 4,000 to 14,000 PSI
17 range, with many sets of locally available
18 materials. One should not govern any one
19 component of a mix design. Concrete mix design
20 should be based on the performance requirements of
21 the project. One would not use the same concrete
22 for sidewalks as one would use for a major load
23 bearing column in a high rise building. The 400
24 pound limit does not allow the required
25 flexibility in cement content to meet the

1
2 durability and design needs of a variety of
3 structures. ACI 318 durability requirements
4 mandate that concrete exposed to weather and
5 deicing chemicals and/or seawater spray have a
6 compressive strength of at least 5,000 PSI, which
7 may be difficult to achieve on limiting cement
8 contents. Now the extensive construction delays
9 caused by the maximum cement content will damage
10 not only the builder, but the building owner, as
11 incomplete structures produce large losses in
12 revenue due to the loss of use of the building. A
13 store, factory, office building, etc., generates
14 no revenue until it is actually occupied. This
15 has the appearance of a limit that will cost jobs
16 and an economic climate that is already very
17 challenging. The cement and concrete industry has
18 taken the initiative to improve the sustainability
19 of what are basic material used in virtually all
20 aspects of our built environment. This includes
21 strides in reducing the environmental impact of
22 cement manufacturing, and encouraging adoption of
23 performance based concrete specifications that
24 enable producer optimization of resources. Rather
25 than implementing this flawed prescriptive limit

1
2 approach, we strongly recommend consideration of
3 developing performance specification options, that
4 would enable to concrete industry to most
5 effectively provide sustainable concrete
6 solutions. The last two pages are the example
7 that we're submitting for high strength concrete.
8 I don't think I'm going to have to read that, I'll
9 leave that to you, for you to read. And I thank
10 you very much for your time.

11 CHAIRPERSON DILAN: Thank you. Mr.
12 Lore.

13 FRANK LORÉ: My name is Frank Loré.
14 I'm Major Market Manager for Metro New York for
15 LaForge Cement Company. We are one of the three
16 importers of, of slag into the New York market.
17 We are also one of the largest producers in New
18 York State on millers [phonetic] and Ravena, New
19 York. I'm here to support exactly what Dick has
20 brought forward, and we trust in his good judgment
21 and this document.

22 [pause]

23 COUNCIL MEMBER GENNARO: Sure.
24 Thank you, Mr. Chairman. To the representative
25 from BOMA, I'm sorry that I didn't catch your

1
2 name, but you know who you are [laughs], and I
3 appreciate your testimony. And with regard to the
4 preservation of the two day pour cycle, I think
5 we've heard a lot of good--

6 SYLVESTER JUSTINO: Yes.

7 COUNCIL MEMBER GENNARO: --

8 testimony on that today. You know, from Urban
9 Green and from the Mayor's Office, and from Mr.
10 Bognacki, and REBNY. And so, it is certainly our
11 intent to make sure that that is preserved. I
12 know it's a very big deal, as it well should be.
13 And I regret that anything we, that we may have
14 put forward, you know, looked like we were trying
15 to challenge or in any way compromise that
16 fundamental tenet of the building cycle. So--

17 SYLVESTER JUSTINO: Well,

18 Councilman, we are, you know, along with my
19 colleagues, we, we want to be part of the process,
20 and we look forward to continuing the negotiations
21 and discussions about this legislation, to make
22 sure that, you know, the two day pour cycle is
23 intact and doesn't hurt the construction industry.

24 COUNCIL MEMBER GENNARO: Sure,

25 sure, and we'll work to make sure that that is,

1 that that is maintained, and thank you for your--

2 SYLVESTER JUSTINO: We appreciate
3 it.
4

5 COUNCIL MEMBER GENNARO: --
6 statement. And with regard to Mr. Martin and Mr.
7 Loré, it sounds like a lot of your operations are
8 in and around Green County, right? Isn't that
9 where Ravena is?

10 RICHARD MARTIN: Ravena, New York,
11 it's about 30 miles south--I don't know the
12 county. It's--it's about 30 miles south of
13 Albany. I don't know--

14 COUNCIL MEMBER GENNARO: Right--

15 RICHARD MARTIN: --you know what
16 county that is?

17 COUNCIL MEMBER GENNARO: Yeah, I
18 think that'd be Green County, yeah.

19 RICHARD MARTIN: Green County.

20 COUNCIL MEMBER GENNARO: And a
21 county well known to me for many years, and, and
22 certainly we want to be supportive of New York
23 City and New York State. We are dependent upon
24 some of the, many of the brainwaves that we've,
25 you know, gotten from the Green Codes Taskforce

1
2 and from the Mayor's Office of Long Term Planning
3 and Sustainability in crafting some of these
4 proposals, we had the benefit of hearing from Mr.
5 Bognacki earlier who, you know, brings a very good
6 perspective to what we're trying to do here. But
7 we--I just want you to kind of rest assured, to
8 the extent that you can be rest assured, that
9 every word of testimony that you've, you know,
10 brought forward, and I, you know, thank you for
11 bringing it forward and, and it was not, I didn't
12 know that your organization was not included in
13 some of the discussion that we've had on this.
14 But your trip here today is by no means wasted.
15 We heard your statement and you did provide these
16 additional pages of technical information, which
17 will be, you know, thoughtfully considered to the
18 best that, you know, we as, you know, laypeople
19 can and will, you know, try to bring in the
20 appropriate experts to help us go through it, in
21 consultation with other folks and from hereon,
22 that would include you. And so, we certainly
23 appreciate your presence today, and will be very,
24 you know, mindful of the good testimony that you
25 brought forward today, as we proceed. So, I

1
2 didn't really have a question, I just wanted to,
3 you know, state that for the record, and we
4 certainly appreciate your presence here today.
5 And, and there you have it, for me. So, Mr.
6 Chairman, I'm, with that said, I'm good.

7 CHAIRPERSON DILAN: Okay, first of
8 all, I'd like to just start by saying to Mr.
9 Martin and to Mr. Loré, certainly we're glad that
10 you're here. Obviously, there's no disrespect
11 intended to the fact that you weren't directly
12 notified. We just maybe did not have your direct
13 information, it might be the first time you appear
14 before this Committee, so we would've had no way
15 of knowing who you are. However, we would have
16 liked to think that at least someone in this
17 process would have spoken to someone in the
18 concrete industry--and it may have happened, I
19 don't know if it did or did not, so I can't speak
20 to it--would have spoke to you regarding these
21 issues prior. That being said, you know, these
22 bills are recommendations to the City Council, and
23 this is the legislative body that will be making
24 the decisions on what ultimately gets passed and
25 what doesn't. So that being said, I'm wide open

1
2 on these bills. I--You know, I'm glad that you're
3 here, 'cause I could have a discussion with you
4 from a technical perspective which I'm sorely
5 lacking, and I'll be honest, you've heard me say
6 that earlier. So, I'll start with the issues in
7 and around supply. I've asked it to every other
8 panel before this one, and I'll ask the same
9 question. You guys specifically testified in
10 regard to concrete, not, not asphalt, but if you
11 want to speak to concrete only, you're welcome to
12 do that. If you want to speak to supply of
13 concrete and asphalt, you're welcome to do that,
14 as well. So, I'd like to hear from you at this
15 time on that regard.

16 FRANK LORÉ: I can certainly speak
17 about the slag end of it. Ms. Bognacki said about
18 supply and about mix designs, I think the current
19 design adds for about 30 percent fly ash, slag and
20 Portland cement. We produce 850 tons of slag per
21 year, out of our Sparrow's Point, Maryland
22 operation. It's in conjunction with the Big L
23 Furnace, at Bethlehem Steel. As you know, it's a
24 byproduct. So, the other two suppliers I believe
25 are importing granulars, they grind 'em, one in

1
2 Camden, New Jersey, and one in New York State.
3 Those are imported, two imported products. Our
4 product is the only domestic one, as far as I
5 know. 850,000 tons sounds like a heck of lot of ,
6 a lot of product. We do supply close to our
7 production facility, and yes, when demand becomes
8 great, we will not ship further. That's why the
9 further out you get from a production facility,
10 the less, the more it costs to get it to the
11 furthest point. So, it just makes good sense to
12 start there. And that supply can get eaten up
13 rather quickly. And that could happen in New York
14 State, it could happen anywhere. And to make
15 these high strengths and to make these 24 hour
16 strips, you can't, you can't do that with just fly
17 ash and Portland Cement. You have to have silica
18 fume and/or slag involved in it. So that, that
19 could become a problem. Now, there's no problem,
20 whatsoever. I mean, obviously the economy,
21 there's plenty of everything laying around,
22 there's plenty of mountains of asphalt, so there
23 isn't a problem. But it can, it could become a
24 problem. We, we produce from 1.5 million up to 2
25 million tons of cement in New York State. We have

1
2 two facilities, one in Brooklyn and one in
3 Bayonne, that supply this market. It's our
4 natural market. As far as cement is concerned,
5 it's the first place our barges come by. They
6 come by, they drop off in Brooklyn, they drop off
7 in Bayonne, and we supply this area, and have done
8 that since 1969. One of the most reliable sources
9 in the country, it's right up in Ravena, New York.
10 So, I mean, as far as the slag, I'm not talking
11 myself out of business, but yes, there could be
12 times when that slag can become a problem. Fly
13 ash, as Cas said, there's plenty of fly ash. It's
14 still an imported product, comes in by truck, it
15 comes in by rail, it still has to come here. And
16 there is some kind of a footprint left behind
17 because of that. And just one of the other things
18 that I'd like to say before I, you can give me
19 what other questions you want--

20 CHAIRPERSON DILAN: Sure.

21 FRANK LORÉ: --The footprint that
22 Dick referred to is 1.5 percent emissions, not
23 five percent, nationally, and that was something
24 I'd like you to try and correct. It's 1.5 percent
25 CO₂ emissions, not five percent. And that's for a

1
2 lot of product that goes into a lot of buildings
3 and places all over the country.

4 CHAIRPERSON DILAN: All right, so
5 just, just so that, on that point, I'll hold on to
6 that, because it appears that at least somebody in
7 your company or your industry has done some
8 environmental research in regard to this, and
9 I'll, I'll try to get to that toward the end of
10 the my line of questioning, 'cause I was, you
11 know, thinking, or wondering if that had actually
12 been done. Your answer leads me to believe that
13 it has been done. So, in terms of supply, you,
14 you feel like it would be a concern. You said you
15 mainly supply the New York City market out of two
16 facilities that are close by. Could you help me
17 remember, was that for the imported product or was
18 that for the product that, that--

19 RICHARD MARTIN: Domestically, we
20 produce our cement in Ravena, New York--

21 CHAIRPERSON DILAN: Okay.

22 RICHARD MARTIN: --and take it down
23 by barge.

24 CHAIRPERSON DILAN: Okay. And--

25 RICHARD MARTIN: But we, we have--

1
2 CHAIRPERSON DILAN: --and you serve
3 the New York City market domestically from Ravena.

4 RICHARD MARTIN: Ravena and/or
5 Whitehouse, Whitehall, New Jersey, Pennsylvania.

6 CHAIRPERSON DILAN: Okay, what
7 other major cities and major markets do you serve
8 from Ravena?

9 RICHARD MARTIN: From Boston to
10 Jacksonville, Florida.

11 CHAIRPERSON DILAN: To, okay, so
12 basically it sounds like the whole entire, the
13 entire east coast.

14 RICHARD MARTIN: Yeah, we, we have
15 20,000 ton ocean going vessels, that go up to
16 Hudson. We have the longest covered conveyor
17 belt, comes out, puts the cement in these, in
18 these barges, and then it comes down to silos,
19 concrete silos, along the coast.

20 CHAIRPERSON DILAN: And correct me
21 if I'm wrong, but you cited two other competitors.
22 Was that for the entire portfolio of products or
23 was it for specific product that you compete with
24 these two other companies with?

25 RICHARD MARTIN: There's, there's

1
2 nine, nine brands that come into this marketplace.

3 CHAIRPERSON DILAN: Okay.

4 RICHARD MARTIN: Nine different
5 cement manufacturers.

6 CHAIRPERSON DILAN: Okay.

7 RICHARD MARTIN: So, there is
8 fierce competition for the product, there's fierce
9 competition in its pricing, so there's always
10 been, you know, there's always been. Three of,
11 there are three more representatives right here,
12 from the cement industry. And I, I guess they're
13 going to introduce themselves.

14 CHAIRPERSON DILAN: Well, no, I'm
15 not, I'm not looking at it--

16 RICHARD MARTIN: But it--

17 CHAIRPERSON DILAN: --from a
18 competition standpoint, so to say, that's
19 something that the private market would obviously
20 work out. I'm looking at it from the perspective
21 of, you know, the fact that it is competitive, one
22 makes it better for the City of New York. But if
23 you and your competitors all face the same problem
24 in serving the New York City market, it becomes a
25 concern. If, it sounds like you said, and I can't

1
2 tell if this is for all your product or for a
3 certain type of your product, that you service
4 from Maryland, and you go out. So, between New
5 York City and Maryland, there's some major cities
6 like D.C., Philadelphia--

7 RICHARD MARTIN: Right.

8 CHAIRPERSON DILAN: --Newark and,
9 and the like. And those cities probably don't
10 have, and I'm not sure, 'cause I haven't done any
11 research, they don't have the same proposed
12 regulation, maybe, before them, as New York City.
13 So, in my mind that says to me it could
14 potentially impact the New York market. Now, I
15 don't think at the end of the day, the concrete
16 industry walks away from the New York City market,
17 but--because there's too much business to be done
18 here; however, it could impact the market in that
19 regard. And that's what it led me to believe. Do
20 you disagree with that assumption or--

21 RICHARD MARTIN: Well--

22 CHAIRPERSON DILAN: --is there
23 anything you'd like to correct or clarify in that
24 assumption?

25 RICHARD MARTIN: The, the one thing

1
2 that I said was there were three producers of the
3 slag product.

4 CHAIRPERSON DILAN: Of the slag
5 product, - -

6 RICHARD MARTIN: There are nine
7 producers of the cement product.

8 CHAIRPERSON DILAN: Okay.

9 RICHARD MARTIN: A lot of them are
10 imports. Some are more reliable than others, but
11 they're all here.

12 CHAIRPERSON DILAN: And it's the
13 slag product that's produced out of Maryland?

14 RICHARD MARTIN: Yes.

15 CHAIRPERSON DILAN: Okay.

16 RICHARD MARTIN: And the, the two
17 producers of the other product, bring in, bring in
18 the product, one into Camden--

19 CHAIRPERSON DILAN: Okay.

20 RICHARD MARTIN: --and one into New
21 York State, and they grind slag that they bring in
22 from out of the country.

23 CHAIRPERSON DILAN: All right, so
24 how do you, how do you, if we do this, how do you
25 figure it impacts the, the New York City market?

1

2 How would your businesses and business of the
3 like, as it relates to slag, how do you--

4 RICHARD MARTIN: Well, like I said--
5 -

6 CHAIRPERSON DILAN: --how do you
7 think it affects the market?

8 RICHARD MARTIN: --the nat--the
9 natural market, if you're, if you're delivering
10 widgets or, or slag, you're natural market is
11 where you make your most money, because of
12 transportation. So, as economies heat up, and
13 there's more consumed in that area, there's less
14 to go further. So, if, if Maryland is heating up
15 and D.C. is heating up, then the slag is going to
16 be used closer to home. So it could become a
17 problem. And it's not that, you know, New York
18 City, the boroughs are probably a two million ton
19 market, annually, they consume two million tons of
20 cementitious in a great year, probably 1.2 million
21 right now. So, it's always going to be an
22 interest to all people to come here.

23 CHAIRPERSON DILAN: I would, I
24 would imagine so. Yeah.

25 RICHARD MARTIN: But, as it heats

1
2 up, just like it heated up in China and all over
3 the world, that's what caused the shortages. The
4 imports weren't coming here, they were going where
5 they got the better bang for the buck. So that, I
6 don't know if that answered the question.

7 CHAIRPERSON DILAN: Okay, no, I
8 think it helped me get an understanding. And
9 again, I'm not, I'm not advocating either way, I'm
10 just trying to get in a sense as to how the New
11 York City market would be impacted. So, I want
12 to, I want to take it back to the environmental
13 research that you guys have done. Now, you,
14 you've stated that currently there, there aren't
15 any problems, but that's from the supply side.
16 From the environmental side, you know, I think the
17 whole planet has the responsibility to look at
18 things from how do we become more efficient and
19 environmentally friendly. And the statistics that
20 were given weren't statistics for the New York
21 City market, it was for the, I believe it was
22 globally, if I'm, if I understand correctly.

23 RICHARD MARTIN: It's for the
24 United States, it's, they had claimed that it was
25 five percent, but it's actually 1.5 percent.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CHAIRPERSON DILAN: For the U.S.

RICHARD MARTIN: The emissions.

CHAIRPERSON DILAN: Yeah, and that may be accurate, but I think the number that was cited was the global--

RICHARD MARTIN: Okay.

CHAIRPERSON DILAN: --was the global percentage. So we can certainly take a look at the United States standard, which we're directly responsible for, and see if the numbers match, and I would assume that they probably would. Could you maybe enlighten us with, I guess generally, what your environmental research shows on how we can improve the quality of a product, of the product, from an environmental standpoint? And if you have any documents you'd like to share with the Committee on what your research finds, you're welcome, you don't have to, but you're welcome to submit that to us, and we could take that into consideration, as well, as we move forward on the bills.

RICHARD MARTIN: [speaking to colleague] - - PCA, you can get that?

FRANK LORÉ: Sure, yeah.

1
2 CHAIRPERSON DILAN: Yeah, and it's,
3 again, it's your prerogative, if you want, if you
4 care to, you can; if, you know, you choose not to,
5 that's again your prerogative. But we'd like to
6 at least see what, what you have, so we can take
7 that into account.

8 CHAIRPERSON DILAN: Well, I'm sure
9 we have--[background voice]

10 RICHARD MARTIN: There is
11 significant information on the PCA website, which
12 is www.cement.org. Also, as far as
13 sustainability, we would be looking to give you
14 information not only on ready-mix concrete, but
15 also on pervious concrete, which could be used in
16 place. And when we talk about pervious concrete
17 in 400 pound limit of cement, that pretty much
18 eliminates pervious concrete. So that, the and
19 when you're in your deliberations, you would need
20 to address that, too.

21 CHAIRPERSON DILAN: Yeah, I think
22 that there's a lot that, that we're going to need
23 to figure out, like for example, what is pervious
24 concrete? I mean, what is that? How do we
25 [laughter] you know, how do we--Hey, I'm not going

1

2 to pretend to, to know, and it's the difficult
3 position that--

4

RICHARD MARTIN: Yeah.

5

6 CHAIRPERSON DILAN: --the difficult
7 position that we have is that we have to be
8 experts on all things, and you know, if I knew the
9 concrete as well, I'd probably be in the audience,
10 and not behind this dais. But I'm required to at
11 least ask, so I can get some general
12 understanding. So, if you could enlighten me as
13 to what that is, I--

13

14 RICHARD MARTIN: Well, pervious
15 concrete is a concrete used, primarily used on
16 sidewalks, that retains all site water. So that
17 it has no impact on, on the New York City drainage
18 system. Most, almost, almost 100 percent of the
19 water on site, either by rain or whatever, retains
20 on site, it goes through the concrete, the
21 pervious concrete and through the, the underlaying
22 are--underlaying levels of gravel underneath the
23 stay on site.

23

24

25

CHAIRPERSON DILAN: Okay, so and
Mr. Martin, you also stated in your testimony
that, since you touched the subject of sidewalk

1
2 concrete, that, and it seems to make sense to me,
3 but not being a concrete expert, I want to, want
4 you to elaborate a little bit, that you wouldn't
5 use the same type of concrete to, I guess fill a
6 sidewalk or to, to, to use for a major support
7 column, in a building, I guess. Could you, could
8 you highlight beyond the obvious reasons, why you
9 wouldn't do this?

10 RICHARD MARTIN: Well, mainly,
11 mainly it's economic, because you certainly don't
12 need to spend the money for a sidewalk that you
13 would need to spend for a structural column. The
14 other thing is that when we talk about a site
15 concrete or sidewalks, I would be more concerned
16 with air and training, than I would be with
17 compressive strength, particularly a member that's
18 only going to receive foot traffic. The
19 durability of a sidewalk primarily depends on the,
20 on its ability to withstand freeze/thaw cycles.
21 Air and training add mixtures or air and training
22 within that concrete, helps it go through those
23 multiple freeze/thaw cycles without damaging the
24 surface. So I would not use, there would be two
25 different types of concrete that you would use.

1
2 On a, on a high strength column, we would probably
3 be in a no air situation, and in a sidewalk
4 construction, we would be somewhere between six
5 and seven percent air, air and training.

6 CHAIRPERSON DILAN: All right, so,
7 so help me understand, how will the, how are any
8 of the concrete bills before this Committee today
9 flawed in the regard that you just mentioned? Or
10 flawed in the example that you just mentioned? If
11 at all.

12 RICHARD MARTIN: I didn't hear of
13 any.

14 CHAIRPERSON DILAN: Okay.

15 RICHARD MARTIN: Flawed regarding
16 that.

17 CHAIRPERSON DILAN: No, that,
18 that's, that's--

19 RICHARD MARTIN: Did you hear? No.

20 CHAIRPERSON DILAN: That's fine,
21 but you know, I also asked because if, if you had,
22 say an attorney that you, your company hired or is
23 an attorney that is employed by the company,
24 whether inside or outside, and they took a look at
25 the bills, and they found that to be the case,

1

2 it's something that we'd obviously like to, like
3 to have known.

4

RICHARD MARTIN: Well, I think the
5 industry is trying to move away from prescriptive
6 specifications.

7

CHAIRPERSON DILAN: Why so?

8

RICHARD MARTIN: Because we would,
9 we would much rather give a specif--a supplier
10 product designed for an individual product,
11 individual project. Each project is not the same,
12 each supplier is not the same. There is--

13

CHAIRPERSON DILAN: So--

14

RICHARD MARTIN: --we just like to
15 get away from that prescriptive specification.

16

CHAIRPERSON DILAN: On a
17 construction site, who makes that general
18 determination? Is it the architect or engineer?

19

RICHARD MARTIN: Typically, well,
20 it would depend. There's a multitude of people
21 who will make those decisions. For the structural
22 end of it, the structural engineer, for the
23 architectural end of it would be the, the
24 architect. And for the landscaping side of it
25 would be the landscape engineer.

1
2 CHAIRPERSON DILAN: Okay. All
3 right, I just asked 'cause I have to imagine that
4 there were plenty of architects and engineers that
5 were part of the council that constructed these
6 codes, so you know it's just good to know the
7 industry's position from a practitioner's
8 standpoint, and I don't know how many
9 practitioners were involved. And for the rest of
10 the audience, that's the reason for my line of
11 questioning, because I obviously want to make sure
12 that, you know, we hear equally from the
13 opposition of the bills. I'm pretty sure that
14 I'll have other questions of you, I do have your
15 contact information. If we do, either myself or
16 counsel to the Committee, or someone employed by
17 the Council will reach out and try to hash out any
18 concerns or get opinions if, if necessary, as we
19 deliberate. So I'd like you to, to thank you all
20 for coming, and providing your testimony. We'll
21 take your--

22 RICHARD MARTIN: Thank you.

23 CHAIRPERSON DILAN: --
24 recommendations into consideration. Thank you
25 all.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

RICHARD MARTIN: Thank you.

CHAIRPERSON DILAN: Okay. I have Mr. William Lyons, Donna Ruder [phonetic], and Paul Brooks. [pause]

WILLIAM LYONS: I do not, make--I said I would not make comments, but I'll be glad to make comments.

CHAIRPERSON DILAN: What's your name?

WILLIAM LYONS: William Lyons.

CHAIRPERSON DILAN: Okay, you're here, so do you wish to testify? You don't have to, it's, you certainly signed up to testify, that's why your name was called.

WILLIAM LYONS: Oh, okay, then I was not, no.

CHAIRPERSON DILAN: It's your prerogative whether you want to or--

WILLIAM LYONS: No, I'll--what I'd like to do is I'll testify at the next hearing.

CHAIRPERSON DILAN: Okay. Well, will there be another--? [background voice] All right, well just, I'll just inform you that there may not be another public hearing, at this point,

1
2 but so I just want to let you know ahead of time.
3 Okay, so is, I guess I take another person on this
4 panel. So then I'll call up ... Sal Basil. Sal?
5 [background voice] All right, well when he comes
6 back, you can just instruct him to [background
7 voice] Okay, so, all right, in general, if, if you
8 don't want to speak, just let me know. I know
9 there might be some first timers here, but the
10 appearance cards are for those who do want to
11 testify. So, Gardner, Gardner Cavanaugh? Do you
12 wish to speak on these items? [background voice]
13 All right, well, it's your prerogative to speak or
14 not to speak, you don't have to if you want to.
15 But if you do, you have to come up and do it from
16 the, from the dais. Okay, we'll begin with Donna
17 Ruder, and then we'll go to Mr. Brooks, and then
18 [background voice] Mr. Cavanaugh, and then let me
19 ask, is there a Joseph Ferrara here? Do you wish
20 to speak on the agenda today? Okay, so we will,
21 you'll be immediately following this panel, we'll
22 call you up at that time. Okay, Ms. Ruder?

23 DONNA RUDER: Good afternoon,
24 Chairman, thank you for the opportunity to speak.
25 My name is Donna Ruder, and I am President of Old

1 Council Precast Building Systems Division, we're a
2 precast/prestressed concrete manufacturer based in
3 Albany, New York. We manufacture products in a
4 controlled environment in Albany, New York, and
5 ship the majority of it into New York City. And
6 when we are in New York City installing product,
7 we are utilizing local labor to assist us in that
8 function. I am also here representing PCI, which
9 is the Precast/Prestressed Concrete Institute. I
10 am the current chairman of PCI. They are a
11 technical institute for our industry, the
12 prestressed industry, based in Chicago. They
13 represent about 250 certified producers of
14 precast/prestressed concrete products. Of about,
15 about 50 of those at any given time would be
16 shipping product into New York City. I'm here
17 because I have major concerns about the proposed
18 amendment to the local law, limiting the amount of
19 cement to 400 pounds per cubic yard of concrete.
20 In our business of prestressing, we require next
21 day strengths of 3,000 PSI in order for the strand
22 bond to work, and the prestress to work. And next
23 day is not 24 hours, it's from end of shift the
24 prior day in the factory until maybe 4:00 or 5:00
25

1
2 o'clock the next morning. The way a prestress
3 operation works, and the only economical way to
4 make the business work, is to turn forms every
5 single day. We cannot do that with 400 pounds of
6 concrete. We, we're a relatively young industry,
7 prestressing only started in the late '40s or
8 early '50s. And, but we do a lot of best
9 practices and research within our industry, to
10 optimize our mix design. So, in an, in essence,
11 we are self-policing as far as the amount of
12 cement that we use in our mixes. We're always
13 trying to minimize the amount of cement because
14 cement equals cost, and it's an extremely
15 competitive industry. So, you know, obviously we
16 would want to use as little cement as possible in
17 our products, but still meet the strengths
18 required to be able to detension the strands the
19 next morning. So, if we were forced by
20 specification to further reduce the cement content
21 in our mixes, I would see us increasing the use of
22 curing fuels, to be able to get strength that
23 following morning, to be able to turn our forms
24 every day. The other option would be that we
25 would have to have more forms, meaning, so we

1
2 wouldn't turn them every day, we might have one
3 set of forms that we turn every other day. But
4 this would mean a larger factory, which is going
5 to require more energy to run. So, I think that
6 when we're talking about emissions and
7 sustainability, the big picture needs to be looked
8 at and a broad brush approach does not really
9 work. For example, the use of prestress can save
10 a project a couple of weeks per stage over perhaps
11 a poured in place job, because we're making this
12 offsite, while the general contractor is doing his
13 excavation and then we just bring it in and erect
14 it rather quickly. So, you know, that means fewer
15 onsite workers, and fewer people driving to a
16 jobsite every day, in their cars, burning
17 gasoline, and for a shorter period of time. So, I
18 think we need to look at the whole picture and not
19 just what the emissions are from a cement
20 producing plant. PCI, the Precast Prestress
21 concrete Institute is currently refunded through
22 our research and development allocation a LCA
23 study, Lifecycle Analysis, on precast prestress
24 concrete from cradle to grave. And we, we've done
25 the first phase, and we're in phase two right now.

1
2 So our industry is, we are researching how
3 sustainable, we're doing research on how
4 sustainable precast concrete is, so that we have
5 data to back up what, you know, what we think our
6 level of sustainability is. The other proactive
7 thing that PCI is doing is we are rolling out a
8 green plants program. So, in addition to being
9 certified by the Institute, to say that we're
10 making a quality product, the Institute is now
11 going to certify plants to say that they're making
12 a quality product in a sustainable manner. And
13 that will include things like using recycled
14 aggregate and recycled water. So, we don't think
15 specifying a reduced cement content is the proper
16 approach, as I said it's too broad brush. In
17 fact, no two mix designs are ever alike, and are
18 dependent on the local sources for cement and
19 aggregates. I think a better approach would be to
20 have the, the designers make sure that the
21 strength requirements they are specifying for the
22 concrete are not broad brush. So, for example, a
23 sidewalk would need one strength and maybe
24 building components would need a higher strength,
25 rather than being as broad brush. And we may be

1
2 able to reduce cement content based on reduced
3 strengths in the specification for the concrete.
4 In summary, our industry appreciates the fact that
5 this amendment to the local law would reduce
6 emissions from cement production, and we
7 appreciate the need to minimize emissions wherever
8 possible. We just don't think that the
9 implications of this change and what they would
10 mean to the industry and the local economy are
11 fully understood at this point. So, I, I would
12 just ask that more research is, is done on this.
13 The Precast Prestress Concrete Institute has
14 technical people. If you would like to reach out
15 to them, they'd be more than happy to assist you
16 with any information that you need. Thank you
17 very much for your consideration.

18 CHAIRPERSON DILAN: Thank you.

19 Mr., Mr. Brooks?

20 PAUL BROOKS: Yes. My name is--

21 CHAIRPERSON DILAN: Turn on the
22 mic, yeah.

23 PAUL BROOKS: Oh, you on? Okay.

24 CHAIRPERSON DILAN: Yeah.

25 PAUL BROOKS: My name is Paul

1
2 Brooks, and I am Manager of Technical Services for
3 Wholesome. We are one of the world's largest
4 producers of cement, as well as slag. The area
5 that I cover, that I'm very well familiar with,
6 is, is Maine to Virginia to Pittsburgh, Buffalo.
7 Okay. First off, I would like to defer to Mr.
8 Martin's statement and, and support his points of
9 view that he made. What I'd like to do first is
10 talk a little bit about the supply of slag that's
11 been brought up. We produce about 600,000 tons
12 of, of finished product in Camden, New Jersey.
13 When, when we, the plant's been there for about
14 ten years. Over the last ten years of history, we
15 distributed quite a lot into Buffalo, or not
16 Buffalo, Boston, New Hampshire, Maine,
17 Connecticut, Rhode Island. In these last ten
18 years in spite of the, the last two years of
19 construction decline, we have decreased our market
20 just because as, as Mr. Loré said before, we were
21 able to sell more in a local, in the local market,
22 thus eliminating transportation costs, along with
23 the environmental detriments of doing so, of
24 transporting. Now, and so, our distribution has,
25 has shrunk, and as little as two years ago, we

1
2 were on allocation to certain customers,
3 particularly up in, up in New England, again
4 farther from our plants. Now, let me just say
5 another thing is slag is a two step process. You
6 first, it's a byproduct of the steel
7 manufacturing, and you process that by quenching
8 with water, cooling it very fast, which makes it
9 reactive, which makes it like a cement, like a
10 Portland cement. And then, and then you take that
11 and grind it in another area to a fineness, finer
12 than cement. Now, what we do, in producing this
13 plant or having a five year plan, marketing plan
14 for this plant, we couldn't find any domestic
15 granules in the U.S. that certainly worked for the
16 east coast. So, we get it from Italy. There's a
17 big steel manufacturing facility in Southern
18 Italy. Now, this is also owned by our, our
19 company. When we bid this, it's not just New York
20 State, New York City bidding it, we, when we make
21 a contract to buy these granules, we bid against
22 Africa, we bid against Asia, we, we bid against
23 Europe, and South America. And some, some even in
24 North America. So, you know, it's not just what
25 the market is here, it's where it is really in the

1 world. And as, as more continents, countries, are
2 astute in the environmental things we're
3 discussing today, the demand for that goes up all
4 over the, all over the world--for our particular
5 product, not for just something off in China, not
6 just something off in Brazil. It's the product
7 that we bring into New York . So, anyway, I, and
8 even from the, even from the domestic side, if say
9 Boston, Washington, Philadelphia, takes on this,
10 this, these policies, that just enhances the
11 demand. And, again, will, but not even just,
12 again, not even just Philadelphia and Washington,
13 Paris and, and Sao Paulo, any, anywhere, it's
14 going to, it's going to crimp this demand, raising
15 the prices up. So. Anyway. That's, that's what
16 I wanted to discuss as far as the supply side.
17 One other thing, from the, from the cement side,
18 currently there's a, there's a, a lot of
19 discussion, there's been a lot of research on
20 substituting Portland cement with 15 percent
21 limestone. What this limestone, this, this
22 limestone is a, is an inert material, it's, it's
23 limestone ground up to very fine, and it's, it's
24 blended with Portland cement, and what you have is
25

1
2 you have a, you have a good strength and durable
3 cement product, but you also have good, good
4 finishing characteristics because of the fineness.
5 So, I mean, in this situation, you know, 660
6 pounds of cement would be 570. Thinking off the
7 top of my head here, but nonetheless, this will,
8 we hope it was, it was looked at in the American
9 Standards and Testing Materials, ASTM, and the
10 State Highway People, they're looking at it this
11 August. We, we could have a industrial
12 legislation, let's say, by the end of the year,
13 which will in fact take that 660 pounds of
14 Portland cement and decrease it just from this
15 process alone. So, in that aspect, you know, you
16 are cutting down on the, on the carbon footprint.
17 But, the most important thing is it's, it's
18 blended at a mill, it's the manufacturer can blend
19 it to its own specs, and its own performance
20 characteristics. So, thank you.

21 CHAIRPERSON DILAN: Thank you, Mr.
22 Brooks. Mr., Mr. Cavanaugh?

23 GARDNER CAVANAUGH: Okay, I'm
24 Gardner Cavanaugh, I'm a Sales Manager for Lehigh
25 Cement. I didn't come prepared with any notes or

1
2 anything, but I just wanted to address your group,
3 and I thank you for the opportunity. We have,
4 we're one of the cement producers in this area.
5 We have plants in New York State and Pennsylvania,
6 and, in Maryland, as well as, you know, other
7 places in the United States, as well as being
8 owned by Heidelberg Cement, which is a German
9 company, has facilities all around the world. So,
10 we, we produce cement, we also produce slag. So
11 we're one of the three that Mr. Loré mentioned
12 before, just as Wholesome is. So, I also wanted
13 to reiterate our support of Richard Martin's
14 testimony earlier, and we're in footstep with him.
15 So, that's pretty much it.

16 CHAIRPERSON DILAN: Something wrong
17 with my mic today. So, I--You know, thanks for
18 touching on supply, 'cause that's some, that's
19 obviously a place where I was going to go, so you
20 saved a little bit of time. However, Ms. Ruder, I
21 guess your, your business is a little bit
22 different than everybody else, because apparently
23 you do it precast or readymade for your customers.
24 Is that, I guess just, in general terms, correct
25 assessment? Or--

1
2 DONNA RUDER: Yes, what, what we do
3 is instead of pouring concrete onsite, we make, we
4 manufacture it offsite in a, in a factory. My
5 factory happens, that serves this area, happens to
6 be in, right outside of Ravena, New York,
7 actually. And then we put it on a truck and ship
8 it down, and then it gets erected right off the
9 truck. We do--

10 CHAIRPERSON DILAN: So--

11 DONNA RUDER: Sorry.

12 CHAIRPERSON DILAN: No, go ahead,
13 I'm sorry, I should've let you finish.

14 DONNA RUDER: No, I was, I was just
15 going to say, it gets erected, you know, very
16 quickly, and with very little site disturbance.

17 CHAIRPERSON DILAN: So how, just
18 generally, help me understand, I know it's not
19 really a subject of the Committee hearing, but if
20 it'll help me understood the business a little bit
21 better, I could understand better how you're,
22 you're impacted by the legislation.

23 DONNA RUDER: Okay.

24 CHAIRPERSON DILAN: So your, your
25 product is shipped, I guess to certain specs for

1
2 your individuals customers? Or is it, is that how
3 it's done, or do you put it in some, how is it
4 done?

5 DONNA RUDER: Well, typically what
6 happens is--

7 CHAIRPERSON DILAN: In terms of the
8 needs of the customers.

9 DONNA RUDER: --an engineer of
10 record designs a building, and it calls out
11 precast concrete. So, we'll get a copy of those
12 drawings and we will prepare our own drawings and
13 product according to those drawings and the
14 specifications. And we're, we are actually a
15 customer of, of Lehigh, we purchase cement from,
16 from Lehigh, and that is incorporated into our
17 concrete mix.

18 CHAIRPERSON DILAN: Okay.

19 DONNA RUDER: And so, we batch it
20 ourselves, at the plant, we have our own batch
21 plant. But instead of delivering it in a truck to
22 a jobsite, and pouring a floor on a jobsite, we
23 just deliver it in our plant, and we pour safe
24 floor slabs, for example, or walls.

25 CHAIRPERSON DILAN: So you do 'em

1
2 generally customized for each individual customer,
3 you do 'em to spec. Is that--

4 DONNA RUDER: That, that's correct.
5 We have no--

6 CHAIRPERSON DILAN: Okay.

7 DONNA RUDER: --we don't make
8 anything to stock.

9 CHAIRPERSON DILAN: Okay, no, that,
10 that's what I was trying to--

11 DONNA RUDER: Yes.

12 CHAIRPERSON DILAN: --trying to get
13 at.

14 DONNA RUDER: Yeah.

15 CHAIRPERSON DILAN: So, you seem to
16 think that it would be almost impossible for your
17 business to meet the PSA require--PSI requirements
18 in the legislation, under your business model. Is
19 that--

20 DONNA RUDER: Yes.

21 CHAIRPERSON DILAN: --a fair
22 assessment for me to make?

23 DONNA RUDER: I think what I'm
24 saying is, we cannot get next day strengths using
25 400 pounds of cement. Next day strengths are

1
2 critical to our business. There would be, you
3 know, we would not be in business if we weren't
4 turning forms every day. We need to do that for
5 economic reasons, and also to keep up with
6 customer schedules.

7 CHAIRPERSON DILAN: Okay. So,
8 then, you, so what you're saying then is just for
9 my general understanding, is that you wouldn't be
10 able to meet the two day requirement, and, and the
11 two day pour requirement that maybe some of your
12 customers may demand?

13 DONNA RUDER: Yeah, see, the two
14 day pour requirement really applies to poured in
15 place building--

16 CHAIRPERSON DILAN: Got it. Yeah.

17 DONNA RUDER: But what I wouldn't
18 be able to meet is what our industry brings to the
19 table, and that is we can produce a whole floor of
20 a building extremely quickly--

21 CHAIRPERSON DILAN: Got it.

22 DONNA RUDER: --bring it to the
23 site, and get it erected in one day. So, we
24 actually save time on a construction schedule.

25 CHAIRPERSON DILAN: Got it, okay, I

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

just needed to understand--

DONNA RUDER: Sure.

CHAIRPERSON DILAN: --your business model, because it appeared to be different than all the others.

DONNA RUDER: Yes.

CHAIRPERSON DILAN: And wanted to take that into, into consideration, as well. Okay. And I'll get to 'em. Just as, I guess for anybody on the panel, is it possible to achieve, in your opinion, and if it applies, the compressive strength required for building construction by using fly ash as aggregate binder for concrete; or, or is slag must always be used to achieve the required strength, if the use of Portland cement is limited? Is that, can anybody answer that?

PAUL BROOKS: Well, you know, cement, fly ash, slag, silica fume, pretty much gain the same strength. I mean, maybe plus or minus 20 percent, okay. But I guess the real issue is how, how long does it take to get to that level? So, Portland certainly is the fastest to get there.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CHAIRPERSON DILAN: Okay.

PAUL BROOKS: Slag might be second fastest. Fly ash, depending on what type it is, usually is the slowest of all. So, you know, that's the way it is. Then you add in colder, colder weather, and it just stretches those differences out.

CHAIRPERSON DILAN: Okay, so the issue is not the strength, it's the timeframe that, that the different products can get to, get to strength.

PAUL BROOKS: [interposing] Yeah, I think generally so. I mean, I mean when we, you know, you're talking about a 4,000 or 5,000 PSI mix, when you're talking Portland Cement, you could get that in 28 days. When you're talking, say, a high volume fly ash, that might take 56 or 90 days. So, you know, that affects schedules, as well. You can use more and more chemicals, as Cas said, but I mean, there's, there's a limit. And those accelerating chemicals are more hampered, the colder the weather.

CHAIRPERSON DILAN: Final, final question for me, and it's a question that I didn't

1
2 ask of the previous panel. Are there any concerns
3 with the deicing legislation? Any concerns you
4 have with that at all? If--

5 PAUL BROOKS: Not, not
6 particularly. The o--again, the only problem is
7 if you're pouring that, something that's exposed
8 to deicing salts, and you pour it too close to the
9 winter, you're, you have a lot of water in it
10 already, the cement/fly ash/slag hasn't developed
11 strength yet. And again, it's the same thing I
12 just talked about. Rate of strength gain. If, if
13 you pour it in October with, with 50 percent slag
14 and 30 percent fly ash, and it, and you have
15 freezing in November, you're going to have some
16 issues. If you, if you poured it all with cement
17 in October, by November you'll probably be, you
18 know, you'd be in much better shape.

19 CHAIRPERSON DILAN: Okay. Thank
20 you. Council Member Gennaro?

21 COUNCIL MEMBER GENNARO: Than you,
22 Mr. Chairman, and I thank this panel for giving us
23 the benefit of your views. And earlier in the
24 hearing, we heard from the various entities that
25 were proponents of 577, but even they said, "Look,

1
2 we have to figure out a way under, you know,
3 certain kinds of temperature conditions and if
4 people need access to it right away, we have to be
5 able to do more than, you know, 400 pounds, so
6 that we can preserve the two day cycle and all
7 that." So, there's a recognition that there are
8 circumstances where the 400 pound just wouldn't,
9 wouldn't work? And then, and, and that has to do
10 with temperature and some other things. But
11 getting back to the, sort of like the precast
12 model that you have, and pardon me for, I lost
13 your name here, but--

14 DONNA RUDER: Donna Ruder.

15 COUNCIL MEMBER GENNARO: Thank you.
16 Presumably, the casts that you're making are under
17 kind of a controlled temperature condition 'cause
18 they're not outside, right? And so, I'm just
19 trying to figure out how, what we would be doing
20 and contemplate, what we'd be doing and
21 contemplating to help the folks that pour the
22 concrete onsite, you know, maintain like the two
23 day cycle and all that, why those things, you
24 know, wouldn't apply to you because you don't have
25 the same temperature variations and that kind of

1
2 thing. And so, I--and again, I just have to say
3 that this has been a very, very challenging
4 hearing for myself and the Chairman, and I thank
5 him for all his questioning, and I think there's
6 really recognition, at least for me, that there's
7 just like a lot more homework that we have to do
8 to get to a good result. But, with that said,
9 just try to help me--

10 DONNA RUDER: Okay.

11 COUNCIL MEMBER GENNARO: You hear
12 me stumbling, but I think you know what I'm trying
13 to ask you.

14 DONNA RUDER: I think I do. One of
15 the major differences in what we do, as compared
16 to poured in place, is we use prestressed as
17 opposed to mild reinforcing steel. Like if you go
18 to a jobsite, you're probably used to seeing a
19 poured in place, maybe floor, and it would have
20 some rebar or mesh, before they put the concrete
21 in, it's all tied together.

22 COUNCIL MEMBER GENNARO: Right.

23 DONNA RUDER: Per, per some design,
24 right? So, in our products, we don't do that.
25 Instead of using mild re--what they call mild

1
2 reinforcing, we run prestress through the product,
3 so it means we run strands that get pulled and
4 stressed before the concrete is poured on top of
5 them.

6 COUNCIL MEMBER GENNARO: Mm-hmm.

7 DONNA RUDER: And what happens is,
8 when the concrete bonds to those strands, we can
9 release the tension on the strands, and that's
10 what gives our structural member its strength.
11 So, what that can do is you can actually span much
12 longer spans in a building, without having to have
13 a column underneath.

14 COUNCIL MEMBER GENNARO: Oh, I see.

15 DONNA RUDER: Okay. That, that's
16 one of the, you mean, mean benefits of, of
17 prestress, as opposed to something that's mildly
18 reinforced. So, it can take a much heavier load
19 for a longer span. And so our major issue with
20 the lower cement content is that we're not going
21 to get strand bond the next day. We, you know, I
22 don't know if we'll get it at all. I mean, it's,
23 it's--that's one of the quality issues in the
24 industry, is strands--

25 COUNCIL MEMBER GENNARO: Right.

1
2 DONNA RUDER: --you cannot have any
3 strand slippage, or the, you know, the piece
4 doesn't work--

5 COUNCIL MEMBER GENNARO: Right.

6 DONNA RUDER: --from a structural--

7 COUNCIL MEMBER GENNARO: Okay,
8 well, let me ask this, then.

9 DONNA RUDER: Uh-huh.

10 COUNCIL MEMBER GENNARO: There is
11 a, you know, folks that pour concrete in place,
12 and those that do the precast, that'd be sort of
13 like the term of art for what you do, right?

14 DONNA RUDER: Right, prestress,
15 yeah.

16 COUNCIL MEMBER GENNARO: Prestress,
17 okay.

18 DONNA RUDER: Right.

19 COUNCIL MEMBER GENNARO: And so
20 just like the total volume of concrete that is, I
21 don't know, poured or put in place, if this is
22 like the, you know, universe of concrete, that
23 gets poured or done or by whatever method, whether
24 done in place or whether prestress, how much, like
25 what percentage of all the concrete that's sort of

1
2 put out there in the City would be via your
3 method? Is it a big chunk? Is it a small chunk?
4 Or--?

5 DONNA RUDER: We actually have
6 prestress in general, across the United States,
7 has a fairly small market share. However, New
8 York City is one of our major markets. It's still
9 fairly small, compared to--

10 COUNCIL MEMBER GENNARO: It's still
11 specialized. You know--

12 DONNA RUDER: Yes.

13 COUNCIL MEMBER GENNARO: --it's
14 still specialized.

15 DONNA RUDER: Yes.

16 COUNCIL MEMBER GENNARO: Because
17 what I'm--where I'm going with this, Mr. Chairman,
18 you know, not to, you know, bring our backroom
19 discussions into the full--but, but if the
20 prestress or the precast or like whatever the term
21 of art that I'm kind of groping for here, you
22 know, doesn't represent like a big chunk of the
23 marketplace, sort of anyway, you know, there may
24 be a way just kind of around that, that you know,
25 just like certain things that just, it just

1
2 wouldn't apply or something. I'm just trying to
3 figure out if that, something can be figured out
4 for the folks that do this, in place, and it
5 really doesn't make, and we can't figure out a way
6 to make it happen, for those that have the
7 precast--

8 CHAIRPERSON DILAN: Respectfully, I
9 think we should--

10 COUNCIL MEMBER GENNARO: Yeah.

11 CHAIRPERSON DILAN: --save that
12 for, all for the conversation.

13 COUNCIL MEMBER GENNARO: Right,
14 yeah, sure, but I'm just, I'm--

15 CHAIRPERSON DILAN: 'Cause I think
16 to say it openly to potentially exempt one product
17 or another--

18 COUNCIL MEMBER GENNARO: Right.

19 CHAIRPERSON DILAN: --we have the
20 ability to do that, no question, but I'm sure
21 there's a lot that we still need to learn before
22 we come to those type of decisions.

23 COUNCIL MEMBER GENNARO: Certainly,
24 and as I said earlier in the hearing, I don't
25 pretend to speak for the Chair of the Committee or

1
2 the leadership of the Council or any other
3 stakeholders that will have a lot of input and--
4 into what will ultimately happen, or not happen.
5 So, just some brainwaves I just, but thank you for
6 your indulgence, Mr. Chairman.

7 CHAIRPERSON DILAN: No problem.

8 With that, like, like, yeah, absolutely. Go
9 ahead.

10 PAUL BROOKS: Okay, here's really
11 what strikes me about this, when I saw this.
12 Okay, I've been in the technical side for 20 some
13 years. When you, Cas Bognacki is a, is one of the
14 smartest guys in the industry, certainly in maybe
15 if not the world. He's a bright guy, when he does
16 something, he does his homework, he's, he's got it
17 right, he's right on top of it, he's got the
18 statistics, he's got the respect of everyone he
19 does business with. He, you know, look at what
20 he's doing? Okay. Number one, World Trade
21 Center, Freedom Tower. And JFK runway, he
22 innovated that. He, he really is, I mean, it
23 doesn't take long to see how, how much he knows.
24 I don't have a problem with Cas Bognacki with 400
25 pounds. He'll get it every time. I mean, if I

1
2 was going to bet on something, that, that's what
3 I'd bet on, him getting that. My problem is, in
4 the more mundane projects, every day, where people
5 aren't under the microscope, Cas Bognacki's not
6 driving this thing. Okay? You got the day-to-day
7 guys, okay. Not to disparage anybody being a day-
8 to-day guy, but you know, when you're doing this
9 365 days a year, year after year after year, okay,
10 you're, maybe, maybe you're not seeing that
11 fastball, you're not, you're not atten--you don't,
12 you know, it's not such a big project or something
13 new that you've got all this study of. When it's
14 a day-to-day thing, or is everybody going to be a
15 Cas Bognacki every single day on every project?
16 That's my concern.

17 CHAIRPERSON DILAN: That's a fair
18 and valid concern. I don't, certainly don't know
19 Ms., Mr. Bognacki's professional career maybe as
20 well as you do, but it's something that, that I'm
21 glad you brought to light. So, I'll extend the
22 offer to this panel as I did the last panel, if
23 there's any environmental research that you have
24 done, that you feel will allow you to do business,
25 however help achieve environmentally friendly

1
2 goals or sustainability, environmental
3 sustainability, that you care to share, we'd like
4 to, like to see it, if you could submit it to us
5 at your leisure, we'd certainly appreciate that.
6 Thank you all for coming, thank you for your time,
7 and, and your testimony. Thank you. Okay, the
8 final person to present will be Mr. Joseph
9 Ferrara. And you're actually in favor of one
10 piece of legislation and opposed to another piece,
11 so I guess you could explain your positions on
12 each.

13 JOSEPH FERRARA: [off mic] Thank
14 you.

15 CHAIRPERSON DILAN: If you could
16 turn the mic on, and then start by introducing
17 yourself in your own voice.

18 JOSEPH FERRARA: Thank you. My
19 name is Joseph Ferrara. I am Vice President and
20 General Counsel of Ferrara Brothers Building
21 Material. I think I'm the first and only concrete
22 producer, so you'll hear a different side of this
23 issue. My business was started by my father and
24 uncles back in 1969. We've slowly and steadily
25 grown into probably one of New York City's most,

1 largest and most highly regarded concrete
2 producers. We care very much about the quality of
3 our concrete. That's how we sell ourselves:
4 quality and service. We're unique in that we are
5 a manufacturer, as well as a deliverer. So, you
6 have a manufacturing component and delivery
7 component. So, it's dealing with New York City
8 traffic and demanding contractors is always a
9 challenge. And we have one of the panel members
10 alluded, we have a 90 minute shelf life to get the
11 concrete from our plant to a job site. And when
12 President Obama's in town, that could be a real
13 challenge. We're very passionate about concrete,
14 but we feel Intro 577 is misguided and misplaced.
15 We do share the City's concerns, and we do
16 recognize our obligation to environmentally
17 responsible. Our entire fleet is 100 percent
18 complaint with the Diesel Emissions Reduction Act.
19 I think we're the only concrete producer that all
20 our trucks are, are compliant with DERA, and we're
21 proud to say that we have two concrete mixers that
22 run on compressed natural gas. The only two on
23 the whole east coast. I got to ring the bell as
24 NASDAQ because of these trucks. But we do have
25

1
2 practical concerns about the limit of 400 pounds
3 of cement per yard. Yesterday, knowing I was
4 coming here, we have thousands and thousands of
5 concrete mixers. We looked at those and a
6 handful, only a handful, are less than 400 pounds
7 of cement. We've done concrete from sidewalk,
8 3,200 PSI, to we're doing Tower Four. And there
9 are a few mixers that are less than 400, but it's
10 really the customer that, the contractor, their
11 needs. If you only use 400 pounds of cement, the
12 concrete is gluey, you can't finish it, you can't
13 get the smooth finish. So, because there's dozens
14 of different applications--slabs, columns, sheer
15 walls, foundations--it's the customer, the
16 contractor who determines what he wants. We would
17 love to be able to be more creative with the
18 mixes, and I think the CIB, of which Cas is
19 president, the Concrete Industry Board, which is a
20 great cross-section of our industry--it has
21 engineers, it has agencies, it has concrete
22 producers, materials--they never really debated
23 this. We just learned about this proposed law
24 recently. But imposing this restriction, wouldn't
25 allow us to service our customers' needs and

1 requirements. So, if all of the sudden overnight
2 we couldn't put more than 400 pounds of cement in
3 a yard of concrete, it would, it would
4 dramatically change and radically change our, our
5 whole industry. And it would make for a lot of
6 angry contractors. And believe me, you don't want
7 an angry contractor. We're 15 minutes late to a
8 job and they're screaming and yelling. So, we did
9 survey some of our customers and our contractors.
10 Some of them won't even use slag or fly ash
11 because it affects the workability of the
12 concrete. So, to make a wholesale switch for all
13 concrete for the--that's every yard of concrete
14 produced in New York City. I don't think there is
15 one mix that has been designed to be over 12,000
16 PSI. So this would radically change our whole
17 industry overnight. And the other issues that
18 come into play with 577, is the availability of
19 the cement substitutes. Fly ash comes from
20 Maryland, Ohio; slag comes from Camden. So, just
21 the logistics of transporting this material could
22 impact, and would, would make us change our whole
23 operation. And finally, the, the home base.
24 Concrete is such a local, natural product, and it,

1
2 and it does support our local economy. You think
3 of sand, sand comes from eastern end of Long
4 Island. So you mine the sand. The stone comes
5 from upstate New York. You have upstate cement
6 mills. And the local concrete producers, there's
7 40 concrete producers, like our comp--40 concrete
8 plants in the five boroughs alone. So, so it
9 really, really, most of which are union, they're
10 highly paid positions. Right now, the concrete
11 industry's hurting. We're down about 30-40
12 percent as an industry. And I guess it was Ms.
13 Kerr who said every year they--and a light bulb
14 went off--every year they remill a million tons of
15 asphalt. I don't think in our lifetime we've
16 never, ever replaced any concrete road or any
17 concrete struc--concrete lasts a lifetime. So,
18 maybe the City should look into spec'ing more
19 concrete roads rather than asphalt roads. That'll
20 solve the asphalt problem. But the Building
21 Department recognized our industry, what I'm
22 really fearful of is lowering the cement content I
23 think is an invitation for disaster. About five
24 or six year ago, a parking garage collapsed in
25 Atlantic City. Cement, you cannot make concrete

1
2 without cement. So, if you start playing a game,
3 I could name that tune, I could name it in five
4 notes, you're inviting disaster. And the
5 Department of Buildings, our industry got a black
6 eye a couple of years ago because of the
7 indictments of the concrete testing labs. The
8 labs cut corners, there's no question, they didn't
9 do what they were supposed to do; but our
10 industry, the producers, got the black eye, they
11 retested, they spent millions of dollars to retest
12 the concrete. The concrete was, was fine in
13 place, but recognizing that the CIB formed this
14 CIB Concrete Producer Certification Program, where
15 it requires concrete producers to invest in a
16 laboratory, their own laboratory, test their raw
17 materials, have your people certified by ACI and
18 other national ready mix concrete, and if you
19 allowed the CIB--and the Building Department has
20 recognized that the CIB certified concrete
21 producer can now issue its own mix designs, we're
22 familiar with our materials. And, and we probably
23 know more than any of our competitors, but we'd be
24 leery about making it a blanket 400 pound maximum.
25 So, there's, as to 60, about the use of recycled

1
2 aggregate, we're, we're definitely in favor of it,
3 we are presently supplying the renovation of City
4 Hall, with recycled aggregate. It's about ten, 15
5 percent. But I would only suggest that you, it
6 says a minimum of ten, but you should make an
7 upper, upper limit, you don't want 100 percent
8 recycled, that would not be good. So, but we're
9 happy to meet with the Committee, Subcommittee,
10 talk about how it impacts our industry. Thank
11 you.

12 CHAIRPERSON DILAN: Okay, so as it
13 relates to 603, since we just finished on that,
14 what would you recommend the, the high limit
15 should be, where should it be focused around? And
16 why is it, in your opinion, bad to use 100
17 percent?

18 JOSEPH FERRARA: Well, believe it
19 or not, concrete is very scientific, and there's a
20 lot of chemistry involved, and the coarse
21 aggregate that you would be replacing, if you're
22 using recycled concrete, there's a specific
23 gravity per--and the specific gravity takes a
24 volume versus its weight. So, if you're using
25 crushed concrete that you don't know where it

1
2 comes from, you really don't know what the
3 specific gravity of that is. So, sand has a
4 specific gravity, the stone. So if you're
5 replacing stone, that you know came out of a
6 mountain, and it's the specific gravity's on the
7 money, versus crushed concrete, which may or may
8 not have the same specific gravity, it might be
9 more porous, there'll be a lot of durability
10 issues. But between ten and 25 percent, there's a
11 lot of white papers on that, we could share that
12 with the, with the Committee. My uncle's been the
13 mad scientist with recycled concrete because we
14 have a lot of it. A guy on a high rise deck, they
15 have 40-50 guys on, on the, on a building, so
16 rather than measure and see exactly what they need
17 for their last load, they'll order a whole
18 truckload and throw away \$1,500 worth of concrete,
19 because they just don't want to run short. So,
20 that is a major problem; we crush it, we sell it
21 as base. We'd love to be able to use it in ready
22 mix concrete. It would solve some environmental
23 concerns. But to, to just put a minimum and no
24 maximum, might be a little--

25 CHAIRPERSON DILAN: Yeah, so to get

1
2 back to that, what, where do you think we should
3 be around if we look to amend the bill in terms of
4 a maximum, what percentage you think would be
5 appropriate?

6 JOSEPH FERRARA: I would really
7 defer to my uncle, who's, you know, has got all
8 kinds of studies and analyses. That's his baby,
9 he, I'm sure he'd be happy, everybody knows Uncle
10 Lenny Ferrara.

11 CHAIRPERSON DILAN: Fair enough.

12 JOSEPH FERRARA: He's a legend in
13 the industry.

14 CHAIRPERSON DILAN: Well, we may
15 reach out to Uncle Lenny with your permission
16 [laughter] should we decide to make amendments to
17 that regard. [laughs]

18 JOSEPH FERRARA: Okay.

19 CHAIRPERSON DILAN: Okay, and so,
20 we certainly appreciate your testimony. I think
21 that the consensus amongst the industry is, you
22 know, strong opposition to, to 477.

23 JOSEPH FERRARA: 577.

24 CHAIRPERSON DILAN: 577.

25 JOSEPH FERRARA: Yes.

1
2 CHAIRPERSON DILAN: Around the 400
3 PSI issue.

4 JOSEPH FERRARA: 400 pounds.

5 CHAIRPERSON DILAN: Yeah.

6 JOSEPH FERRARA: 400 pounds.

7 CHAIRPERSON DILAN: 400 pounds. So
8 that, that seems to be the one thing I'll take
9 away from this hearing. But being that you're
10 apparently New York City specific, there was other
11 agenda items in terms of, you know, how the, in
12 regard to regulating the concrete washout water.
13 Do you have any opinion on, on that?

14 JOSEPH FERRARA: Yes, we, we are,
15 in our quotes and proposals, the contractors, you
16 know, it's, we're, a requirement that the
17 contractor must provide an environmentally
18 responsible way to dispose the wash off the chutes
19 of the--Really, our, our only issue is to wash off
20 the chutes after the concrete's discharged, when
21 it come down the chute. So there's no stones and,
22 as the truck comes back to the plant. So, it is
23 the contractor's responsibility. We deliver to
24 hundreds of construction sites a day. And I give
25 all my drivers specific instructions, if there's a

1
2 problem on the job, we stop delivering concrete
3 and we have a conversation with the contractor.
4 'Cause guys cut corners and, you know, we don't
5 want to be party to that. But then you run into
6 the, you know, space, lack of space. There are
7 companies that do, have gotten into this area with
8 wash out containers that are watertight. Most of
9 the high rise jobs, Tower Two, Tower Four, they do
10 have environment--

11 CHAIRPERSON DILAN: Special--

12 JOSEPH FERRARA: --yeah, special
13 containment. But a lot of guys'll just have a
14 pile of sand on the, on a, "Here, wash your chutes
15 in this pile of sand," and then they pick it up.
16 So, you know, we can't be everywhere, but we do
17 tell our drivers if there is an, if there isn't,
18 something doesn't look kosher, let me know right
19 away. So, it is an issue.

20 CHAIRPERSON DILAN: So it's
21 largely, largely the responsibility of the
22 contractors and the - -

23 JOSEPH FERRARA: [interposing] Oh,
24 absolutely, yeah, absolutely.

25 CHAIRPERSON DILAN: Okay. Okay.

1
2 All right, we'd like to, to thank you for your
3 time, and your testimony. And being that you're
4 New York City based, I think a lot of the, the
5 concrete companies in the region gave an idea
6 about the size. About how large is, is your
7 company? About how many people do you employ?

8 JOSEPH FERRARA: We run about 67
9 trucks, we have two plants in Brooklyn, two in, in
10 Queens, one in Maspeth, one in College Point. At
11 our peak season, we'll employ about 115 people.

12 CHAIRPERSON DILAN: Oh, okay.

13 JOSEPH FERRARA: Yeah.

14 CHAIRPERSON DILAN: All right,
15 thank you.

16 JOSEPH FERRARA: You're welcome.


17 CHAIRPERSON DILAN: Appreciate your
18 time and your testimony. I don't believe Council
19 Member Gennaro has any questions. Okay, I, just
20 for the record, I have to acknowledge that I've
21 received testimony for the record from the New
22 York State Association for Affordable Housing,
23 otherwise known as NYSFAFH. And I'm not sure, I
24 didn't get a ch--in opposition to Intros 576, 585,
25 575, 577 and 578. Their testimony will be entered

1
2 into the record as if read in full. And all items
3 before the Committee are laid aside. And that
4 will conclude this hearing.

C E R T I F I C A T E

I, JOHN DAVID TONG certify that the foregoing transcript is a true and accurate record of the proceedings. I further certify that I am not related to any of the parties to this action by blood o-r marriage, and that I am in no way interested in the outcome of this matter.

Signature

A handwritten signature in cursive script that reads "John David Tong". The signature is written in black ink and is positioned to the right of the printed word "Signature".

Date July 8, 2011