

CITY COUNCIL  
CITY OF NEW YORK

-----X

TRANSCRIPT OF THE MINUTES

of the

COMMITTEE ON TRANSPORTATION

-----X

November 6, 2008

Start: 10:13am

Recess: 1:07pm

HELD AT: Council Chambers  
City Hall

B E F O R E:  
JOHN C. LIU  
Chairperson

COUNCIL MEMBERS:  
Joseph P. Addabo, Jr.  
G. Oliver Koppell  
Miguel Martinez  
Michael C. McMahon  
Daniel R. Garodnick  
Jessica S. Lappin  
Vincent Ignizio  
Alan J. Gerson

## A P P E A R A N C E S (CONTINUED)

David Woloch  
Deputy Commissioner  
New York City Department of Transportation

Steven Galgano  
Director of Engineering  
New York City Department of Transportation

Leo Smith  
Regional Northeast Director  
International Dark Sky Association

Susan Harder  
Section Leader  
International Dark Sky Association

Dan Minor  
Chair  
NYC Sierra Club

Jennifer Brons  
Lighting Research Center, Rensselaer Polytechnic  
Institute

Glenn Philips  
Executive Director  
New York City Audubon

Lauren Schuster  
Environmental Campaign Coordinator  
New York Public Interest Research Group

Gail Clyma

Michael Demma  
Light Maintainer  
Transit Authority

## A P P E A R A N C E S (CONTINUED)

Paul Schubert  
Community Organizer

1  
2 CHAIRPERSON LIU: Good morning and  
3 welcome to today's hearing of the City Council's  
4 Committee on Transportation. My name is John Liu  
5 and I have the privilege of chairing this  
6 Committee. We have convened today for the  
7 purposes of examining two bills related to street  
8 lighting and voting on one bill to clarify parking  
9 rules. Some time ago Mayor Bloomberg announced  
10 with great fanfare that he would be taking on  
11 environmental initiatives in PlaNYC 2030, a plan  
12 designed to, among other things, reduce energy  
13 usage to help reduce pollution that is a byproduct  
14 of energy usage and production. New York City  
15 Government accounts for almost seven percent of  
16 the City's overall energy usage, and a large part  
17 of this can be attributed to the City's 300,000  
18 street lamps. There's no dispute that in a city  
19 as densely populated as ours that streetlights are  
20 necessary to ensure that people can conduct  
21 activities after dark, which now that we are in  
22 standard times, is earlier than ever, and to give  
23 people a sense of security at night. The two  
24 bills that we are considering today attempt to  
25 improve the City's streetlights. Intro 757, by

1  
2 Council Member Alan Gerson, would require the  
3 Department of Transportation and certain other  
4 parties to use fully shielded lights that direct  
5 light downwards to help reduce light pollution  
6 whenever they install a new streetlight or replace  
7 a light fixture. Intro 806, by Council Member  
8 Jessica Lappin, would require the DOT to use light  
9 emitting diode bulbs, or LED bulbs, which last  
10 longer and are more efficient than bulbs currently  
11 used in street lighting, in all street lamps  
12 within one year of the effective date of the law.  
13 Decorative street lamps would be exempt from the  
14 requirements of this bill. Today we'll also vote  
15 on Proposed Intro 812-A, introduced by Council  
16 Member Felder, that would allow people to park at  
17 broken meters up to the maximum amount of time  
18 otherwise lawfully permitted at such meter space.  
19 The bill would fix a quirk in the traffic rules  
20 where people are, apparently, only allowed to park  
21 for up to one hour at a broken meter space,  
22 whereas they would be allowed to park up to the  
23 maximum amount of time normally allowed in that  
24 parking zone if the meter was missing. This  
25 scheme has led to confusion where people have been

1  
2 ticketed, surprisingly so, when they thought they  
3 were in full compliance of the law. And based on  
4 previous hearings we have concluded that the  
5 rationale for such rule, purportedly to deter  
6 vandalism, is simply not worth the amount of  
7 confusion and punitive measures that people in New  
8 York have had to endure. The A version of this  
9 bill has had some changes made to make clear that  
10 motorists would only be able to park up to the  
11 maximum amount of time otherwise lawfully  
12 permitted at that metered space, and the effective  
13 date of this bill was changed from 60 days to 90  
14 days after the bill is enacted into law. We will  
15 now--

16 [Pause]

17 CHAIRPERSON LIU: We are now going  
18 to invite the officials from the Department of  
19 Transportation to join us at the table.

20 [Pause]

21 CHAIRPERSON LIU: And we will hear  
22 some opening remarks from Council Member Jessica  
23 Lappin, who is the prime sponsor of Intro 806.

24 COUNCIL MEMBER LAPPIN: Good  
25 morning, Mr. Chairman. Thank you for putting this

1  
2 item on the agenda today. It's nice to see you  
3 all from DOT. I'm sure you're going to say very,  
4 very positive thing. I just wanted to briefly  
5 explain why I introduced this legislation. In  
6 these tough economic times, I think we have to  
7 find creative ways to do more with less. And this  
8 bill could not only save the City money over the  
9 long run by reducing our energy consumption, it  
10 will also make our City greener. There are about  
11 300,000 street lamps, to my count. And  
12 transforming those over to LED lights could reduce  
13 energy consumption by as much as 30%. So while I  
14 understand there would be an initial capital  
15 investment that would be needed for this effort, I  
16 think it would clearly save us money in the long  
17 run. And in terms of the environmental impacts,  
18 it's estimated that replacing only 1,000  
19 streetlights with LED bulbs would be the  
20 equivalent of removing 400 cars from the road in  
21 terms of greenhouse gas emissions. With nearly  
22 300,000 streetlights in New York City, we could  
23 effectively reduce our carbon footprint by the  
24 equivalent of 120,000 cars, and I think that's a  
25 pretty substantial amount. LED technology, which

1  
2 we're going to discuss more today, is exciting and  
3 this City has already embraced some of its  
4 possibility, from our traffic signals to Times  
5 Square to the Brooklyn Bridge and Rockefeller  
6 Center, New York City is already saving 6.3  
7 million dollars annually by utilizing these energy  
8 efficient bulbs. So I hope that we can expand  
9 that effort by passing this legislation. Thank  
10 you, Mr. Chairman.

11 CHAIRPERSON LIU: Thank you Council  
12 Member Lappin. And now we invite testimony from  
13 the Department of Transportation. Thank you for  
14 joining us, gentlemen.

15 DAVID WOLOCH: Good morning,  
16 Chairman Liu and Council Member Lappin. I'm David  
17 Woloch, Deputy Commissioner for External Affairs  
18 at the New York City Department of Transportation.  
19 And with me here today is Steve Galgano, DOT's  
20 Executive Director of Engineering. Thank you for  
21 inviting us here today to testify at this hearing  
22 on Intro 757, which would require the use of full  
23 cut off light fixtures for any new or replacement  
24 light fixtures and Intro 806, which would require  
25 DOT to replace all street lamp bulbs with light



1 emitting diode bulbs, LEDs, or replace any street  
2 lamps that are incapable of accommodating LEDs  
3 with street lamps that are within one year of the  
4 bill's effective date. Before I discuss this  
5 specifics of the bills, I would like to brief the  
6 Council on DOT's lighting standards and explain  
7 what the difference is between a full-cutoff  
8 fixture or luminaire, as called for in Intro 757,  
9 and a semi-cutoff luminaire, which is the standard  
10 luminaire used Citywide today. DOT is responsible  
11 for maintaining over 300,000 luminaires on the  
12 City's streets, highways, parks overpasses,  
13 underpasses, bridges and playgrounds. The  
14 carefully considered lighting levels and  
15 uniformity ratios, which measures light  
16 distribution, provided by these luminaries are  
17 based on standards established by the Illuminating  
18 Engineering Society of North America and reviewed  
19 for specific and varied conditions throughout the  
20 five boroughs of New York City. As a densely  
21 populated urban center, we used standards that are  
22 adjusted to provide adequate lighting to motorists  
23 on the road, as well as to the many pedestrians as  
24 they walk throughout the City. Adequate lighting  
25

1 protects public safety by facilitating the flow of  
2 traffic and reducing motor vehicle accidents,  
3 providing pedestrians with an open visual  
4 environment to make them feel safe and secure, and  
5 promoting business and industry that's open during  
6 nighttime hours. New York City is a 24-hour city  
7 and therefore it's imperative that adequate  
8 lighting be provided for the public at all times.  
9 The standard luminaire that is used Citywide today  
10 to achieve these proper lighting levels are high-  
11 pressure sodium semi-cutoff cobra head luminaries.  
12 I now ask you to turn to the illustrations at the  
13 end of the testimony so that I can explain the  
14 differences between semi-cutoff and full-cutoff  
15 luminaries. The first illustration depicts a  
16 streetscape utilizing full cutoff luminaires. As  
17 you will note, full-cutoff luminaries direct light  
18 downward, in a spotlight effect and none of the  
19 light is directed above 90 degrees. And while  
20 direct up light is avoided, it creates areas of  
21 shadow and uneven illumination. Additionally, the  
22 concentrated down light can cause higher amounts  
23 of reflected light and poor uniformity. The  
24 second illustration depicts a streetscape using  
25

1 semi-cutoff luminaries. Semi-cutoff luminaries  
2 direct light distribution downward in a more  
3 evenly dispersed pattern, and up to five percent  
4 of the light may be directed above 90 degrees.  
5 Semi-cutoffs allow us to increase the spacing  
6 between poles, since light is being distributed in  
7 a wider diameter. They also provide increased  
8 illumination of vertical surfaces, including  
9 building doorways and people, which is important  
10 for safety concerns, and produce less reflected  
11 light than full-cutoff luminaries. Now that you  
12 have a basic understanding of our lighting  
13 standards and what a semi-cutoff versus a full-  
14 cutoff luminaire is, let me turn to Intro 757,  
15 which would mandate the use of full-cutoff  
16 luminaires for any new or replacement lighting.  
17 DOT is opposed to this bill, primarily because it  
18 would conflict with the New York City climate  
19 protection act, Local Law 55 of 2007, a law this  
20 Council passed, that established energy efficient  
21 practices in this City Government's energy  
22 consumption, by mandating at least a 30% reduction  
23 in Citywide greenhouse gas emissions, from FY 2006  
24 levels within ten years. DOT is making a  
25

1  
2 substantial contribution to meeting this local law  
3 and reducing energy consumption Citywide through  
4 its Wattage Reduction program, which would have to  
5 be discontinued, essentially, should this bill  
6 pass. Under this program in June 2007, DOT began  
7 replacing all 250-watt high-pressure sodium  
8 streetlight cobra heads with the 150-watt heads  
9 and 150 watts with 100-watt heads. This program  
10 consists of three phases, and in total we're going  
11 to convert 250,000 luminaires, which will save  
12 over 105 million kilowatt-hours annually.  
13 Additional benefits include lower maintenance  
14 costs, and also a reduction of light above 90  
15 degrees, thus making the five percent difference  
16 between semi-cutoffs and full-cutoffs that much  
17 smaller. Should Intro 757 pass into law, we'd be  
18 forced to discontinue this energy savings program,  
19 since to date no manufacturer makes a 150 watt  
20 full-cutoff luminaire that meets our technical  
21 specifications, despite our repeated requests to  
22 the manufacturing community to develop one. It  
23 simply, as of now, does not yet exist. As we  
24 explained to Council Staff previously, when a 150  
25 watt full-cutoff luminaire that meets our

1 specifications is developed, we will gladly look  
2 for opportunities to use it. In effect, our  
3 wattage reduction program is helping to meet the  
4 goals of the climate protection act of 2007 and  
5 will result in real energy savings, money savings  
6 and greenhouse gas credits. While Intro 757 does  
7 nothing to reduce energy consumption, it is  
8 important to understand that Intro 757 is not an  
9 energy conservation bill. In addition, semi-  
10 cutoff luminaires only add five percent more  
11 upward light than full cut-offs. And as I noted  
12 earlier, our wattage reduction program reduces  
13 this five percent even further. Our focus is on  
14 safety and energy efficiency, and we are also  
15 always striving to make use of the most current  
16 technology, looking for ways to reduce energy  
17 consumption and increase cost savings. Our  
18 efforts extend beyond our wattage reduction  
19 program. All of the City's 32-watt incandescent  
20 fire alarm lamps have been replaced with 7-watt  
21 LED lamps. All 12,000 highways signage 84-watt  
22 fluorescent lamps have been replaced with 3,000  
23 100-watt metal halide units. We are reviewing our  
24 existing lighting catalogue with particular  
25

1 emphasis on non-custom contemporary street  
2 fixtures that will provide more energy efficient  
3 alternatives with attention to lighting levels on  
4 the street. We're in direct communication with  
5 members of IESNA, lighting designers and lighting  
6 manufacturers to ensure that current guidelines  
7 are considered for future installations. We're  
8 working with the Climate Group and the Clinton  
9 Climate Initiative among others to explore along  
10 with other cities the best uses for full and semi-  
11 cutoff luminaires, as well as more efficient  
12 lighting sources. Since there's no manufacturer  
13 that makes a full-cutoff 150 watt luminaire that  
14 meets our specifications, this legislation would  
15 either require us to compromise our energy  
16 conservation efforts, requiring us to use higher  
17 wattage fixtures or, as I will explain, provide  
18 additional poles, at a greater financial cost to  
19 the City, to compensate for the full-cutoff  
20 luminaires in order to achieve the necessary  
21 lighting uniformity, or require us to compromise  
22 our lighting standards, which as stated earlier  
23 are accepted standards established by the IESNA.  
24 We certainly do not want to compromise our  
25

standards. We need to provide adequate lighting to the many pedestrians as they walk throughout the City as well as to motorists on the road. In fact, in addition to the countless requests for increased lighting from the public over the years, we've also received many requests from City elected officials requesting additional lighting, over 600 requests over the last three years, and none asking for less lighting. Not surprisingly, states that have passed laws mandating the use of full-cutoffs, including Massachusetts, Rhode Island and New Hampshire, all recognize the unique lighting needs of urban areas and allow the use of semi-cutoffs in their urban areas. The Massachusetts law, for example, specifically states, any urban area where there is high nighttime pedestrian traffic, which has been examined by an engineer employed by the Commonwealth and experience in outdoor lighting and deemed to be an area where the installation of semi-cutoff luminaires are necessary. As the technology currently exists in order to maintain our lighting standards and utilize full-cutoff luminaires, closer pole spacing may be required in

1  
2 order to achieve the necessary lighting  
3 uniformity. Either streetlight poles may need to  
4 be relocated or additional poles may need to be  
5 installed. This may also require Con Edison to  
6 excavate streets in order to provide the  
7 additional electrical service. The initial  
8 purchase, together with installation, increased  
9 energy use and maintenance costs would be  
10 substantial, and any increase is certainly not  
11 something the City can afford at this time. For  
12 example, we currently install 5,000 new  
13 streetlight poles a year and replace approximately  
14 20,000 cobra heads. A complete semi-cutoff cobra  
15 head luminaire currently costs us \$120. A full-  
16 cutoff luminaire on the other hand would cost us  
17 \$240, twice as much. Therefore in effect to  
18 convert just these 25,000 luminaires to full-  
19 cutoffs would cost us approximately three million  
20 dollars. And that's just the cost of the  
21 luminaire. It doesn't include the cost of any  
22 additional poles if we would need to add them,  
23 their installation, increased energy use or  
24 maintenance. Again, this isn't something the City  
25 can afford right now. Lastly, we are also opposed



1  
2 to Intro 757, because under this legislation the  
3 majority of historic and decorative lights, which  
4 are any lights other than our cobra head standard,  
5 would not be permitted, as they utilize either  
6 semi-cutoff or non-cutoff luminaires. However,  
7 there are some existing decorative lights that  
8 utilize full-cutoff luminaires, and therefore  
9 would not be affected by this legislation.

10 Working with partners such as the Economic  
11 Development Corporation and the Downtown Alliance,  
12 these were able to be installed in certain  
13 locations because our partners are picking up the  
14 cost for the luminaires, additional poles and the  
15 increased energy used. So while some historical  
16 and decorative lights can simply not accommodate  
17 full-cutoffs, we will continue working with our  
18 partners to expand the use of historic and  
19 decorative full-cutoffs where we can. In  
20 conclusion, while DOT is committed to expanding  
21 our use of full-cutoff luminaires where feasible,  
22 we're opposed to Intro 757 as it would require us  
23 to either discontinue our Wattage Reduction  
24 Program, putting us in conflict with the New York  
25 City Climate Protection Act, or require us to

1  
2 either compromise our lighting standards, or to  
3 add additional poles to produce enough lighting to  
4 meet our standards, and lastly require the removal  
5 of the majority of our existing historic and  
6 decorative lights. Now let me turn to Intro 806,  
7 which would require DOT to replace all streetlamp  
8 bulbs with light emitting diode bulbs, LEDs, or  
9 replace any street lamps that are incapable of  
10 accommodating LEDs with streetlamps that are  
11 within one year of the bill's effective date.

12 We're opposed to this legislation due to  
13 technology and cost concerns. In keeping with our  
14 efforts to conserve energy and to utilize the  
15 latest technology, we've already begun using LED  
16 Citywide, where appropriate. We've replaced all  
17 Citywide traffic signals and pedestrian signals  
18 with LEDs between 1998 and 2004. In addition to  
19 this, we are piloting the use of LEDs on the  
20 decorative necklace lighting of the Manhattan and  
21 Brooklyn Bridges. We're also actively searching  
22 for appropriate locations to test LED pedestrian  
23 streetlights. However, LEDs as a light source are  
24 still in the developmental phase and to mandate  
25 their use Citywide within a year is not prudent.

1  
2 We're concerned about light distribution when LEDs  
3 are used, as the quantity of light to reach our  
4 standard levels may be difficult to achieve. At  
5 the present time LEDs deliver 90 lumens per watt,  
6 while high pressure sodium delivers 125 lumens per  
7 watt. In effect, LEDs produce approximately 25%  
8 less light for the same amount of energy.

9 Furthermore, we don't want to tie our hands and  
10 limit our use to one specific technology as  
11 lighting technology is constantly changing. For  
12 example, we're also testing the use of induction  
13 lamps on the Manhattan and Brooklyn Bridges.

14 These bulbs may last longer and perform better on  
15 our bridges than LEDs and would cost approximately  
16 \$175 each for replacements, as opposed to an LED,  
17 which would cost approximately \$800 to \$1,200 each  
18 depending on the location. Mandating a type of  
19 technology that may very well change in the near  
20 future may not allow us to take advantage of  
21 perhaps better and less expensive lighting  
22 products. I think this is a very important point.  
23 We're not opposed to utilizing new lighting  
24 technology, and our record speaks to this.

25 However, to legislate lighting standards, whether

1  
2 those in Intro 757 or those in Intro 806, would  
3 simply box us in. Technology is constantly  
4 changing, as we've already seen. And we'd prefer  
5 to work with the Council as technology improves to  
6 make sure that we're not missing out on any new  
7 opportunities and being cognizant of what other  
8 localities are doing. Lastly, while the intent of  
9 Intro 806 is admirable, the cost to implement it  
10 would far outweigh any benefits. We assume that  
11 to replace all of the City's 305,000 luminaires  
12 would cost the City approximately 286 million in  
13 addition to approximately 3 million annually in  
14 replacement costs. Similar to Intro 757, this  
15 isn't something the City can afford at this time.  
16 Thank you for this opportunity to testify before  
17 you today. And at this time we'd be happy to  
18 answer any questions that you may have.

19 CHAIRPERSON LIU: Thank you very  
20 much, Commissioner Woloch. Before we proceed to  
21 questions concerning your testimony on Intro 757  
22 and 806, Congressman? We are going to call for a  
23 vote on Intro 812-A.

24 WILLIAM MARTIN: William Martin,  
25 Committee Clerk, Committee on Transportation,

1

2

Introduction 812-A. Council Member Liu.

3

CHAIRPERSON LIU: Well thank you.

4

I want to thank the Clerk for jumping right to it.

5

I just want to say once again that we held a

6

hearing on Intro 812-A, which seeks to remedy this

7

broken meter rule that is extremely confusing for

8

people and has led to innumerable people receiving

9

tickets for what these motorists fully expected

10

and had considered would be their law abiding

11

practice. This is part of an ongoing effort on

12

the Committee's part to clarify and to make

13

parking rules in New York City more reflective of

14

the needs of New York City and less punitive when

15

those punitive measures are unnecessary. In

16

encourage my colleagues to vote yes on this bill

17

and thank Council Member Simcha Felder for

18

introducing it. And I vote yes on this bill.

19

WILLIAM MARTIN: Addabbo.

20

COUNCIL MEMBER ADDABBO: Yes.

21

WILLIAM MARTIN: Martinez.

22

COUNCIL MEMBER MARTINEZ: Yes.

23

WILLIAM MARTIN: McMahon.

24

COUNCIL MEMBER MCMAHON: Yes.

25

WILLIAM MARTIN: Lappin.

1

2

COUNCIL MEMBER LAPPIN: Yes.

3

WILLIAM MARTIN: Ignizio.

4

COUNCIL MEMBER IGNIZIO: Yes.

5

WILLIAM MARTIN: By a vote of six

6

in the affirmative, zero in the negative and no

7

abstentions, item is adopted. Members, please

8

sign the Committee report. Thank you.

9

CHAIRPERSON LIU: And I request

10

that the Clerk keep the roll open, because we have

11

other members joining us to make their votes.

12

Thank you. Okay. Well turning back to the DOT's

13

testimony, again, these two bills are intended to

14

improve our street lighting in New York City. The

15

Department of Transportation, shockingly enough,

16

thinks that legislation is not necessary as they

17

are doing everything they can to keep our streets

18

well lit in an efficient manner. I'd like to ask

19

you gentlemen when-- could you describe the last

20

couple of times or maybe even just the last time

21

that the City embarked in a change in the devices

22

used to illuminate our City streets? Identify

23

yourself for the record please.

24

STEVEN GALGANO: Steve Galgano,

25

Executive Director of Engineering, New York City

1  
2 Department of Transportation. We are in the  
3 process right now. We started about two years ago  
4 changing from 250 watt high pressure sodium  
5 luminaires to 150 watt high pressure sodium  
6 luminaires, which use different optics and solid  
7 state ballast to improve the efficiency of the  
8 luminaire, which allows us produce additional  
9 efficient light out of the fixture at a lower  
10 wattage. And that we've started two years ago and  
11 we are continuing at the moment and expect for  
12 another two years to continue to change all the  
13 cobra heads from 150 to 100 and 250 to 150.

14 CHAIRPERSON LIU: And when the  
15 mandate came down for that, I mean that was a  
16 mandate, right? That was not an initiative  
17 embarked upon by the Department of Transportation.  
18 There was a mandate for that.

19 STEVEN GALGANO: We started this  
20 four years ago with the design and the testing  
21 before the mandate ever came down.

22 DAVID WOLOCH: The mandate was not  
23 specific. I think it had general targets. This  
24 allows the City to help meet those.

25 STEVEN GALGANO: And in the mid

1  
2 80s, we changed from 400 watt to 250 because the  
3 fixtures became more efficient, 250, and allowed  
4 us to use them instead of 400 and provide the same  
5 amount of light. In the early 90s-- in the late  
6 90s, excuse me; we changed from incandescent bulbs  
7 to LED lenses to take advantage of that  
8 technology. So we've been doing this on an  
9 ongoing basis as the technology becomes available.

10 CHAIRPERSON LIU: Right. So over  
11 the years, the technology has improved, thus  
12 allowing our City and the Department of  
13 Transportation to use bulbs that use less energy  
14 and still provide the same amount of light  
15 adequate to keep our City streets and sidewalks  
16 safe. Your testimony today I think was extremely  
17 harsh on both bills, particularly Intro 757. I  
18 certainly don't think that it was the intent of  
19 any member of this body and certainly not the  
20 intent of the sponsors of these bills to impose  
21 unnecessary costs on the City. One thing that I  
22 think you have not addressed with regard to Intro  
23 757 is the possibility that better direction of  
24 the light could achieve the same level of  
25 luminance without-- same level of lighting, by



1  
2 further decreasing the amount of wattage or the  
3 amount of energy that's necessary to provide that  
4 same amount of light. I know you gave us a very--  
5 I assume these pictures that you show us here are  
6 computer generated, right? They're not real.  
7 This is not real life. This is computer  
8 generated.

9 STEVEN GALGANO: It's computer  
10 generated, but it came from a symposium at the  
11 Illuminating Engineers Society from two years ago  
12 and we got permission from the presenter to use  
13 them.

14 CHAIRPERSON LIU: Okay. But I  
15 think we all realize that light bulbs are light  
16 bulbs. But there are better ways to keep our City  
17 streets-- I mean the system we have now is not  
18 perfect. And the bulbs that are being used now  
19 are imperfect. And what Council Member Gerson  
20 attempts to do with Intro 757 is to strike a more  
21 reasonable balance. And you may agree or  
22 disagree. This is why we're having a hearing  
23 here. But there's a balance necessary between  
24 keeping our streets and sidewalks well-lit and  
25 trying to minimize the amount of pollution that

1  
2 causes all sorts of different effects on the rest  
3 of the city, people who are not on the sidewalk.  
4 So I'm going to turn it over to Council Member  
5 Gerson for a bit for his questions, and I will  
6 follow up on a few different points. But I think  
7 the testimony here is just basically saying that,  
8 okay, I mean the DOT is doing everything that it  
9 can, and that the Council should not legislate on  
10 these particular matters. Well, it is always the  
11 intent of this body to help our agencies strike a  
12 better balance. And it's the same thing with the  
13 broken meter rule that we're going to pass today,  
14 that the Department's testimony was oh, it's not  
15 necessary; we have to do this because of this.  
16 Well, in some cases we beg to differ. And so let  
17 me turn it over to Council Member Gerson for his  
18 questions.

19 COUNCIL MEMBER GERSON: Thank you  
20 very much, Mr. Chair, my colleagues. Good  
21 morning. It's always a pleasure if not a  
22 challenge to be with you, Commissioner Woloch.  
23 And Mr. Chair, your remarks are right on point. I  
24 mean, you know the history of our interaction, our  
25 very constructive interaction with the Department

1  
2 of Transportation has a certain dynamic where the  
3 Department frequently claims that everything is  
4 being done that can and must be done. And upon  
5 introduction of pressure and legislation we find,  
6 lo and behold, that there is more that in fact  
7 needs to be done than the agency at first either  
8 realized or admitted, and then usually we reach a  
9 common ground, and it does get done whether it's  
10 through the passage of legislation or through  
11 action after legislation is introduced, short of  
12 actual adoption. The most recent example being  
13 the successful at long last repair of cobblestone  
14 to many historic districts, which for years, if  
15 not decades, languished. And we introduced  
16 legislation and lo and behold now we are seeing a  
17 very constructive result through cooperative  
18 action. So I hope we can do the same with respect  
19 to lighting. As I was not here to make an  
20 introductory statement, let me just briefly point  
21 out an underscore to the intent of this as a part  
22 of a package of lighting related bills, which will  
23 be heard either by this committee or by the  
24 Department of Buildings. But the purpose of it is  
25 threefold, the purpose of the package in its

1  
2 entirety. One is to reduce the quantity of or the  
3 amount of light pollution throughout our City,  
4 defined as excessive light, unneeded luminosity,  
5 not serving a constructive, safety or other  
6 purpose, which is shining into people's residences  
7 or other areas where it is unwanted and in fact  
8 disruptive, and in fact depriving New Yorkers of a  
9 reasonable semblance of a nighttime ambiance  
10 without excessive lighting. New York will never  
11 and should never be, you know, a city without  
12 lights, but we are too far out of balance in terms  
13 of excessive lighting. And there's been a series  
14 of articles, most recently in a recent issue of  
15 National Geographic, highlighting the health and  
16 other human benefits of having dark skies during  
17 evening hours. So we want to get a little closer  
18 to that here in the City. The second purpose of  
19 the package is to conserve fuel and energy, in  
20 most cases the package overall through a reduction  
21 in the use of excessive energy to achieve unneeded  
22 lighting. The package overall, not in each bill,  
23 but overall will in fact conserve energy. And  
24 thirdly, and related to that, the package overall  
25 will save the City considerable amount of money as

1  
2 it reduces lighting and thus energy costs on City  
3 government. So we'll have ample time to discuss  
4 all of the other bills that are part of the  
5 package, but obviously for today's hearing I'm  
6 going to focus on Intro 757, the primary purpose  
7 of this bill being in the first area of which I  
8 spoke, needless light pollution disrupting  
9 people's lives and we believe in effect as we cure  
10 that over time, we will achieve as technology  
11 evolves, energy and thus cost savings. But let me  
12 just-- just a few very basic questions. In terms  
13 of learning from experience elsewhere, you did not  
14 cite the experience of our closest neighbor, the  
15 state of Connecticut. Are you familiar with their  
16 recent implementation of a similar bill to 757?

17 STEVEN GALGANO: I know they  
18 changed to full-cutoff fixtures in certain cities,  
19 yeah.

20 COUNCIL MEMBER GERSON: I believe  
21 it's statewide.

22 STEVEN GALGANO: Well--

23 COUNCIL MEMBER GERSON:  
24 [Interposing] Or it's in the process of being  
25 implemented in phases statewide.

1  
2 STEVEN GALGANO: Well we talked to,  
3 I believe it was Stamford, as we mentioned to your  
4 staff when we met with them. We spoke to the  
5 people in Stamford and we asked them what the  
6 lighting levels were before the change and what  
7 the lighting levels were after the change and they  
8 had no idea. They didn't do a study before or  
9 after. So their experience doesn't help us if we  
10 don't have that information.

11 COUNCIL MEMBER GERSON: That's  
12 Stamford.

13 STEVEN GALGANO: Yes.

14 COUNCIL MEMBER GERSON: Okay. But  
15 Connecticut has a few other cities besides  
16 Stamford, right?

17 STEVEN GALGANO: Well at the time  
18 we did this, which was almost a year ago I think  
19 it was when we first started talking with your  
20 staff, Stamford was the one we were aware of.

21 COUNCIL MEMBER GERSON: Did the  
22 folks in Stamford tell you they were having  
23 problems with their implementation of the full-  
24 cutoff which is requiring them to go back and  
25 eliminate that requirement or were they going to

1

2 stick with their requirement of full-cutoffs for  
3 the foreseeable future?

4

5 STEVEN GALGANO: What I got from  
6 them is it looked good.

7

8 COUNCIL MEMBER GERSON: And let me  
9 just turn to then Massachusetts, which you cited  
10 in your testimony. And I believe-- Mr. Chair, I  
11 want to be exact, so I want to find the specific  
12 language. Yeah. On page 4 of your testimony you  
13 cited that the Massachusetts law makes an  
14 exception for an urban area, but not just-- it's  
15 not a blanket exception, again reading the quote  
16 in your testimony, for any urban area, but where  
17 other conditions have met, which includes an  
18 examination by an engineer employed by the  
19 commonwealth and experienced in outdoor lighting.  
20 Do you know how many instances in the State of  
21 Massachusetts has that exception been applied? In  
22 other words, in how many instances has an engineer  
23 employed by the Commonwealth and experienced in  
24 outdoor lighting determined that an exception  
25 needs to be made?

24

25 STEVEN GALGANO: I have no idea.

25

COUNCIL MEMBER GERSON: Mr. Chair,

1  
2 since it's always good to learn from experiences  
3 elsewhere it seems to me, before one, we should do  
4 a little bit more investigation as to, you know,  
5 what is happening up in our neighbors. In  
6 Connecticut, as just testified, they are  
7 implementing a full-cutoff and they seem to, you  
8 know, be happy doing it because they're not  
9 reversing it and they haven't changed their law,  
10 indicates that there might be something to this.  
11 And the fact that Massachusetts did provide, you  
12 know, a general conversion to a full-cutoff and we  
13 don't know how many exceptions there have been  
14 necessary, you know, it seems to me that before we  
15 attempt to read into anything from, you know, the  
16 Massachusetts experience, we should find out what  
17 the exceptions have been and how many and indeed  
18 if any. You know, no one-- and certainly we need  
19 to, and if it's the suggestion of DOT to in a law  
20 like this to incorporate, you know, an exception  
21 along the lines of the Massachusetts rule for, you  
22 know, particular situations and circumstances, or  
23 for the cases of historic lighting, then as you  
24 cited in your testimony, then certainly that is  
25 consistent with the spirit and the intent of this



1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

law and we would certainly be happy to engage you in a conversation on that. But I want to turn, finally-- well for now finally to the major point made by the witnesses with respect to the wattage reduction and the claim-- I just want find it. Yeah, the Wattage Reduction Program and the claim that the switch to the full-cutoff would interfere with the Wattage Reduction Program. And if I understand your testimony correctly, the main reason for that is that again, reading from your testimony, to date no manufacturer makes a 150 watt full-cutoff luminaire that meets our technical specifications. Is that correct?

DAVID WOLOCH: Correct.

STEVEN GALGANO: That's correct.

COUNCIL MEMBER GERSON: Okay. And you say, and I applaud you for this, that the Department has made repeated requests to the manufacturing community to develop one.

STEVEN GALGANO: That's correct.

DAVID WOLOCH: Absolutely. I mean I think we share your goal here. And I think you cited the spirit of this bill; I think we agree with the spirit of what you're trying to achieve.

1  
2 And I think both you and the Chair mentioned the  
3 word balance. And I think that's really the  
4 important word. There are a number of things we  
5 have to balance here. We have to balance energy  
6 efficiency and having adequate lighting and costs  
7 and the aesthetics on our streets. And it's true  
8 that there's a new factor that we all need to  
9 begin to focus on in terms of light pollution.  
10 But in terms of looking at the balance, if we were  
11 to start using full-cutoffs and if we were  
12 required to, as of now, we would have to use the  
13 250 watt bulbs, and we would have to sacrifice  
14 energy efficiency. So I think we all want to  
15 continue to work with the Council going forward,  
16 because the landscape is constantly changing. And  
17 hopefully sooner rather than later the  
18 manufacturers will come up with a 150 watt  
19 luminaire that allows us to achieve the light  
20 pollution goals as well.

21 COUNCIL MEMBER GERSON: Well that's  
22 great. Then I think that's exactly how we should  
23 proceed, working together towards that goal. I  
24 mean is it your understanding that the reason this  
25 bulb has not been produced as yet is more

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

economics or technological?

STEVEN GALGANO: I believe it's technological. It's not just the bulb we're looking at; we're looking at the whole fixture. And we've incorporated into the new fixture newer technology and changes to the optics that allow the lower wattage fixture to be more efficient. And right now, until someone makes the full-cutoff with those characteristics, it would require us to go back to higher wattage luminaires where they do make full-cutoff. That may, you know, suffice for us, however we would have to give up the savings in energy in order to do it.

COUNCIL MEMBER GERSON: Now I just want to press you a little bit more though on the technology. I mean, do you think this is a question of evolution and time with a little bit of pressure and interest expressed by the purchasing community, the companies-- I mean will overcome the obstacles? I mean is this in the category of, you know, there has to be a little bit more of a will and then we'll find a way or is this in the category of teleportation that, you know, is something that is way beyond-- well the

1  
2 realm as we know it today. But you know, with our  
3 Transportation Committee Chair, anything is  
4 possible. And I understand you're working on the  
5 latter.

6 STEVEN GALGANO: I just believe  
7 it's a matter of time.

8 COUNCIL MEMBER GERSON: Okay. Well  
9 then let me say maybe, and we've seen this  
10 elsewhere in other environmental areas where, you  
11 know, when the initial fuel pollution diesel  
12 emission technologies began to be evaluated and  
13 looked at, we weren't quite there yet in terms of  
14 retrofitting, in terms of designing the best ultra  
15 low sulfur diesel fuel. But it took kind of the  
16 pressure of a demand by purchasers to push the  
17 industry to achieve the technology. And maybe if  
18 the industry sees that there is going to be, you  
19 know, a significant demand at the end of the day,  
20 then that will propel them even further. So maybe  
21 we can consider a piece of legislation that will  
22 kick in once, in fact, the technology becomes  
23 available, and therefore that type of legislation  
24 might be a driving force to promote the  
25 technology. So I hope we can follow up this

1  
2 hearing with that conversation as well. I omitted  
3 when we were talking about experiences elsewhere,  
4 Los Angeles. Are you familiar with the Los  
5 Angeles experience?

6 STEVEN GALGANO: Yes.

7 COUNCIL MEMBER GERSON: Have they  
8 switched to a full-cutoff?

9 STEVEN GALGANO: In certain places,  
10 yes, they're testing.

11 COUNCIL MEMBER GERSON: In certain  
12 places within the City of Los Angeles?

13 STEVEN GALGANO: Yes.

14 DAVID WOLOCH: But I think the key  
15 word Mr. Galgano said was testing.

16 COUNCIL MEMBER GERSON: Well do you  
17 know how long that testing has been going on?

18 STEVEN GALGANO: No I don't. I  
19 have it at the office. I don't--

20 COUNCIL MEMBER GERSON:

21 [Interposing] All right. Well we should follow up  
22 on that also, Mr. Chair. My understanding is that  
23 those tests began in 1988 and that as of today  
24 virtually all of the Los Angeles street lights  
25 have in fact been converted to full-cutoff without

1  
2 any reports of problems with lighting of the  
3 streets. So again, I think we should verify that  
4 and find out what the actual situation is. And  
5 let's try and learn and let's try and push the  
6 technology rather than follow the technology.  
7 Thank you, Mr. Chair.

8 CHAIRPERSON LIU: Thank you very  
9 much, Council Member Gerson. I think I would ask  
10 the Department of Transportation to go back and do  
11 a little more homework on this particular issue.  
12 The DOT's approach to many of these kinds of  
13 issues that are brought up before this Committee  
14 is generally a blanket approach that applies to  
15 the entire City, every single nook and cranny of  
16 the City. And I think the Department has to begin  
17 to realize that the City is not the same  
18 everywhere. And so to that extent, I would  
19 encourage the Department of Transportation, and  
20 this applies to so many other pieces of  
21 legislation that has been considered by this  
22 Committee, in this case there are obviously  
23 different parts of the City that have different  
24 kinds of lighting needs. And there are different  
25 issues from annoyances to outright health hazards

1  
2 for local residents that are caused by this kind  
3 of, in this case specifically, lighting issues.  
4 So, why don't we take a look at what's being done  
5 in other cities and not just base testimony in  
6 opposition to this bill, seemingly only on the  
7 results and what's been presented at various  
8 conferences. I think we need to take a look at  
9 that and to the extent that maybe it makes sense  
10 to test some of these lights on real live streets  
11 where there are clearly other light sources, and  
12 not base it on computer simulations in these  
13 pictures. Let's go back and do a little bit more  
14 homework before you come to this Committee and  
15 basically trash a proposal that has been put forth  
16 by a colleague that is seeking to address  
17 constituent concerns.

18 DAVID WOLOCH: With all due  
19 respect, the attachments to the testimony were  
20 illustrative and were meant to inform members of  
21 the Committee who may not have been necessarily  
22 familiar with the difference between a full-cutoff  
23 and a semi-cutoff. Our Department frequently  
24 speaks with representatives from municipalities  
25 and states around the country. So to suggest that

1  
2 we're not doing our homework, I think, is unfair.  
3 Are there perhaps other places that all of us can  
4 talk to that we have not yet talked to? Sure.  
5 And I think we're constantly trying to learn more  
6 from industry and learn more from other places. I  
7 think the point is that the nature of the industry  
8 is constantly changing. And I think the main  
9 concern we have about the legislation is that you  
10 are in fact with this bill applying a single  
11 standard to the entire City and our entire  
12 universe of poles and again sacrificing other  
13 concerns. And I guess to paraphrase the President  
14 Elect, this is an issue that doesn't require a  
15 sledgehammer, it requires a scalpel. So to  
16 suggest that we must use full-cutoffs in all  
17 instances when in fact there's cost to that and  
18 the cost would be different in different parts of  
19 the City perhaps, is going too far. So I think  
20 that's our concern. Again as I said before, this  
21 is a good direction to push in. This is a good  
22 hearing to have. This is a good discussion to  
23 have. We're not against that.

24 CHAIRPERSON LIU: That wasn't part  
25 of your testimony. But we appreciate those



1

2

comments.

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

DAVID WOLOCH: Well I'm happy to add that on. The concern we have is the nature of both pieces of legislation, which mandate a particular type of solution Citywide. And the nature of this technology is that it's constantly changing. So to be boxed in like that is what's troubling. The direction that both bills are pressing in are again, good issues to raise, and good directions for us to push in and to go in.

CHAIRPERSON LIU: And in fact that's what this legislation was proposed for, to engage the Department of Transportation in discussing these kinds of issues. But I think it also has been noted that the testimony here basically-- well, I don't want to have a back and forth on the tone and the substance of the testimony, but I am very happy to note that our new President Elect has even reached into this Committee and its hearings to the point where the Deputy Commissioner has to cite President Elect Obama's comments on how we change the world. I want to give two colleagues a chance to vote on Intro 812-A. The Clerk, will you please call the

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

roll on these two members?

WILLIAM MARTIN: Council Member  
Koppell?

COUNCIL MEMBER KOPPELL: Aye.

WILLIAM MARTIN: Garodnick.

COUNCIL MEMBER GARODNICK: Aye.

WILLIAM MARTIN: The vote now  
stands at eight in the affirmative; zero in the  
negative and zero abstentions.

CHAIRPERSON LIU: Great. Thank you  
very much. We have questions from Council Member  
Jessica Lappin.

COUNCIL MEMBER LAPPIN: Thank you,  
Mr. Chair. Since we're all paraphrasing our  
President Elect, how about a little Yes We Can?

[Laughter]

COUNCIL MEMBER LAPPIN: Because,  
you know, you come here and gave very  
disappointing, and I think in regards to my bill  
somewhat disingenuous testimony. And it would be  
nice if you came here and said, this is a great  
idea and we should be harnessing new technology  
and let's find a way to work together and amend  
these bills and find a way to do it. Because

1  
2 these are just drafts. And I'll speak for myself;  
3 at least, this is a version of a bill. We always  
4 go back and forth. We always negotiate. We  
5 always discuss ways to make this legislation  
6 better, and that's why we're having a hearing.  
7 And we're going to hear from the Sierra Club and  
8 from Gail Clyma and from other people about ways  
9 to make this legislation better. So instead of  
10 coming and just saying no, it would be nice if you  
11 came in and said, we actually like this idea,  
12 let's figure out how to make it work. And I'm  
13 going to, since we've also been discussing the  
14 simulated images that you attached, I'm going to  
15 pass around to the Committee Members and then ask  
16 the Sergeant to show the DOT representatives an  
17 actual photo of a roadway in Calgary that was  
18 illuminated with both the non-shielded and then  
19 flat lens light so you can see the difference in  
20 terms of the illumination and the glare. And I'm  
21 fully supportive of Council Member Gerson's bill  
22 and would love to be added as a co-sponsor if the  
23 Counsel of the Committee would be so kind as to  
24 add me. And in fact, if we ever get to a point  
25 where my bill is enacted into law, and we do move

1  
2 in this City towards LED lights, I think they  
3 should be shielded as well, for the reasons that  
4 Council Member Gerson has discussed. So let's go  
5 to your testimony. And we've been talking about  
6 Cities with other precedents. I know Ann Arbor,  
7 Michigan, has been moving from old street lights  
8 to LED lamps. I think San Jose has just issued an  
9 RFP to replace all of their streetlights with LED  
10 lights. In Japan Sharp is introducing two new  
11 solar paneled powered LED streetlight prototypes  
12 that have apparently created quite a sensation and  
13 demand. In Düsseldorf, Germany, city officials  
14 are replacing their 10,000 streetlights with LED  
15 lamps. So I think people are starting to move  
16 towards embracing this technology all across the  
17 world, not just in our country. I wanted to start  
18 with sort of this concept that you use standards  
19 established by the Illuminating Engineering  
20 Society of North America. Because I have federal  
21 guidelines that are perfectly compatible with LED  
22 lights, the Energy Star Guidelines that the  
23 federal government has released. So can you just  
24 explain in more detail why you use the IESNA  
25 standards, when you started to adopt those

1  
2 standards, if you have something in writing that  
3 details why you do?

4 STEVEN GALGANO: We have been using  
5 the IES standards since 1960 or so. I can get you  
6 the exact date on when we took over the lighting  
7 from Con Edison and when we started using these  
8 standards. These are standards that are set aside  
9 for outdoor lighting, specifically, that we use it  
10 for. And it is from a group that represents  
11 across the nation cities, colleges, and formed a  
12 society and formed these guidelines that we use.  
13 These are things we use when we set out to design.  
14 We also use them in defense of our legal position  
15 when we are challenged for the lighting levels.  
16 And that is what we base our standards on and our  
17 designs on.

18 COUNCIL MEMBER LAPPIN: And 100% of  
19 the streetlamps in New York City comply with their  
20 recommended guidelines or you pick and choose?

21 STEVEN GALGANO: They all should.  
22 Now some of them have been in place for a long  
23 time and the conditions change and people, you  
24 know, claim that there's not enough light. And we  
25 go out and we do the design and the layout and we

1

2 see if it does meet the standards. If it doesn't,  
3 we add light or we respace. But everything that  
4 we do and we design now should meet those  
5 standards.

6

7

COUNCIL MEMBER LAPPIN: Should or  
does?

8

9

10

11

12

13

14

15

16

17

STEVEN GALGANO: You're asking me  
in every block I go on will they be spaced  
correctly so they meet the standards? I can't  
tell you that until I go out there. Things were  
put in place in the 30s and the 40s and I don't  
know what standard they were using then. So if it  
comes up in a particular location people are  
complaining it's dark. Or we're doing a  
reconstruction we go out and we analyze and take  
measurements and we follow those guidelines.

18

19

20

COUNCIL MEMBER LAPPIN: And are you  
familiar with the new Energy Star Federal  
Guidelines?

21

22

23

24

25

STEVEN GALGANO: No, I'm not.

COUNCIL MEMBER LAPPIN: Okay. So  
we'll make sure that we'll get you a copy of them.  
Because I think if it's good enough for the  
federal government, I would think it would be good

1  
2 enough for us. I guess I like to go to the  
3 pricing. Because I think this is somewhat  
4 disingenuous. I mean you stated in your  
5 testimony, first of all, that you install 5,000  
6 new street poles a year and replace approximately  
7 20,000 cobra heads. So in basically a 12 year  
8 cycle, you will have completely changed every  
9 single streetlamp in New York City, according to  
10 your testimony.

11 STEVEN GALGANO: Not necessarily.  
12 Different lamps that get replaced.

13 COUNCIL MEMBER LAPPIN: But, you do  
14 25,000 per year you replace or are installing new.  
15 So you could extrapolate pretty close to in a 12  
16 year cycle I would imagine you would replace or  
17 add additional new lamps.

18 STEVEN GALGANO: Yes.

19 COUNCIL MEMBER LAPPIN: Okay. So  
20 is that free or do you spend money on that?

21 STEVEN GALGANO: Right now we spend  
22 money on that.

23 COUNCIL MEMBER LAPPIN: Okay. So  
24 does your cost estimate reduce the additional  
25 expenditures you're making on those 25,000 lamps

1

2

every year or is that included in your estimate?

3

STEVEN GALGANO: The cost of an LED  
4 fixture that we have now--

5

COUNCIL MEMBER LAPPIN:

6

[Interposing] That wasn't my question. My

7

question was your 286 million dollar cost

8

estimate, does that include the 25,000 lamps that

9

you would be replacing anyway every year or not?

10

STEVEN GALGANO: No, because the

11

law said we had to do it in one year.

12

COUNCIL MEMBER LAPPIN: Well we can

13

discuss the timetable.

14

STEVEN GALGANO: Okay.

15

COUNCIL MEMBER LAPPIN: And

16

actually you could have said that. That would

17

have been more constructive testimony. So I'd

18

like to get an understanding of the 286 million

19

dollars. What exactly is that?

20

STEVEN GALGANO: It's 300,000 or so

21

streetlights times \$833, which was the lowest

22

price we found for an LED fixture and \$90 a piece

23

to install them.

24

COUNCIL MEMBER LAPPIN: Does that

25

factor in the savings over time in terms of the



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

reduced energy costs?

STEVEN GALGANO: I don't know of any reduced energy costs, yet until we see the fixture and what it--

COUNCIL MEMBER LAPPIN: Does it include a reduction in spending because these bulbs need to be replaced less frequently?

STEVEN GALGANO: The bulbs may need to be replaced less frequently, but the bulb costs \$10. The fixture costs \$1,000. So we're not sure about the maintenance savings until we study it further.

COUNCIL MEMBER LAPPIN: So you just took the most expensive number you could come up with, but didn't actually look at what the cost savings would be over the longer term.

DAVID WOLOCH: We don't know yet what the cost savings would be. I think that's the point. Again, this might be a good direction to go in, but it's something we need to learn more about. I mean that's precisely the point; we don't know what the savings would be.

COUNCIL MEMBER LAPPIN: And what would you be spending this year in terms of the

1

2 25,000 new or replacement lamps? What's in the  
3 capital budget for that?

4

5 STEVEN GALGANO: It's not in the  
6 capital budget. It's in our maintenance contract,  
7 so it's expense.

8

9 COUNCIL MEMBER LAPPIN: And what's  
10 the expense number for that?

11

12 STEVEN GALGANO: They cost \$125 a  
13 piece times 25,000. So it's about two and half  
14 million. Something like that.

15

16 COUNCIL MEMBER LAPPIN: Okay. I  
17 actually have a couple of other questions, but I'd  
18 like to defer to my colleagues who may have  
19 questions and then have a chance to come back, Mr.  
20 Chair, if that's okay.

21

22 CHAIRPERSON LIU: Absolutely. And  
23 I would absolutely agree with Council Member  
24 Lappin's questions about these cost estimates and  
25 the cost impact and the repeated phrase in your  
testimony that this is something that we cannot  
afford at this time. Obviously nobody knows  
better than the City Council that we are in tough  
fiscal straits right now. We're not looking to  
impose costs. But money still is spent and we

1  
2 want to make sure that that money is spent wisely.  
3 And your cost estimates again, and this is a point  
4 that Council Member Lappin brought up, you haven't  
5 factored in at all the cost savings due to the  
6 energy reduction. And the only thing that you've  
7 been able to say this morning is oh, you don't  
8 know. You don't know what the energy savings  
9 would be. For the ten dollar bulb, on an annual  
10 basis, how much does it cost to pay for the  
11 electricity to light that bulb?

12 STEVEN GALGANO: For a 150 watt  
13 luminaire it's about \$180 a year.

14 CHAIRPERSON LIU: \$180 a year. And  
15 Commissioner Woloch was going to say something  
16 also?

17 DAVID WOLOCH: I don't think any of  
18 us know what that savings would be. I mean I  
19 think that's part of the concern. I think what's  
20 troubling for the agency when we see a bill like  
21 this before having any discussion, and in all  
22 fairness, we did have ample discussion on the  
23 first bill, and frankly that was a healthy  
24 discussion; it's one we want to continue. We  
25 should probably have a similar discussion on the

1  
2 LED topic. But to date, until today, we have not  
3 had this discussion. So when we see a piece of  
4 legislation that requires us within a year to make  
5 such a dramatic change when there's still a lot of  
6 uncertainty, that's a great cause for concern.  
7 Now it's easy for you to sit over there and say,  
8 well that's something we can change. When we  
9 first see this bill, we don't know that. We don't  
10 know what's going to be changed. What we have to  
11 look at is we have to look at the language we're  
12 seeing today.

13 CHAIRPERSON LIU: Dave, every bill  
14 that we've passed in this Committee and then the  
15 City Council over the last several years has  
16 started with certain timeframes, because we always  
17 like to put a timeframe on it. And I believe  
18 every single bill has had that timing altered to  
19 accommodate what is reasonable, what is reasonably  
20 achievable by the Department. So I mean I think  
21 that's-- this goes beyond just these two  
22 particular bills. Now the idea that the  
23 Department feels you have to come in and testify  
24 that oh, based on this timing it's just  
25 impossible, what we've been saying and what has

1  
2 actually been done for many years now is the  
3 timing of these things, and if we have to phase  
4 things in, we've always been open to that. So I  
5 wouldn't fixate too much on, oh, it's a one year  
6 requirement. You know we've always changed that.  
7 We have always changed it based on what you deem  
8 is correct. But if we don't put a timeframe on  
9 it, then the Department tends to come and say  
10 okay, we'll get to it when we get to it. So, I  
11 just want to-- let's just keep it real and civil  
12 here. We have additional questions from Council  
13 Member Koppell.

14 COUNCIL MEMBER KOPPELL: Do I have  
15 this? Okay, I got it. What did you say before  
16 about Los Angeles, about the use of these full-  
17 cutoff lights in Los Angeles? Did you say  
18 something about that? Weren't you asked about  
19 that a few minutes ago?

20 STEVEN GALGANO: We were asked if  
21 we were aware of it.

22 COUNCIL MEMBER KOPPELL: Yes. And  
23 what did you say?

24 STEVEN GALGANO: Yes.

25 COUNCIL MEMBER KOPPELL: But didn't

1

2 you say they're using it for testing? Isn't that  
3 what you said?

4

5 STEVEN GALGANO: My understanding  
6 is that it was a test, yes.

7

8 COUNCIL MEMBER KOPPELL: Well that  
9 seems to be entirely wrong based on this letter  
10 that I just received. I don't know. Who  
11 distributed this letter, Mr. Chairman, the letter  
12 from Los Angeles?

13

14 COUNCIL MEMBER GERSON: Yes. Thank  
15 you, Council Member Koppell for signing up. We  
16 need to ask the Sergeant to distribute a copy to  
17 the witnesses. It was just-- we actually just  
18 received it, though we had the information  
19 provided to us verbally in advance. But we  
20 recently, even though it stated earlier-- actually  
21 it's a copy of a letter prepared years ago. But  
22 we actually just physically received it recently.  
23 So I would ask the Sergeant to distribute it to  
24 the witnesses and I thank you Council Member  
25 Koppell for raising this and for your line of  
questioning.

26

27 COUNCIL MEMBER KOPPELL: Well, I  
28 just am slightly shocked at the answer, because I

1  
2 have in front of me a letter that was placed in  
3 front of me from the then Mayor of Los Angeles,  
4 apparently James K. Hahn, I didn't know that  
5 gentleman. This letter is dated January 25th,  
6 2002. So that's six years ago. And it says the  
7 following, it says the City of Los Angeles has  
8 specified full-cutoff luminaires on nearly all  
9 street lighting plans for new street lighting  
10 installations and conversions of existing  
11 installations since 1990. That's 18 years ago.  
12 We had previously specified full-cutoff luminaires  
13 at traffic signal intersections, and in hillside  
14 areas for several years, previously to 1990. We  
15 now have about 70,000 full-cutoff luminaires in  
16 our system. In 2001, Los Angeles adopted IES RP  
17 8200 as our street lighting standard, using  
18 illuminance method. Regarding energy use-- well,  
19 let me just say that it's very disturbing to have  
20 some witness testify that they've used it only for  
21 testing and then read that this has been in use  
22 for over 18 years. It's just very disturbing to  
23 me. If you don't know, you can say you don't  
24 know. But this completely contradicts your  
25 testimony in a very dramatic way, is very

1  
2 supportive of the proposal that you're opposing.  
3 And again, Mr. Chairman, I'm just troubled by  
4 this. Because not only did you say testing, but  
5 if the stenographer will see it, Mr. Woloch then  
6 emphasized that. You see, it's just testing, he  
7 said. And then I get this letter from Los  
8 Angeles. I don't know if you want to say anything  
9 about it, but I'm very troubled by this. Please  
10 don't testify to something that you don't know.  
11 And this-- because when you said testing, I said,  
12 well if they're just testing it, then maybe we  
13 should go slower on this. But then when I read  
14 this, it's completely to the contrary and strongly  
15 supports the bill.

16 CHAIRPERSON LIU: Thank you,  
17 Council Member Koppell. That's precisely the  
18 reason why the founding fathers envisioned a  
19 system of checks and balances where there would be  
20 legislative oversight over the executive. Do we  
21 have additional questions?

22 STEVEN GALGANO: We did reach out  
23 to Los Angeles. And we did speak to the people in  
24 their lighting division there and that's the  
25 answer we got. I will go back, I will find out



1  
2 who we spoke to and we'll find out what the  
3 problem is here. But we did call Los Angeles and  
4 speak to them.

5 CHAIRPERSON LIU: Okay but even-- I  
6 think we all know the kinds of calls that are  
7 made. It depends on who you're speaking to at the  
8 end. I mean this seems to be a pretty firm letter  
9 that had been written a number of years ago. And  
10 I guess it would be, since you offered, I guess it  
11 would be helpful to know exactly who you spoke to  
12 in L.A. and when you spoke to them. Because, I  
13 mean, it's been in place for a long time. And so  
14 let's take a look at what happened there. We have  
15 additional questions from Council Member Lappin.

16 COUNCIL MEMBER LAPPIN: Thank you,  
17 Mr. Chairman. So I'd like to really-- we can  
18 continue discussions after this hearing, but I  
19 would like to try and find a way to move forward  
20 with this concept and with this legislation. What  
21 I didn't mention before was that, I mean the City  
22 has held a competition, I guess DDC organized it,  
23 and awarded the Lighting Science Group and the  
24 Office for Visual Interaction a contract to  
25 engineer, produce and test the winning design.

1

2 And my understanding was that the winning design  
3 was an LED solution.

4

5

STEVEN GALGANO: It has both  
solutions.

6

7

COUNCIL MEMBER LAPPIN: Okay. What  
does that mean?

8

9

10

11

STEVEN GALGANO: It means they were  
to design an LED fixture and a high pressure  
sodium fixture, because at the time the LED  
fixture did not meet our standards.

12

13

COUNCIL MEMBER LAPPIN: And why  
didn't it meet your standards?

14

15

STEVEN GALGANO: Because it didn't  
produce enough light.

16

17

18

COUNCIL MEMBER LAPPIN: And that's  
a standard that's based on the IESNA guidelines or  
the--

19

STEVEN GALGANO: [Interposing] Yes.

20

21

22

23

24

COUNCIL MEMBER LAPPIN: Okay. But  
if you were to take another look and look at  
federal guidelines or it's been a few years,  
decide that it was something that worked, I guess,  
what was the point of the design competition?

25

STEVEN GALGANO: To design a new,

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

contemporary fixture.

COUNCIL MEMBER LAPPIN: Okay.

STEVEN GALGANO: And pole.

COUNCIL MEMBER LAPPIN: And since an LED design was awarded, have you put that on the shelf? Have you tried to move forward with that? I mean what are you doing with the results of the competition?

STEVEN GALGANO: I believe the contract has been signed for them to produce their design.

COUNCIL MEMBER LAPPIN: Of both?

STEVEN GALGANO: Of both.

COUNCIL MEMBER LAPPIN: So, I guess now I'm confused. Are you planning within the administration to potentially install LED streetlamps?

STEVEN GALGANO: When it makes economic and technical sense, yes. We have 12 of them outside, I don't know the exact number, but we visit with 10 or 12 companies and we have samples outside our building, our office building now, testing them. It's like when we did the LEDs for the traffic signals. When the technology

1

2 became feasible and it made sense economically and  
3 technically, we made the change.

4

COUNCIL MEMBER LAPPIN: So you have  
5 a pilot program that the City has undertaken? Is  
6 that what I'm hearing?

7

STEVEN GALGANO: What we have is  
8 fixtures that we have from the manufacturers that  
9 we put outside our office so that we can see how  
10 the light output is, take the measurements, watch  
11 them for maintenance to see how they perform.

12

COUNCIL MEMBER LAPPIN: When did  
13 they go up?

14

STEVEN GALGANO: Some of them have  
15 been up, I guess, six, seven months ago.

16

COUNCIL MEMBER LAPPIN: And how are  
17 they doing?

18

STEVEN GALGANO: They look pretty  
19 bad light output wise.

20

COUNCIL MEMBER LAPPIN: And how far  
21 are they-- I mean, do they follow your spacing  
22 guidelines and all of that?

23

STEVEN GALGANO: Right now we have  
24 them up on poles next to one another. We haven't  
25 placed them on our whole artery until it makes

1

2

sense; the light output makes sense for us.

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

COUNCIL MEMBER LAPPIN: And why is it that it's working in other cities but you don't think it works here. And I understand that there are a number of cities in New Jersey, Camden, Elizabeth, Trenton and Verona, that are going to be replacing their cobras with LEDs. So why is it working in these other places but not working for you?

STEVEN GALGANO: I don't know what standards they're using; I don't know the pole spacing they're using. I don't know what particular locations they're placing in. I can only talk about what we do here and what our responsibilities are here. We take those responsibilities seriously. I'm sorry if we're overreacting here, but we have been trying to look at this technology for a while. The idea of using the design competition for an LED fixture was something we embraced, that was three years ago, to try and get one that works. Right now we do not believe they have one that works. That doesn't mean they won't have one that works. When we first started looking at the LEDs for the

1  
2 traffic signals, I didn't make sense. They didn't  
3 make the right colors, it was very expensive, they  
4 didn't put out enough light. Over time, they did.  
5 It came down. It became economically feasible for  
6 us to do it and we went ahead and we did it, to  
7 save the energy and to save the dollars. The same  
8 thing with the street lighting things, we're  
9 undergoing the Wattage Reduction now, based on  
10 technology that was available now, so we can save  
11 the energy and save the money now. When the LEDs  
12 become available and they make sense, we have no  
13 problem using them. Same thing with the full-  
14 cutoff, when it works and it makes sense, we will  
15 use it. Our only concern is when we pass a bill  
16 that says you have to use it, when do we decide  
17 whether it makes sense? When the bill is passed?  
18 That's all I'm saying, is I don't understand how  
19 we can legislate the engineering.

20 COUNCIL MEMBER LAPPIN: Let's say  
21 the engineering; let's say there was a prototype  
22 or a model you thought worked. Maybe you even  
23 modified your standards somewhat to reflect what  
24 other cities across the world are doing. Let's  
25 say that that happened, what would be, because you

1  
2 talked a lot about the timeframe, what would be a  
3 logical timeframe for you to begin once the  
4 technology was there that met your standards to  
5 phase it in?

6 STEVEN GALGANO: Well what they're  
7 doing with the cobra heads, it's taken us about  
8 five years to change the Cobra heads.

9 COUNCIL MEMBER LAPPIN: Okay.  
10 Thank you, Mr. Chairman.

11 CHAIRPERSON LIU: Thank you very  
12 much, Council Member Lappin. And I appreciate  
13 Steve Galgano's remarks just then. I mean, that's  
14 what this is about. And we know that those LEDs,  
15 we know all new technology costs a significant  
16 amount and over time, sometimes it's many years,  
17 sometimes it's just a couple of years, the costs  
18 get reduced greatly very quickly. So let's just,  
19 it would have been great if the testimony was  
20 like, look, it's something that we've looked at  
21 and right now we think the cost is too  
22 prohibitive, but maybe in a couple of years, just  
23 like we've seen with other things, just like we  
24 saw with the experience of the traffic signals,  
25 maybe in a couple of years it will become

1  
2 economically feasible. And that kind of  
3 testimony, maybe it's just me, I think it would  
4 have been far more constructive than to  
5 essentially accuse of trying to rob the taxpayer's  
6 pocketbooks here. Council Member Gerson.

7 COUNCIL MEMBER GERSON: Thank you  
8 very much, Mr. Chair. First of all with the  
9 permission of the lead sponsor, would like to add  
10 my name as a co-sponsor for Intro 806, and I do so  
11 not to return the favor, but in recognition of the  
12 merits of the bill and the compelling case made by  
13 Council Member Lappin. And I just-- when I hear  
14 you all point out, and I think you know that we've  
15 worked cooperatively together on any number of  
16 projects and DOT has in fact taken the lead in  
17 progress in any numbers of areas, and these bills  
18 certainly the bill I've proposed, you know is to,  
19 one good turn deserves another, to push us you  
20 know, to push all of us to do even more to set the  
21 bar even higher and to work out the kinks in an  
22 effort to do so. But when I hear, I don't  
23 understand how we can legislate the engineering,  
24 that is precisely what we need to do. It's the  
25 history of environmental progress in any number of



1  
2 areas, whether it's improving standards for air  
3 emissions and reducing air pollution, improving  
4 standards for noise emissions and lowering noise,  
5 improving standards for water quality. And now we  
6 need to do the same for lighting. We in  
7 government should not just wait for the technology  
8 to come upon us. We should, especially the City  
9 of New York, which is a major purchaser, we should  
10 be a major factor in pushing the technology, in  
11 driving the technology, in driving the science and  
12 the engineering to benefit New Yorkers. And so if  
13 we're, as you testified, close but not quite yet  
14 there, a piece of legislation which pushes the bar  
15 can get us there. And then we can work with you,  
16 sir, as we did most recently with construction  
17 site air emission, where we worked in certain  
18 exceptions of certain retrofit technologies were  
19 not available for particular pieces of  
20 construction site equipment. We don't want to  
21 stop progress. But we pushed it and then worked  
22 in the exception where costs or technology  
23 mandated the exception. So I'm hearing, I hope,  
24 from you that we will following this hearing have  
25 an opportunity to go back and look at this and

1  
2 work out, you know, the necessary text which  
3 pushes us forward. But as needed as our Chair  
4 pointed out, recognize that there may be  
5 differentials in different parts of the City or  
6 maybe different situations. And we could work in  
7 the necessary exceptions. But at the same time,  
8 as we push the bar. I mean, is that a  
9 conversation we can have following this hearing?

10 DAVID WOLOCH: I think we're happy  
11 to have a conversation about different ways to  
12 push that, push that bar and to push industry.  
13 And I think you're correct that we're getting  
14 close. And we're always happy to talk about  
15 improvements to legislation. But again, and I  
16 don't want to be repetitive, when we were given  
17 this legislation to look at, it didn't have those  
18 exceptions yet. And it didn't have carve outs in  
19 case an industry wasn't there yet. And that's  
20 frightening to us, because to be asked to do  
21 something where the technology doesn't exist or  
22 you have to make substantial compromises is of  
23 great concern. And I don't want to split hairs,  
24 but Mr. Chairman, I think the way you characterize  
25 what you would have rather seen in the testimony,

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I don't think that was that far off of, certainly the intent of our testimony. Perhaps it could have been worded a little differently, but again, there are specifics of the bills, as they exist now, which are of great concern. These are topics that we're happy to continue to talk to you about, whether legislation is necessary, I'm not sure. We certainly know that these are both fronts that we're pushing on.

COUNCIL MEMBER GERSON: See that concerns me. Because on one hand you're saying we should talk and we can work out exceptions. And I'm sure, Mr. Chair, it's not our intent to frighten the Department of Transportation, at least in these instances. And there's no vote scheduling. But we know from experience from all the experience I cited, that it was through legislation, legislation jointly agreed upon by the Executive and the Legislative branches, but it was through legislation which had something concrete to which the industry could respond knowing that there would be a demand out there, which effectuated the improvement, so--

DAVID WOLOCH: [Interposing] Sure.

1

2 No, absolutely. As I said, I said I'm not--

3 COUNCIL MEMBER GERSON:

4 [Interposing] Okay.

5 DAVID WOLOCH: I said I'm not sure.

6 There are also plenty of areas where we've made  
7 progress because the Council has made suggestions  
8 to us and we've moved forward without legislation.  
9 There are other areas, including beginning to use  
10 the LED technology on our traffic signals, where  
11 we've made progress unprompted. So again, I think  
12 we're happy to move forward with discussions and  
13 we'll see where we go.

14 COUNCIL MEMBER GERSON: Okay. And  
15 I look forward to that. But again, the history  
16 for when we're talking about pushing the bar in  
17 technology and meeting demand, it's important for  
18 the industry to know that it's not dependent upon  
19 a particular phase of a particular administration,  
20 but it's a longstanding policy, and that's why all  
21 the environmental progress I've cited has in fact  
22 been made through legislation. And so I look  
23 forward to having the conversation for the  
24 purposes of coming up with the best piece of  
25 legislation. And Mr. Chair, you know, we don't

1  
2 really have that far to go clearly. I just want  
3 to read into the record a very short letter that's  
4 more recent, actually just dated the other day,  
5 November 4th of this year, from the-- addressed to  
6 me, from the City of Stamford. Dear Council  
7 Member Gerson, this letter is provided as a  
8 reference on the experience of the City of  
9 Stamford, Connecticut in using fully-shielded  
10 full-cutoff street lights. In 2001 the City of  
11 Stamford began using full-cutoff street lights for  
12 replacements and new installation in compliance  
13 with a new statute passed by the Connecticut  
14 general assembly, so it is statewide. In the  
15 seven years since, full-cutoff streetlights have  
16 been constantly deployed with no instance where  
17 the use of full-cutoff streetlights has  
18 necessitated the use of more streetlights or  
19 tighter pole spacing. Stamford has also adopted a  
20 policy of reducing wattage levels with the  
21 installation of full-cutoffs as part of Stamford's  
22 efforts to conserve energy. Stamford has  
23 uncovered no problem in using full-cutoff  
24 streetlights and would be pleased to share details  
25 with the New York City Department of

1  
2 Transportation, sincerely Nancy Domiziano. And  
3 finally, I just want to go back to the letter  
4 referenced by Council Member Koppell, in  
5 specifically the last paragraph, which sets forth  
6 the purpose and the benefits. The primary purpose  
7 of our change to specifying full-cutoff  
8 luminaires, etcetera, was to reduce light  
9 trespass, a residential comfort impact; glare, a  
10 detriment to driver and pedestrian visibility; and  
11 light pollution or sky glow that impact on  
12 everyone's enjoyment of the sky at night. These  
13 benefits are not quantifiable, but are very  
14 significant to our life experience. They are  
15 certainly part of what the public pays for in  
16 street lighting. We believe that our  
17 specification of full-cutoff luminaires has been  
18 quite beneficial both in controlling costs and  
19 energy use and in more intangible areas mentioned,  
20 which is, you know, what I set forth at the  
21 beginning is the purpose. I hope we can serve New  
22 Yorkers as their City has served the good people  
23 of Los Angeles. Thank you very much, Mr. Chair.

24 CHAIRPERSON LIU: Well thank you  
25 very much. And yeah, you know, maybe we just got

1  
2 off to a wrong start here today. But I think these  
3 are issues that we don't doubt that you're looking  
4 in to it. But you also have to consider the fact  
5 that we are getting complaints from constituents.  
6 And so to the extent that we can work together in  
7 addressing all these issues, that would be great.  
8 Thank you. Let me invite our next panel to speak.  
9 We have a panel consisting of Leo Smith, Susan  
10 Harder and Dan Minor. And this panel will be  
11 followed by testimony from Jennifer Brons.

12 SUSAN HARDER: Shall I go a head?

13 CHAIRPERSON LIU: Please do.

14 SUSAN HARDER: Thank you, Mr.

15 Chairman and my regards to all the Council people.  
16 This is a real privilege for me. I'm a 35-year  
17 resident of New York City, a retired  
18 businesswoman, and I appreciate this opportunity  
19 to help contribute to improving the City that I  
20 love so much. I have spoken many times about this  
21 issues, which sometimes these laws that are  
22 brought forth are called Dark Sky Legislation. I  
23 just want to emphasize it's not dark ground  
24 legislation. Because when you direct light  
25 towards the ground, there is less light being

1  
2 emitted upward and hitting particulate and causing  
3 sky glow. Sometimes as a result of these measures  
4 you can see more stars. Just as a quick aside I  
5 saw a really terrific movie last night about-- and  
6 it was in Los Angeles, a Robert De Niro movie, I  
7 think it's called What Happened. Full-cutoff  
8 light fixtures everywhere throughout the entire  
9 movie, all over the City. Also, if you drive on  
10 the Manhattan Bridge, which is under a different  
11 Agency than New York City DOT, you'll see full-  
12 cutoff light fixtures, and also throughout the  
13 entire state of Washington. Decisions about the  
14 design of streetlights and, well they call them  
15 luminaires, but they're basically just streetlight  
16 fixtures, same thing, should be based on what  
17 provides the best visibility and the safest  
18 nighttime environment for pedestrians to see where  
19 they walk and for them to be seen. For example,  
20 cars have headlights, so street lighting for cars,  
21 unless they are traveling at very high rates of  
22 speeds in areas of high accidents where you have a  
23 mingling of pedestrians, they don't meet the New  
24 York State warrants, we have New York State  
25 warrants for roadway lighting, and they would not



1  
2 provide a public benefit for cars. The biggest  
3 issue that needs to be considered regarding safety  
4 and vision is glare, and you've already brought  
5 that up. And you've also brought up the National  
6 Geographic, which is a very short but very  
7 terrific article, and I Xeroxed it in the file  
8 that I've given you. This was this month, in case  
9 you want to get the whole issue with photos.

10 Fully shielded fixtures reduce glare, because the  
11 bulb is not within our line of sight. Glare also  
12 affects our sense of safety. There was a study  
13 done in California. They had two adjacent parking  
14 lots, one shielded, one unshielded, and the people  
15 felt more secure and they felt safer in the  
16 parking lot that had the fully shielded fixtures.

17 There are also problems of glare and adaptation  
18 with regard to the type and the color of the bulb.

19 LEDs, for example, need to be fully shielded. And  
20 I'm delighted that you will consider combining  
21 your bills, because an LED is a very sharp point  
22 of light, and so therefore the element of glare is  
23 going to be much more apparent. The second issue  
24 affecting vision is excess, because it will effect  
25 adaptation, going from light to dark, and of

1 course it wastes energy. Excess light levels  
2 provide no additional public benefit. And we've  
3 mentioned several times the Illuminating Engineers  
4 Society of North America, of which Leo and I are  
5 both members. But this is a group that is made up  
6 primarily of manufacturers, so the light levels  
7 that they're setting were based on their own  
8 private interests. We do not yet have, and this  
9 would be an important addition for us to consider  
10 for the future, we do not have independent tests  
11 on what are the proper light levels for good  
12 vision. Excess light levels also do not help  
13 reduce crime. In the materials I've given you,  
14 there's a US Department of Justice Study that was  
15 done that higher light levels for streetlights  
16 does not reduce crime. There's also an alley  
17 study in the material from Chicago, where they  
18 increased the light in alleys hoping to reduce  
19 crime, and instead it increased crime. And also  
20 with respect to the DOT talking about historic  
21 type fixtures that they don't have shielded  
22 versions, you have shielded historic fixtures  
23 right out here in City Hall Park. And also I've  
24 helped three municipalities with the Main Street  
25

1  
2 historic lines of Acorn lights and changed them  
3 over to fully shielded fixtures. And in two cases  
4 they were able to reduce the wattage, and they  
5 achieved better light levels on the ground. I can  
6 give you that material. Here in midtown New York,  
7 because the fixtures have such a high proportion  
8 of light that is not directed down, they have 500  
9 watts per pole, and I think that with a fixture  
10 that would look very similar, if not identical, we  
11 can reduce the wattage and provide more light on  
12 the ground. In conclusion, the New York City  
13 streetlights suffers from the use of poorly  
14 engineered fixtures, and a lack of design criteria  
15 with the respect to the light levels, more than  
16 what we need. It's simply just waste. And also  
17 light that's being emitted above the fixture. I'm  
18 now on the 22nd floor and my apartment has light  
19 that's being emitted into my apartment from the  
20 streetlights. We also don't have, in New York  
21 City; we don't have any warranting criteria about  
22 where and when to install a streetlight. And in  
23 the case of-- there may or may not be, there may  
24 be instances where other alternative means,  
25 reflectors, refractors, you know, different types

1  
2 of things could be used to perform the same  
3 function, they don't have a warranting criteria,  
4 which I think is very important. So I've included  
5 in the back of this material, the New York State  
6 pending bill, which is an outdoor lighting bill so  
7 that all new and replacement lights would be fully  
8 shielded. They've been repeatedly received  
9 salacious letters of opposition from New York City  
10 DOT and the Senate Sponsor, Carl Marcellino will  
11 tell you that that is one of the main reasons that  
12 it's having difficulty, although it has passed in  
13 the assembly. It's also been endorsed by many  
14 environmental energy civic groups and the  
15 municipalities that have voluntarily instituted  
16 the measures of full shielding. So, I just would  
17 also like to say that I have been in touch with  
18 some manufacturers. One of the largest street  
19 lighting manufacturers in the country tells me  
20 that they're very close to being able to provide  
21 the type of streetlight that's already being  
22 specified by New York City. So thank you again  
23 for visiting this issue. I think it's really very  
24 important and I'd like to see it done sooner  
25 rather than later. And thank you very much.

1  
2 CHAIRPERSON LIU: Thank you, Ms.  
3 Harder. Mr. Smith?

4 LEO SMITH: Good morning, Mr.  
5 Chairman and Members of the Committee. I  
6 respectfully come before the Committee this  
7 morning and urge the passage of Intro 757, which  
8 requires the City DOT to use full-cutoff  
9 streetlights for future installations and  
10 replacements. I serve as the Regional Northeast  
11 Director for the International Dark Sky  
12 Association and I'm also a member of the  
13 Illuminating Engineering Society. And I serve on  
14 the Roadway Lighting Committee. And our committee  
15 is the committee that establishes these standards,  
16 which I brought with me today, that are the  
17 standards for roadway lighting. In 2004 I was  
18 appointed as one of eight people on the Model  
19 Outdoor Lighting Task Force, which is an  
20 organization between Illuminating Engineering  
21 Society and the International Dark Sky, to come up  
22 with a Model Outdoor Lighting ordinance for  
23 municipalities. Full-cutoff streetlights cast  
24 more light downward and less light into the sky or  
25 onto adjacent properties where the light is not

1  
2 needed. An example of a similar situation that  
3 was referenced to New York, which we've talked  
4 about this morning so-- where the city has  
5 deployed the full-cutoff lights, is the City of  
6 Los Angeles. I've had a conversation directly  
7 with the Manager of the streetlights, Mr. Ed  
8 Ebrahimian. And they started this program in  
9 1988. And it was at that point that his  
10 predecessor started using full-cutoff lights as a  
11 concern with reference to dark sky issues. Today  
12 almost all of the 240,000 streetlights that are  
13 deployed in Los Angeles are full-cutoff. Mr.  
14 Ebrahimian is a streetlight manager, and he can  
15 provide direct verification as to the success Los  
16 Angeles has had using these full-cutoff  
17 streetlights and without having to use closer poll  
18 spacing or having more lights fixtures, as was  
19 previously claimed in the testimony by the New  
20 York City DOT. In my written testimony I've  
21 included his contact information, and I would  
22 suggest that there is absolutely no way that this  
23 is a test. In 2001, the Connecticut General  
24 Assembly enacted Public Act 01-134 to require  
25 full-cutoff streetlights for all State and

1  
2 municipal roads. The public utility companies,  
3 all municipalities and the Connecticut Department  
4 of Transportation are all required to use full-  
5 cutoff streetlights under this law. This includes  
6 urban areas. There's not a carved out exception  
7 for urban settings. The City of Stamford, which  
8 we've heard about, is one of the largest cities in  
9 Connecticut and has been aggressively converting  
10 to full-cutoff streetlights since 2001. They've  
11 also downsized wattage when they made this  
12 conversion. An example would be that a previous  
13 100 watt streetlight that was a semi-cutoff, when  
14 converted to full-cutoff would be converted to 70  
15 watt. There was no need for increased numbers of  
16 lights, closer poll spacing or having to go to  
17 higher wattages as was claimed by DOT. Nancy  
18 Domiziano is the Energy/Utility Manager for the  
19 City of Stamford, and I've included her email  
20 address for contact purposes if the Committee  
21 would like to contact her directly. Where the  
22 Committee finds contradiction and opposition from  
23 the New York City DOT to use full-cutoff  
24 streetlights, direct contact with Los Angeles,  
25 Stamford and other cities such as Calgary may

1  
2 offer clear and compelling evidence that the  
3 opposition by the New York City DOT is based on  
4 myth and misunderstanding, much of which is  
5 fostered by current vendors who prefer that the  
6 status quo not be disturbed. On human health; the  
7 International Dark Sky Association takes no  
8 position on whether streetlights have an adverse  
9 effect on human health, since the jury of  
10 scientific evidence is still out. Dr. Steven  
11 Lockley from the Harvard Medical School has done  
12 significant research on the adverse effects of  
13 light at night on human health. According to a  
14 letter that I attached to this testimony, Dr.  
15 Lockley has stated that light at night from an  
16 unshielded 250 watt streetlight may result in a  
17 decrease in the level of melatonin. Lower levels  
18 of melatonin correlate to increased rates in  
19 breast cancer according to established scientific  
20 studies on the effects of light at night. In  
21 2006, the National Institute of Environmental  
22 Health Sciences conducted a worldwide seminar  
23 where they brought in 30 experts to testify as far  
24 as what the effects were of light at night on  
25 human health and the need for funding for various



1 studies. The use of full-cutoff streetlights will  
2 lower the amount of light trespass into apartment  
3 windows. These findings have not yet been  
4 corroborated by other scientific testing. With  
5 reference to energy issues, the full-cutoff  
6 streetlight directs more light downward and as  
7 such often allows for reduced wattages to provide  
8 sufficient lighting. The City of Stamford has  
9 been following that replacement plan, where a 100  
10 watt drop lens is replaced with a 70 watt full,  
11 flat glass full-cutoff, resulting in energy  
12 savings of 30%. The City of Calgary also has  
13 lowered its wattage levels when flat lens  
14 streetlights were used to replace drop lens  
15 streetlights. And I've included a copy of the  
16 website summary that Calgary put out on those  
17 energy savings. In summary, the flat glass or  
18 full-cutoff streetlights control light pollution  
19 and reduce wattage levels, energy waste from stray  
20 light. In many cases by directing more light  
21 downward the full-cutoff streetlight wattage can  
22 often be reduced without compromising public  
23 safety or security. One question that might, if I  
24 were able to ask the question of the City DOT is  
25

1  
2 that in the roadway lighting manual, in stead of  
3 just having one standard which they referred to,  
4 there are actually three standards under which you  
5 can achieve compliance with the Roadway Lighting  
6 Committee recommendations. One table is called  
7 the Illuminance Method. You can go and comply  
8 with that. The other is Luminance. You can go  
9 and comply with that. And then the third standard  
10 is called the Small Target Visibility Standard.  
11 And what's interesting here is that under the  
12 small target visibility standard, you actually  
13 have to have a little bit less light in between  
14 the poles in order for the small target visibility  
15 to work. So for example, when he says in his  
16 testimony that he doesn't think that the full-  
17 cutoff light would comply because it might create  
18 some darker areas, while I don't believe that that  
19 is true, even if it were, the small target  
20 visibility standard would allow for that. So it's  
21 not that the City would be bound to only do the  
22 illuminance method. If it adopted the small  
23 target visibility standards, then there would be  
24 no problem at all with the full cutoff light in  
25 terms of what's technically available right now.

1  
2 So I would suggest that the City is not as bound  
3 technologically as the City Department suggested  
4 that it was. So for these reasons, I respectfully  
5 urge the Committee to approve Intro 757  
6 requirements to use full-cutoff. Thank you.

7 CHAIRPERSON LIU: Thank you very  
8 much, Mr. Smith. Mr. Minor?

9 DAN MINOR: Thanks for the  
10 opportunity.

11 CHAIRPERSON LIU: Thanks for  
12 sharing.

13 DAN MINOR: Mr. Chairman, members  
14 of the Council, thank you very much for your  
15 invitation to testify before you today. First of  
16 all I certainly agree with my colleagues of the  
17 Dark Sky Initiative. It's a very important issue.  
18 And there's a couple of other issues that the City  
19 also ought to be looking at closely. I appreciate  
20 PlaNYC and everyone's strong concern with making  
21 the City more adapted to climate change and  
22 mitigating our effects. I would like to remind  
23 everyone that Dr. James Hanson, the director of  
24 the NASA Goddard Institute says that the expected  
25 target of 450 parts per million of carbon in the

1  
2 atmosphere is too high. So the suggestion that  
3 looking for only 80% cuts in our carbon emissions  
4 by 2050 is too little and too late. Dr. Hanson  
5 suggests that really what we ought to be looking  
6 at as a ceiling for carbon is 350 parts per  
7 million, which is below what we currently have at  
8 380 parts per million. So I would suggest that  
9 you all keep in mind that even though current  
10 efforts to lower the City's energy and fossil fuel  
11 consumption are well-intended and good starts, we  
12 need to, as Council Member Gerson rightly  
13 suggests, raise the bar and look for ways to even  
14 more aggressively lower our energy use and our use  
15 of fossil fuels, which is the root cause of  
16 climate change. So in addition to stepping up our  
17 climate change response, which is very important  
18 for us to do and is necessary, however it can  
19 still be pushed away as an option. I would also  
20 like to remind members of Council and I would  
21 certainly like to include Department of  
22 Transportation staff, if any are still here, that  
23 we are looking at inevitable difficulties in  
24 maintaining supplies of fossil fuels in the  
25 future. And this is something that must be

1 factored in, because it means that we will  
2 inevitably have rising costs of the fuel inputs,  
3 whether towards electric production or  
4 transportation or heating, any of these points.  
5 We need to look at where natural gas, which is a  
6 key input for in-city electric generation, is  
7 going to be coming from, not just the current  
8 cost. It ought to be known that North American  
9 Natural Gas production has already peaked. We're  
10 drawing more and more of our natural gas supply  
11 from Canada and we're looking increasingly at  
12 liquefied natural gas as a future source of  
13 natural gas, which as fires our power plants.  
14 That means building expensive, risky and dangerous  
15 transportation facilities to freeze natural gas  
16 from Russia and the Middle East and ship it here.  
17 Often that infrastructure has not yet even been  
18 constructed and we will have to bid against other  
19 countries around the world for imported natural  
20 gas supplies. Many are looking to coal as a  
21 salvation for electric needs, however the more  
22 coal we use, the more we worsen our climate change  
23 problem. Is clean coal a solution? Unfortunately  
24 not, because it's not been commercially proved to  
25

1  
2 be effective. And the federally subsidized Future  
3 Gen, coal sequestration R&D project was de-funded  
4 earlier this year because it was running far over  
5 its cost estimates. Of course, even though we are  
6 not looking too much at oil as a source of New  
7 York City electric production, oil too is in  
8 decline. The International Energy Agency is  
9 expected to be releasing a report next week  
10 looking at nine percent annual declines in oil  
11 production due to a variety of sources. This is  
12 especially important for DOT because it means that  
13 future transportation is going to become  
14 inevitably either more expensive or more dependent  
15 on fuel supplies that are in decline. Once again,  
16 when we're looking at New York City lighting  
17 infrastructure, we ought to be prioritizing the  
18 most efficient, highly cost savings technologies  
19 that we can purchase. And certainly the testimony  
20 that we heard encourages us to look at both  
21 flexible schedules and flexible means of upgrading  
22 to the most efficient pieces of technology. But I  
23 commend Council Members for pushing the City to  
24 move as far as possible towards cost savings as  
25 aggressively as possible. And I think that both

1  
2 the Council and the administration and DOT would  
3 do well to factor in long term cost estimates and  
4 supply estimates for the fossil fuels on which our  
5 energy supplies depend. Now hearing this, what  
6 are we to do; I would say a key thing is  
7 efficiency. There's a McKinsey study of 2007 that  
8 suggests making our electric usage and  
9 infrastructure as efficient as possible can  
10 prevent us from having to turn towards new  
11 electric generating plants and would avoid  
12 building more coal plants in the future, which is  
13 extremely important to us to not worsen our  
14 climate change situation. So pushing for LEDs or  
15 the next generation lighting technology is  
16 certainly one of the most important things that  
17 New York City can do, and I certainly agree with  
18 members of Council in saying that City purchasing  
19 decisions have a huge impact on the market. And  
20 rather than waiting for the Market to demonstrate  
21 new technology, the City ought to be pushing the  
22 market and thereby demonstrating its commitment to  
23 being a national and international leader in both  
24 dealing proactively with climate change and also  
25 with fuel depletion, which is a reality that the

1

2

City needs to address front on.

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

COUNCIL MEMBER LAPPIN: Thank you, Mr. Minor. And Mr. Smith, if you would come back, because I actually have a question for you. You might have noticed that Chairman Liu had to-- he's also a member of the Consumer Affairs Committee, and as often the case here, we have multiple committees meeting at the same time. So he had to go across the street briefly. I wanted to ask Mr. Smith, because you testified about the different standards that IESNA has established. And DOT talked a little bit about their standards and why the full-shielded or the LEDs don't meet their standards. And you talked about a luminaire and small target visibility. Could you just expound a little bit about what the three different set of standards are that IESNA established?

LEO SMITH: You have an luminance standard. And that is one where you would measure the amount of light that's on the street. An illuminance standard would be one where you measure the light as it meets the eye, so it's more of a vertical level of illuminance. And then small target visibility resulted from studies that



1  
2 were done on how basically best to see, so that  
3 when you have some types of light uniformity,  
4 where light is behind and light is in front, you  
5 have some problems in terms of the surrounding  
6 areas being equal to the target, because  
7 everything is sort of lit the same and you don't  
8 see the target as well. So that by reducing light  
9 in between, let's say for example at  
10 intersections, you would have a darker area in  
11 between the lights that would then allow you to  
12 see better that small target, namely a person or  
13 an animal or whatever, because you would have a  
14 different level of illumination before and after  
15 it. So now in the middle, where it's a little  
16 darker, you actually can see that target better.

17 COUNCIL MEMBER LAPPIN: And do you  
18 know, and I guess I should ask this of DOT, which  
19 standards they're using when they're discussing  
20 streetlamps in New York?

21 LEO SMITH: I'm not sure, but it is  
22 either illuminance or luminance, one of those two.  
23 They do not use small target visibility standards.

24 COUNCIL MEMBER LAPPIN: And do you  
25 think they could or should?

1  
2 LEO SMITH: If they did, they would  
3 be complying with the standards of the  
4 Illuminating Engineering Society for the roadway  
5 lighting. I happen to serve on the standards  
6 committee that actually is responsible for  
7 adopting various standards in the roadway lighting  
8 manual. And the question of what particular  
9 standard you use is really up to use, but you can  
10 use any one of the three. And what has happened  
11 is the small target visibility standard was  
12 adopted in 2000 as a new standard. So in many  
13 cases you had cities that were using either the  
14 luminance or the illuminance method, let's say  
15 from years and years back. So when the small  
16 target visibility standard came out, well, if you  
17 were already using one, then you just kept using  
18 it as opposed to taking a look or exploring the  
19 possibility of changing your standard and using  
20 small target visibility.

21 COUNCIL MEMBER LAPPIN: Okay. I  
22 think Council Member Gerson has a question.

23 COUNCIL MEMBER GERSON: Thank you,  
24 Madam Chair or Madam Acting Chair. Just to be  
25 clear, under the guidelines, each of those three

1

2 standards are equally viable? They're  
3 interchangeable in terms of the viability and the  
4 effect?

5

LEO SMITH: That's right. The City  
6 would be complying with the Illuminating  
7 Engineering Society's Roadway Lighting Committee  
8 Standards if it met any one of those three-- it's  
9 basically like three different routes to get to  
10 where you want to go. If you take one route or  
11 the other, it doesn't matter. You're still  
12 getting to where you want.

13

COUNCIL MEMBER GERSON: Would you  
14 be able to provide the Committee with a copy of  
15 the booklet that you have referenced?

16

LEO SMITH: Yeah. I can provide  
17 the Committee with a copy of the book or excerpt  
18 the pages for the different standards. There's a  
19 lot more in here than just the three various  
20 methods.

21

COUNCIL MEMBER LAPPIN: Great. And  
22 if you'd be so kind even as to make sure my staff  
23 says hello to you. I'd love to have that as well  
24 in addition to sending it to Committee.

25

COUNCIL MEMBER GERSON: And I'd

1  
2 just like to ask finally, we've been looking at  
3 this chart. I'd like to enter that on the record.  
4 So if the Sergeant could bring the chart to the  
5 witness stand, could one of you, Mr. Smith or Ms.  
6 Harder or I think it was the two of you who  
7 brought the chart, if you could just briefly talk  
8 us through what that chart is and we'll get it on  
9 the camera and we'll get it on the record.

10 LEO SMITH: The chart distinguishes  
11 the difference between a full-cutoff and a semi-  
12 cutoff light.

13 COUNCIL MEMBER GERSON: I'll tell  
14 you what, because we're making a transcript, you  
15 need to speak into the mic. Maybe the Sergeant-  
16 - yeah, that's perfect. And this way we also get  
17 it on the camera.

18 LEO SMITH: Here we have an image  
19 of the full-cutoff light that basically casts the  
20 light down. Over here you have the semi-cutoff  
21 that basically throws light into the sky and onto  
22 adjacent properties. What's interesting is that  
23 for--

24 COUNCIL MEMBER GERSON:  
25 [Interposing] Are we getting the sound?

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

LEO SMITH: In terms of what's actually useful light, it's not just the light that is coming out below this line. Effectively somewhere around the 63 to 60 degree area represents light that's useful. Because when you cast light, let's say at an 80 degree, by the time it hits its target, it's way out there and it doesn't really provide much in the way of direct illumination. So it's really the light that is going to be coming down at a say, 63 degree area and under, that provides actual benefit. All the light above the 63 degree and all the light above the 90 degree is effectively wasted. It's not really illuminating what you want to illuminate. I wanted to just mention--

17

18

19

COUNCIL MEMBER GERSON: And the diagonal line represents the 63 degrees?

20

21

22

LEO SMITH: Say that again?

COUNCIL MEMBER GERSON: The diagonal line on the chart represents the 63 degrees?

23

24

LEO SMITH: Probably this does right here.

25

COUNCIL MEMBER GERSON: I see.

1

2

Okay.

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

LEO SMITH: With reference to the issue of the health that we talked about earlier, one of the problems in your urban areas has to do with the fact that the street lights, obviously, are very proximate to living quarters. And while in certain areas where you might have well to do people, you're going to put in your blind curtains so that the light doesn't come in and you have this light blocking equipment. But in areas where you have people that aren't in the position to make those purchases, you're going to end up with a significant amount of light coming directly in bedroom windows without being blocked, where people basically could read a book without any other lights; there's that much light coming in. And so, some consideration might be given there from a human health standpoint as to the need to reduce that blockage by having the shielded light that shines more straight down.

COUNCIL MEMBER GERSON: Well thank you. And I thank each of the three witnesses very much for your testimony and your guidance to us as we proceed in this effort. Thank you, Madam

1

2 Chair.

3

4

5

6

7

COUNCIL MEMBER LAPPIN: Since we're alternating panels in opposition and in support, the next person signed up to testify in opposition is Jennifer Brons, from the Lighting Research Center Rensselaer Polytechnic Institute.

8

[Pause]

9

10

COUNCIL MEMBER LAPPIN: Please introduce yourself for the record and begin.

11

12

13

14

15

16

JENNIFER BRONS: Thank you. My name is Jennifer Brons. I am a Research Scientist at Rensselaer Polytechnic Institute at the Lighting Research Center. I'm here today to address Intro number 757 and 806 both. May I begin?

17

18

COUNCIL MEMBER LAPPIN: Yes, please.

19

20

21

22

23

24

25

JENNIFER BRONS: The motive of Introduction number 757 was not stated in the text that I had received earlier, but in the discussions today it sounds as if it's to address light pollution and energy efficiency. So I'll speak those points. There are several aspects of light at night that may be offensive, such as sky

1  
2 glow, light trespass and glare and many other  
3 people have spoken about those issues. The  
4 stories in the popular press such as in the  
5 National Geographic, may lead one to believe that  
6 fully-shielded lights would reduce light pollution  
7 in New York City. However closer examination  
8 shows that this strategy will be ineffective at  
9 mitigating these three components of light  
10 pollution, so that's what I'll talk about today.  
11 Limiting light above the horizontal will not be  
12 effective for reducing sky glow for several  
13 reasons. In an urban environment, such as many  
14 parts of New York City, the structures of the City  
15 itself create canyons that shield the light from  
16 traveling directly from the streetlight towards  
17 the sky. Additionally the use of shielding will  
18 not stop the light from reflecting off of all of  
19 those surfaces and eventually contributing to sky  
20 glow. The technique of limiting angles of light  
21 leaving a streetlight may have some merit to  
22 reduce sky glow in more open areas, but direct  
23 upward light from streetlights is often not the  
24 primary contributor to light going into the sky.  
25 Rather it is the light reflected from the ground



1  
2 and all the other surfaces that is more likely to  
3 contribute to sky glow. For this reason, Lighting  
4 Research Center has recently proposed a system of  
5 measurement called the Outdoor Site-Lighting  
6 Performance System or OSP. This is a calculation  
7 technique employing commercially available  
8 lighting software to account for both contributors  
9 to sky glow, the direct and reflected light  
10 together. Preliminary tests of this system have  
11 demonstrated the most effective technique for  
12 reducing the amount of light leaving the  
13 boundaries of a property is to limit the amount of  
14 light actually being added or contributed to the  
15 space. In other words, the more light that you  
16 add to the environment, the more light will leave  
17 that environment and go into the sky and  
18 contribute to the sky glow. So that's addressing  
19 the issue of sky glow. Light trespass is also an  
20 annoying feature of light at night. And it's  
21 caused when light enters the private property,  
22 typically a residential one, from outside the  
23 boundaries of the property. The proposed strategy  
24 of prohibiting light above the horizontal may be  
25 effective in limiting some complaints of light

1  
2 trespass, but only for individuals residing at a  
3 height greater than that of the luminaire. For  
4 those residing at or below the level of the  
5 luminaire, complaints of light trespass will not  
6 be reduced with the use of fully shielded lights.  
7 To prevent light from entering residential  
8 windows, lighting manufacturers have developed  
9 what's called house side shields that restrict  
10 light behind the luminaire to lower than the  
11 horizontals, or even more restrictive to where  
12 light can leave a fixture. Often these can be  
13 mounted as a retro fit to existing streetlights to  
14 address complaints of light trespass and for new  
15 pole locations trespass can also be addressed by  
16 moving the poles away from residential windows.  
17 So we've addressed sky glow and light trespass.  
18 There's also the issue of glare, the third aspect  
19 of light pollution. Researchers have been  
20 struggling for decades to develop methods to  
21 predict complaints of discomfort glare. We at the  
22 Lighting Research Center have recently published  
23 an updated technique as part of the aforementioned  
24 calculation system. The underlying research shows  
25 that glare is related to the amount of light

1 reaching the eye indeed, primarily contributed by  
2 a offensive streetlight, for instance, but also  
3 counter balanced by the light in the surrounding  
4 area. Thus it is not clear whether changing the  
5 angles at which light may be emitted will increase  
6 or decrease complaints of glare here in New York  
7 City. Although Intro 757 might cause a marginal  
8 improvement of glare complaints, the effect for  
9 individuals standing below the streetlights would  
10 not necessarily be different than for fully-  
11 shielded streetlights. Even for locations above  
12 streetlights, the impact would be highly  
13 contextual and not equally applicable across the  
14 five boroughs, as we mentioned before. While the  
15 purpose of outdoor lighting is to create safe,  
16 comfortable environments to encourage nighttime  
17 use of the City, in the future the Lighting  
18 Research Center expects that new lighting  
19 techniques and technologies will justify a major  
20 investment to change New York City street  
21 lighting; new technologies are expected to  
22 increase energy efficiency and reduce maintenance  
23 requirements. And I'll talk about that more in a  
24 moment. It is not clear, however, what the  
25

1  
2 incremental costs, at least when I wrote this,  
3 what the incremental costs to New York City would  
4 be compared to conventional streetlight  
5 replacements. Even if there is no incremental  
6 cost to the use of fully shielded luminaires, this  
7 effort would not achieve the presumed goal of  
8 reducing the three aspects of light pollution in a  
9 significant manner, much less address what may be  
10 the more pressing issues of maintenance, energy  
11 efficiency and safety. We'd certainly be happy to  
12 propose a research project to develop more  
13 practical and effective techniques for limiting  
14 light pollution. Shall I continue to address the  
15 Light Emitting Diode question or shall we just  
16 stay with light pollution?

17 CHAIRPERSON LIU: Why don't you  
18 just continue with your testimony on 806?

19 JENNIFER BRONS: Okay.

20 CHAIRPERSON LIU: But it's  
21 probably, yeah, we have the whole testimony for  
22 the written record. So--

23 JENNIFER BRONS: [Interposing]  
24 Pardon me?

25 CHAIRPERSON LIU: We have your

1  
2 entire testimony for the written record, so if you  
3 want to summarize the key points, that would be  
4 helpful.

5 JENNIFER BRONS: I will indeed.  
6 I'm going to approach the bench and give you a  
7 sample of light emitting diodes.

8 [Pause]

9 JENNIFER BRONS: What you're  
10 holding is a light emitting diode and the metal is  
11 a heat sink to conduct heat away from the chip,  
12 the diode, that is necessary in order to help the  
13 light emitting diodes to emit light effectively as  
14 planted and to live as long as they are supposed  
15 to as planned. You need to remove heat from these  
16 chips in order for them to operate properly,  
17 otherwise they will fail prematurely and will not  
18 benefit you in terms of life and they will also  
19 not benefit you in terms of light output. One of  
20 the main promises of light emitting diodes is a  
21 long, useful light. We're very excited about the  
22 opportunities for the use of this technology in  
23 the industry and at the Lighting Research Center.  
24 We've already seen a transformation in the market  
25 in terms of the signal lights, which we talked

1  
2 about before, or indicator lighting, exit  
3 lighting, those are instances where we are looking  
4 directly at a light source. It's not illuminating  
5 an environment. That's already a promising area  
6 for the use of this technology, and now we are  
7 excited to be able to use it for illuminating our  
8 environments. Energy efficiency is improving  
9 rapidly and in the future we expect to see long  
10 operating lives and reduced maintenance. However,  
11 it's indeed rapidly evolving technology and there  
12 are several reasons why we do not think that New  
13 York City would be well served by rapidly adopting  
14 light emitting diodes at this time. So I'm going  
15 to address two issues with light emitting diodes,  
16 retrofitting existing streetlights and replacing  
17 new streetlights. If you are to retrofit your  
18 existing streetlight you will be enclosing the  
19 light emitting diode in a very tightly gasketed  
20 environment that was originally designed for a  
21 different light source. It's an environment that  
22 deliberately excludes the air changes that are  
23 necessary for a light emitting diode to remove the  
24 heat. Conventional technologies need to be  
25 enclosed from water and from dirt and insect

1  
2 ingress. And if you put something like this  
3 inside a tightly enclosed streetlight, it will  
4 overheat. If you replace that streetlight with a  
5 deliberately designed light emitting diode, such  
6 as the one that is being developed as part of New  
7 York City's design competition, those fixtures  
8 will have the fins, these heat sinks, exposed to  
9 the air and will be able to extract the heat  
10 properly. If not, they will fail prematurely,  
11 much sooner than you expect, and will not save you  
12 any energy in terms of maintenance or watts in  
13 your system. So, as a retro fit, we at Lighting  
14 Research Center are not excited about the use of  
15 LEDs in enclosed outdoor lighting. As a  
16 replacement in your existing streetlights, we  
17 think in a few years there will be many examples  
18 where you can use the technology effectively.  
19 Right now it's a little too soon. You will not be  
20 saving watts and you will not be shortening life  
21 just yet, but if you give it a few years we think  
22 it will be a very encouraging time to replace  
23 existing streetlights with LEDs.

24 [Pause]

25 JENNIFER BRONS: --sure I have all

1  
2 my issues here. I think those are the main points  
3 that I wanted to make, that retro fit will not  
4 make you happy. But in the future, replacing them  
5 with LEDs would be very encouraging.

6 CHAIRPERSON LIU: Thank you,  
7 Professor Brons, for testifying. I mean, your  
8 testimony-- so do you think that the cities of  
9 Stamford and Los Angeles and Calgary are wasting  
10 their time with this?

11 JENNIFER BRONS: Well if their goal  
12 is to reduce-- we're talking again about the fully  
13 shielded--

14 CHAIRPERSON LIU: [Interposing]  
15 Yeah, I mean I think the--

16 JENNIFER BRONS: [Interposing] And  
17 light pollution? None of the letters or the  
18 testimony that was presented indicated that they  
19 reduced light pollution, just that they didn't  
20 have problems with light uniformity as a result.  
21 They may have if they reduced their wattage.

22 CHAIRPERSON LIU: Okay. So your  
23 testimony is only with respect to light pollution  
24 and not energy efficiency.

25 JENNIFER BRONS: Right. At this



1  
2 point you may, we do not expect that you will  
3 reduce light pollution by putting in fully  
4 shielded lights in your streetlights. It may not  
5 do any harm. It may improve glare in some  
6 instances, but it's hard to generalize in a  
7 blanket manner whether you'll have improved glare  
8 in all instances. So in terms of light trespass,  
9 we don't expect there to be an improvement in  
10 complaints about light entering the bedroom  
11 windows. And in terms of sky glow, we don't  
12 expect there to be an improvement.

13 CHAIRPERSON LIU: Okay. We have  
14 questions from Council Member Lappin.

15 COUNCIL MEMBER LAPPIN: I've never  
16 heard of the Lighting Research Center. Can you  
17 just tell me briefly what it is?

18 JENNIFER BRONS: Oh, indeed. The  
19 Lighting Research Center is part of Rensselaer  
20 Polytechnic Institute, which is an institute in  
21 upstate New York. It's one of the oldest in the  
22 country, an engineering school originally. The  
23 Lighting Research Center is now celebrating its  
24 20th year. We are a third party independent  
25 evaluator of technology and ways to use light more

1

2 effectively.

3

4

COUNCIL MEMBER LAPPIN: So you're fully funded by the University?

5

6

7

8

9

10

JENNIFER BRONS: No. We are funded by research projects from energy efficiency groups across the country and internationally. That's the primary source of our income. We get very little funding from our University. It's mostly energy efficiency groups.

11

12

COUNCIL MEMBER LAPPIN: So what makes you independent?

13

14

JENNIFER BRONS: We are not hired to promote the use of any particular technology.

15

16

17

COUNCIL MEMBER LAPPIN: But are you hired by the industry? Because I see on your bio you work on behalf of lighting companies.

18

19

20

21

22

23

24

25

JENNIFER BRONS: At the end of my bio I mention that the OSP calculation metric is one research project with four manufacturers, two in North America, two in Europe, to address the calculation system, to create a calculation system that will allow lighting engineers to calculate in advance before lights get put in where and how much light is going to leave their sites and what

1  
2 they can do to improve it in advance before it  
3 gets installed.

4 COUNCIL MEMBER LAPPIN: But are the  
5 lighting companies the funders of the Lighting  
6 Research Center also or no?

7 JENNIFER BRONS: Most of our work  
8 is funded by energy efficiency groups. We do get  
9 some funding from partners in industry; some are  
10 government agencies. We have some luminaire  
11 manufacturers, some utilities, individuals that  
12 contribute to paying for our website and paying  
13 for our secretaries and so forth. But in terms of  
14 directed research dollars, the vast majority of  
15 our work has been energy efficiency work. We're  
16 also working in the effect of light in health. So  
17 we have some NIH funding and some other health  
18 related funding to measure how much light reaches  
19 the eye and how we can do a better job at meeting  
20 our health needs for dark nights and light days.

21 COUNCIL MEMBER LAPPIN: Okay.  
22 Thank you, Mr. Chairman.

23 CHAIRPERSON LIU: Thank you.  
24 Questions from Council Member Gerson?

25 COUNCIL MEMBER GERSON: Yes, thank

1  
2 you very much, Mr. Chair. You referred to-- and  
3 welcome, Professor Brons.

4 JENNIFER BRONS: Thank you.

5 COUNCIL MEMBER GERSON: Actually  
6 we're always happy in the City Council when folks  
7 and especially experts, you know, from out of town  
8 come to visit us, even though you're not that far  
9 out of town. But, you know, we all need to learn  
10 from each other, you know, the world over,  
11 certainly the state over. So actually, so we can  
12 learn how to promulgate our hearings, perhaps  
13 better, how did you learn of our hearing and what,  
14 you know, how did you learn of our hearing and  
15 what brought you here?

16 JENNIFER BRONS: Well, my  
17 understanding is that someone called our Lighting  
18 Research Center. I think if you Google the word  
19 Lighting, we're one of the first things that come  
20 up other than manufacturers of lighting. So  
21 because we're not manufacturing lighting, we are  
22 testing and trying to evaluate how to make it  
23 better and point out when manufacturers may not be  
24 being completely honest with how they're  
25 representing information, we are an independent

1  
2 location for lighting techniques and technology  
3 information in the industry. So I imagine someone  
4 who was arranging this event Googled lighting.

5 COUNCIL MEMBER GERSON: And I  
6 should say I'm a guest of the Committee and not a  
7 member of the Committee and I appreciate the  
8 opportunity to be a guest of the Committee here  
9 and so I'm not-- I was just informed that in fact  
10 the Committee did reach out to your organization  
11 and we appreciate your response. You mentioned  
12 energy efficiency groups. Could you identify by  
13 name some of those groups or the leading groups  
14 which provide funding to your institute?

15 JENNIFER BRONS: The leading first  
16 one that comes to mind is the New York State  
17 Energy Research and Development Authority,  
18 NYSERDA, providing our initial funding 20 years  
19 ago to start a university based research center  
20 devoted to lighting. And they do fund a number of  
21 projects at Lighting Research Center.

22 COUNCIL MEMBER GERSON: Have they  
23 funded any projects related to this fully shielded  
24 issue? NYSERDA specifically.

25 JENNIFER BRONS: No, sir.

1

2

COUNCIL MEMBER GERSON: Okay.

3

4

5

6

7

8

9

10

11

12

JENNIFER BRONS: But they've funded-- I believe they've participated in LED research projects. I'm actually not running LED research projects at this time. There are something like 30 researchers working at Lighting Research Center in very different aspects, studying the effect of light at nice on us and collecting blood from people and many different aspects of measuring light and the effect on people.

13

14

COUNCIL MEMBER GERSON: And any other groups besides?

15

16

17

18

JENNIFER BRONS: Yes, indeed. We've been working for many years with the US EPA and the US DOE to encourage the use of energy efficient technologies.

19

20

21

22

COUNCIL MEMBER GERSON: And have either of those governmental entities been involved in studies pertaining to fully shielded lights?

23

24

25

JENNIFER BRONS: No, sir.

COUNCIL MEMBER GERSON: And what about governmental--

1  
2 JENNIFER BRONS: [Interposing] I'm  
3 sure they've been involved with the LED research  
4 though.

5 COUNCIL MEMBER GERSON: Okay. And  
6 what non-governmental organizations fall within  
7 the energy efficiency groups that provide funding?

8 JENNIFER BRONS: None of the energy  
9 efficiency groups are encouraging-- that support  
10 Lighting Research Center are funding fully  
11 shielded research.

12 COUNCIL MEMBER GERSON: Well no. I  
13 meant, I first was asking generally what non-  
14 governmental entities provide funding to your  
15 institute?

16 JENNIFER BRONS: There are  
17 alliances or groups of people that are interested  
18 in looking at how to use day lighting more  
19 effectively, how to shut off lights when they're  
20 not needed when we have plenty of daylight  
21 entering spaces. So there's the North West Energy  
22 Efficiency Alliance. There are several groups  
23 that collaborate on the day lighting issues, how  
24 to improve the use of the technology.

25 COUNCIL MEMBER GERSON: Okay. Well

1  
2 maybe, Mr. Chair, rather than belabor this now,  
3 certainly we could probably follow up, I would  
4 imagine, you know a lot of this information is  
5 publicly available and if we have any further  
6 questions we could certainly get back to you. But  
7 certainly, you know, the relevancy of funding  
8 sources is important. Or funding sources are  
9 relevant to our understanding of the work of your  
10 entity. Let me just ask, in the areas that you've  
11 cited, you did say that fully shielded lights  
12 could in certain circumstances reduce glare. What  
13 circumstances would those be?

14 JENNIFER BRONS: In an environment  
15 where the person is able to see directly into a  
16 light fixture and see the light source, the bulb  
17 itself, if the bulb is sort of protruding down  
18 below the luminaire, if there's a deep glass bowl  
19 or some other diffuser material below it that  
20 allows a person to look directly at a light  
21 source, before a change, and then afterwards if a  
22 luminaire is installed that hides that light  
23 source from view, then it will be more comfortable  
24 to be viewed from whatever angle you're speaking  
25 of.



1  
2 COUNCIL MEMBER GERSON: So at least  
3 in those cases a fully shielded light could have a  
4 beneficial impact.

5 JENNIFER BRONS: It could, sir. It  
6 could.

7 COUNCIL MEMBER GERSON: Now let me  
8 also ask you about light trespass. First of all,  
9 you spoke about house side shields. And it sounds  
10 like those are something we might want to look  
11 into. Are they compatible with one kind of  
12 shielding or another? In other words, could you  
13 use house side shields either with partially or  
14 fully top shielded lighting?

15 JENNIFER BRONS: I can't speak to  
16 all light fixtures that are on the market, but I  
17 have seen ones in catalogues where they were both,  
18 they both did not allow light above the  
19 horizontal. I'm going to demonstrate for the  
20 camera. And also had an additional optical  
21 feature that prevented light from going behind the  
22 light source into ostensibly a bedroom window. So  
23 that's something that is possible to have both.

24 COUNCIL MEMBER GERSON: So  
25 technologically, we could if we chose--

1

JENNIFER BRONS: [Interposing]

2

Indeed.

3

COUNCIL MEMBER GERSON: Have it

4

both ways.

5

JENNIFER BRONS: [Interposing] If

6

there are--

7

COUNCIL MEMBER GERSON:

8

[Interposing] One doesn't preclude the other.

9

JENNIFER BRONS: Indeed.

10

COUNCIL MEMBER GERSON: Okay. And

11

then of course you mentioned that prohibiting, I'm

12

reading from your testimony or excerpting from it,

13

prohibiting light above the horizontal may be

14

effective in limiting some complaints of light

15

trespass, but only for individuals residing at a

16

height greater than that of the luminaire. Do you

17

have any idea in New York City the proportion of

18

individuals who probably reside higher than the

19

luminaire?

20

JENNIFER BRONS: It's certainly

21

much higher than in Troy, New York, where I live.

22

COUNCIL MEMBER GERSON: I would

23

guess that.

24

JENNIFER BRONS: I'm on the third

25

1  
2 floor and looking right into a lovely light  
3 source.

4 COUNCIL MEMBER GERSON: Actually I  
5 live on the 20th floor and we get a lot of this.  
6 So at least in those situations, this might be  
7 something we as a Council should look into and  
8 consider. Is that correct?

9 JENNIFER BRONS: Indeed. It would  
10 make sense to pay attention to how light is  
11 entering bedroom windows on a case by case basis.  
12 It's hard to generalize across all the boroughs.

13 COUNCIL MEMBER GERSON: At least on  
14 an area by area basis. We can't do it for every  
15 single apartment.

16 JENNIFER BRONS: Maybe not.

17 COUNCIL MEMBER GERSON: Okay. Well  
18 thank you, and certainly we have your contact  
19 information if we need to follow up.

20 JENNIFER BRONS: Okay.

21 COUNCIL MEMBER GERSON: Thank you  
22 very much.

23 JENNIFER BRONS: Were there any  
24 question about LEDs?

25 CHAIRPERSON LIU: Thank you very

1  
2 much. Thank you, Professor. Our next panel will  
3 consist of Glenn Phillips, Lauren Schuster and  
4 Gail Clyma. They will be followed by a panel  
5 consisting of Michael Demma and Paul Schubert.

6 [Pause]

7 CHAIRPERSON LIU: Mr. Phillips,  
8 please proceed.

9 GLENN PHILLIPS: My name is Glenn  
10 Phillips. I'd like to thank the Committee and  
11 Council Member Gerson for hearing our testimony  
12 today. I'm the Executive Director of the New York  
13 City Audubon Society, which is a grassroots  
14 organization dedicated to the protection of wild  
15 birds and their habitat for the benefit of all New  
16 Yorkers. Our 10,000 members, volunteers and other  
17 supporters care passionately about the plight of  
18 birds in North America. Since the 1960s,  
19 populations of even our most common birds have  
20 declined dramatically, despite legislation to  
21 protect them. Birds like the common grackle,  
22 which is one of the most abundant species here in  
23 New York City, has declined across its range by  
24 over 60%, that's a loss of over 80 million common  
25 grackles in 40 years. Habitat loss remains the

1  
2 most important cause of the dramatic declines of  
3 birds, but lighting has been a contributor to  
4 declines in bird populations. And the solutions  
5 to this problem provide benefits for all New  
6 Yorkers. For thousands of years birds have  
7 migrated from the tropics to the temperate zones  
8 and they evolved sophisticated internal navigation  
9 systems that depend on light cues as well as  
10 magnetic ones. Today those mechanisms are  
11 disrupted by pervasive artificial light.

12 Scientific studies by Sidney Gathreaux, Bill Evans  
13 and others have documented the impact of light  
14 pollution on birds and this book, the Ecological  
15 Consequences of Artificial Night Lighting, which  
16 is quite an interesting read, calls for full  
17 shielded fixtures as one method for reducing the  
18 problem; it won't solve it, but it will help. Our  
19 bid safe building guidelines, which I've provided  
20 copies of as a reference, also provide more  
21 information on the impact of night lighting on  
22 birds. Introduction 757 is a common sense  
23 solution to the problem of light pollution and  
24 will provide multiple benefits to New Yorkers. On  
25 behalf of New York City Audubon's 10,000 members,

1  
2 I would like to thank Council Member Gerson for  
3 introducing this legislation and I strongly  
4 encourage the Transportation Committee to support  
5 this important legislation.

6 CHAIRPERSON LIU: Thank you very  
7 much. We have Ms. Lauren Schuster.

8 LAUREN SCHUSTER: Good morning.  
9 Thank you Chairman and the Committee for having me  
10 here to testify today. My name is Lauren Schuster  
11 and I'm Environmental Campaign Coordinator with  
12 the New York Public Interest Research Group.  
13 NYPIRG is New York's largest non-profit  
14 environmental and consumer advocacy organization  
15 with more than 20 offices across the state  
16 including chapters in each of the five boroughs.  
17 NYPIRG has a long history of advocating for energy  
18 conservation measures at the City and state level.  
19 Thank you for this opportunity to testify in  
20 support of Intro 757, which would require any new  
21 or replacement street lighting in New York City to  
22 use fully shielded light fixtures. There are many  
23 reasons to support this legislation; most we've  
24 spoken about already, including transportation  
25 safety, aesthetics, benefits to human health and

1 wildlife. NYPIRG supports this legislation  
2 because it will reduce New York City's energy use.  
3 According to the National Oceanic and Atmospheric  
4 Association 30% of the United State's outdoor  
5 lighting is reflected skyward. The lack of  
6 adequate standards for outdoor lighting fixtures  
7 results in wasted illumination and wasted energy.  
8 Most of our energy comes from burning fossil  
9 fuels, which has enormous consequences on our  
10 health and the environment and is the major cause  
11 of global warming and climate change. NYPIRG  
12 supports using the most energy efficient street  
13 lighting possible. Fully shielded fixtures would  
14 enable the City to reduce the overall wattage used  
15 while still producing the same amount of light.  
16 Fully shielded light fixtures radiate a focused  
17 light, because no light can be emitted above the  
18 90 degree horizontal. Less light is wasted  
19 because light cannot escape upwards and outwards  
20 towards unintended targets. The ability to light  
21 intended targets only would allow New York City to  
22 use lower wattage bulbs, while illuminating the  
23 same area at the same intensity. Replacing  
24 existing streetlights with fully shielded light  
25

1  
2 fixtures would thus lead to a greater increase in  
3 energy efficiency and overall savings in energy  
4 costs. This has been experienced, as we've  
5 discussed, by cities that have retrofitted their  
6 streetlights like Calgary and Stamford  
7 Connecticut. We commend the many steps that the  
8 City Council has taken to improve energy  
9 efficiency and environmental protection in New  
10 York City. New York is emerging as a national  
11 leader in sustainability. This legislation is one  
12 of several measures that are currently pending in  
13 the Council that focus on energy efficient  
14 lighting. Energy efficient lighting standards are  
15 a common sense measure that will help contribute  
16 to reducing energy use and combating climate  
17 change. And NYPIRG respectfully urges the City  
18 Council to adopt this measure as soon as possible.  
19 Thank you again for the opportunity to testify  
20 today.

21 CHAIRPERSON LIU: Thank you, Ms.  
22 Schuster. Ms Clyma?

23 GAIL CLYMA: I admire your  
24 durability. This has been a very long session and  
25 I'm sorry I can't get off the stage in half a



1

2

minute.

3

CHAIRPERSON LIU: [Off Mic]

4

GAIL CLYMA: Oh really?

5

6

CHAIRPERSON LIU: Yeah. Actually I was remiss in apologizing for having to step across the street for another hearing momentarily. But glad to be back.

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

GAIL CLYMA: We missed you. I made separate statements for the two bills. And I'm going to start with the one, with 757, which is the one that has this little flier on the top. I'm really delighted that not one but two bills dealing with street lighting are on your agenda. I wrote this morning, but I guess that doesn't work anymore. Street lights are a major cause, in many places the major cause, of light pollution, a problem I've been working on for 15 years. In case this issue is new to you, light pollution is outdoor lighting that is misdirected, excessive or unnecessary. Such lighting results in disabling glare, trespass onto other properties, waste and sky glow, that is the illumination of the night sky so that there appear to be only a handful of stars over New York City. And this little

1 brochure just gives you some basic information  
2 about light pollution. In addition to creating  
3 unnecessary hazards for drivers and pedestrians,  
4 light pollution can harm plants and animals. This  
5 should not be surprising. If we keep in mind that  
6 every living thing on this earth evolved over  
7 thousands of years by adapting to a world that was  
8 truly dark at night-- and we have a brochure here  
9 with some of the wildlife impacts. A growing body  
10 of evidence is demonstrating that human beings are  
11 not exempt from this damage. The link between  
12 light at night and breast cancer is particularly  
13 strong. And you have a page of information about  
14 the human health concerns. As you may know, a  
15 bill that would require shielding of streetlights  
16 and other publicly funded lighting has been  
17 stalled in the New York State legislature for a  
18 number of years. I was a constituent of  
19 Assemblyman Pete Grannis, the sponsor of this  
20 bill, until he was appointed DE commissioner last  
21 year, DEC commissioner. And I worked closely with  
22 his staff. The principal opponent has been New  
23 York City's Department of Transportation, which  
24 insisted for years that fully shielded street  
25

1  
2 lighting could not match the performance of drop  
3 lens cobra head types now on City streets.  
4 Finally this spring they accepted our evidence to  
5 the contrary. But they are still fighting,  
6 because they just don't like any sort of  
7 legislation that affects them. I guess you guys  
8 have discovered that, I gathered from some of the  
9 comments this morning. The evidence is a little  
10 bit complicated. I'm not going to stop right now  
11 to go into it, but I hope I will have time to do  
12 that in a bit. There are several problems with  
13 language in the existing draft of resolution 757.  
14 Since I was involved in revising and refining the  
15 state bill over the years, I thought it might be  
16 helpful to adapt that language for New York City.  
17 The resulting draft is the next item in your  
18 packet and I hope you will find it useful. One  
19 addition, an issue that is not in the State bill,  
20 is a proposed prohibition of streetlights having  
21 metal halide bulbs. These bulbs, which have a  
22 bluish tint, have been widely used in lighting  
23 funded by business improvement districts, most  
24 noticeably Grand Central Partnership, 34th Street  
25 Partnership, Lower Manhattan Alliance. These are

1 bad for many reasons, not the least that they are  
2 an even greater health threat than the gold ampere  
3 high pressure sodium bulbs that are now used. And  
4 the last thing you have here is a discussion of  
5 metal halide lighting and particularly the  
6 problems of which the blue tint is one of the  
7 major problems. I think I will talk a little bit  
8 about 806 now and then I want to come back and get  
9 into a few other details. I do want to commend  
10 Council Member Lappin for recognizing the  
11 potential of LED technology to reduce the amount  
12 of electricity consumed by our New York City  
13 street lighting system. As you may know, the  
14 City-- this has been discussed before, we already  
15 have LED traffic lights. Development of the  
16 higher wattage LEDs needed for street lighting has  
17 been proceeding at a brisk pace. The promise of  
18 energy savings has prompted the US Department of  
19 Energy to take an active role in coordinating  
20 these efforts and establishing guidelines and  
21 performance standards for this new technology. In  
22 August, DOE proposed that in order to qualify for  
23 Energy Star designation, LED streetlights would  
24 need to be fully shielded. Although this  
25

1  
2 requirement will not be finalized until next  
3 month, I hope it will be incorporated into this  
4 legislation. And I have given you a couple of  
5 pages from the Energy Star recommendations and if  
6 you look, the lower half of the page has to do  
7 with roadway luminaires and down towards the  
8 bottom there's a little line called zonal lumen  
9 density requirement. And what this is, is just  
10 kind of a technical definition as opposed to a  
11 sort of verbal definition of full shielding. And  
12 I would really hope that that could be  
13 incorporated into 806 and I would also comment  
14 that the existence, the fact that DOE is doing  
15 this suggests that there must be some value in  
16 fully shielding street lighting of any type. They  
17 just happen to be working on LEDs here. In my  
18 comments regarding Council Member Gerson's bill I  
19 mentioned the concern about use of bluish tinted  
20 metal halide bulbs in streetlights. This is an  
21 even greater issue with LEDs, therefore even  
22 though it is not a requirement for energy start  
23 streetlights, I strongly recommend that a  
24 provision to address this issue be added to  
25 resolution 806. Light sources are characterized

1  
2 by something called correlated color temperature,  
3 which is measured in degrees of Kelvin. On the  
4 upper part of the first page that we were just  
5 looking at, there's a section on residential down  
6 lights. And you will see the last line of that  
7 allowable CCT, that's correlated color  
8 temperatures, and for residential down lights the  
9 recommendation is a maximum of 3500 Kelvin. But  
10 since such fixtures can be turned off by  
11 residents, I would suggest that for streetlights  
12 where we don't have the option of turning them  
13 off, a limitation of 3000 Kelvin be added to this  
14 legislation. LED streetlights now being used in  
15 experimental programs are commonly around 6000 to  
16 8000 Kelvin, so that's more than twice what I am  
17 recommending. In the commentary I gave you  
18 earlier regarding the blue tint of metal halide  
19 lighting, you will see that it takes only a  
20 fraction of metal halide light-- only a fraction,  
21 as much metal halide light as high pressure sodium  
22 light to suppress production of the cancer  
23 fighting hormone melatonin. So even though the  
24 Energy Star folks have not written a provision to  
25 limit correlated color temperature of LED

1  
2 streetlights, I hope you will consider adding one  
3 to this bill. I have a couple of comments that I  
4 would like to make on some of the earlier  
5 testimony. And it's kind of a funny situation  
6 with DOT where we have presented evidence to them  
7 that fully shielded fixtures can match the  
8 performance of what they're using now and they  
9 say, yeah, fine, we'll use them when we have an  
10 opportunity, but here's 110 reasons why they're a  
11 bad idea. So we have to kind of deal with all of  
12 these things. One of the items I've given you is  
13 called an explanation of street lighting  
14 calculations. And I'm sorry to be doing this two  
15 and a half hours into the hearing, but I think  
16 it's important for you to understand this  
17 information, which was originally presented to DOT  
18 in 2005, has been presented again on several  
19 occasions since then. The basic-- you have two  
20 tables here. The first table examines-- let me  
21 just first talk about the measures in the  
22 illuminance method, which is what City DOT uses.  
23 There are two measures that are considered. One  
24 is the average illumination on the street, which  
25 is measured in foot candles, and the other is the

1 evenness or the uniformity of that illumination.  
2 So you have an average where the high number is  
3 good and you have a uniformity ratio where the low  
4 number is good. The first table, the one that  
5 runs horizontally on the page, is for East 86th  
6 Street in Manhattan. You don't have to pay a bit  
7 of attention to the block by block data, but all  
8 the way over in the right hand corner you will see  
9 an average for those eight blocks of 86th Street  
10 from Fifth Avenue over to East End. Above the  
11 heavy line you see the GE semi-cutoff fixture  
12 which has been very widely used in this City and  
13 another fixture from GE, which is a full cutoff or  
14 fully shielded fixture, otherwise very similar.  
15 And if you go all the way over to the right hand  
16 side, you will see that on average foot candles  
17 and on the average to minimum uniformity, there's  
18 no significant difference between these two  
19 fixtures. The items below the heavy line in that  
20 table are just you know-- we showed these data to  
21 DOT and DOT said, well, we can't just deal with  
22 one supplier. So we have, you know, examples of  
23 other fixtures from there manufacturers that are,  
24 you know, comparable more or less to what DOT has  
25



1  
2 been using. And in fact the Cooper fixture, the  
3 first one below that heavy line, you can see that  
4 the average foot candles are exactly the same as  
5 the semi-cutoff GE that DOT prefers and that the  
6 uniformity is actually lower. So that's actually  
7 a better fixture to meet DOT's standards than the  
8 semi-cutoff fixture that they're now using. So,  
9 this is 86th Street and we thought, well, maybe  
10 86th Street is not comparable, not typical for  
11 some reason. And incidentally, it is a street  
12 where DOT is not meeting its own standards. I  
13 don't know how long the streetlights were put up  
14 there, but they don't comply with DOT's own  
15 standards. But as has been mentioned previously,  
16 there was a competition that was run in 2004 to  
17 design a new streetlight for the City and in the  
18 process of organizing that, they provided two  
19 competitors a description of a typical New York  
20 City street lighting installation. So this gave  
21 us, you know, it told a certain mounting height, a  
22 certain width of street and all of the ingredients  
23 that go into these calculations. So this gave us  
24 another opportunity to compare the performance of  
25 the fully shielded fixture with the semi-cutoff

1  
2 one that DOT prefers. So in the upper part of  
3 this table that goes long ways on the page,  
4 straight up the page, are the copper and GE semi-  
5 cutoff fixtures that are pretty popular right now  
6 in the City. They both have average foot candles  
7 of 0.7 and a uniformity ratio of 2.3. They both  
8 happen to have the same results. Below the heavy  
9 line are a number of full-cutoff fixtures, fully  
10 shielded fixtures with performance that is more or  
11 less similar to those existing lights. Again,  
12 there are in this case several fixtures that by  
13 DOT's own standards actually perform better than  
14 the semi-cutoff fixture that they're hanging on  
15 to. So I'm sorry to get into a lot of technical  
16 there, but they haven't been able to debunk this.  
17 So they keep talking about 110 reasons why it's a  
18 bad idea. So I would like to just offer a couple  
19 general comments on earlier testimony. One is the  
20 Massachusetts bill that they were voting this  
21 morning, that's only a proposed bill. There's no  
22 law in Massachusetts at this time. So they had  
23 their facts a little screwed up there. I think  
24 it's also worth pointing out, particularly given  
25 the tone of DOT's testimony this morning, that

1  
2 over-- we've been working on this, I've been  
3 actively working on this State bill since the year  
4 2000. And this year, or starting last year with a  
5 new DOT commissioner we thought, you know, maybe  
6 things will open up a little bit over there. We  
7 made some changes in our bill. We asked them  
8 repeatedly, look, if you have problems with this  
9 bill, suggest some changes. What is it that, you  
10 know, we would need to consider doing in order to  
11 make this livable from your standpoint. And I  
12 can't tell you how many times we asked that  
13 question, but we never got an answer. One other  
14 little correction. The competition, there was a  
15 first place winner and a second place winner and a  
16 third place winner. The first place winner was an  
17 LED streetlight. The second and third place were  
18 both similar to the existing cobra heads, you  
19 know, stylistically they looked very different,  
20 but the first place winner was an LED streetlight.

21 CHAIRPERSON LIU: Ms. Clyma, if I  
22 can ask you to start wrapping up.

23 GAIL CLYMA: Yeah, okay. Just  
24 quickly. LRC-- to save time, I will say that it  
25 is not correct to say that fully-shielded fixtures

1  
2 will not reduce sky glow. It simply isn't so. It  
3 is not correct to say that they won't reduce light  
4 trespass. It simply is not so. Council Member  
5 Gerson mentioned he's on the 20th floor. I live  
6 on the 7th floor. There's a streetlight 40 feet  
7 below my bedroom window that's lighting up my  
8 ceiling and a fully shielded fixture would not do  
9 that. At the end of the day--

10 CHAIRPERSON LIU: [Interposing] Ms.  
11 Clyma, you are refuting the testimony of a  
12 professor that comes from this well-known  
13 institute.

14 GAIL CLYMA: Yes.

15 CHAIRPERSON LIU: Could you state  
16 for the record what kind of credentials you could  
17 offer to support that.

18 GAIL CLYMA: I have only 15 years o  
19 experience working on this issue. I'm not an  
20 engineer. I have no sheepskins in this field.  
21 But, if I may put up one of these boards.

22 [Pause]

23 GAIL CLYMA: You know, just sort of  
24 a basic diagram.

25 CHAIRPERSON LIU: I can see what

1  
2 the diagram says. I think the information that  
3 you gave us is extremely valuable and we do  
4 appreciate it, but we do also need to just ask the  
5 question of what the credentials are. And the--

6 GAIL CLYMA: [Interposing] Simply--

7 CHAIRPERSON LIU: [Interposing] And  
8 there's nothing wrong with being well-versed in  
9 these matters for 15 years or for 15 months for  
10 that matter. There's nothing wrong with that.

11 GAIL CLYMA: Trained in the  
12 trenches I guess would be.

13 CHAIRPERSON LIU: Okay. And  
14 there's nothing wrong with that. I'm not  
15 questioning the credibility, just to round out the  
16 testimony; I just wanted to see what that was.

17 GAIL CLYMA: Right. Basically the  
18 bottom line, DOT is making three claims, first of  
19 all they're still kind of fighting whether these  
20 fully shielded fixtures can perform. And you've  
21 got those numbers now, so you know you can just  
22 stop worrying about that. So then they start  
23 saying well, you know, it costs too much. I think  
24 Leo Smith has some excellent information on that  
25 point. There might be, you know, a difference of

1  
2 possibly ten percent in the cost. And then when  
3 they can't get anywhere with that they say, well,  
4 we can't get them. We can't get these fully  
5 shielded fixtures with the electronic ballasts  
6 and, you know, I just have to point out that's  
7 just an assertion that is being made. It's  
8 unverifiable. It simply is not verifiable.  
9 Unless DOT comes in here with a request for a  
10 proposals--

11 CHAIRPERSON LIU: [Interposing]

12 Okay.

13 GAIL CLYMA: --that they have put  
14 out and it didn't produce anything.

15 CHAIRPERSON LIU: All right.

16 GAIL CLYMA: So I think that needs  
17 to be taken into account as well. And thank you,  
18 and I'm sorry to hold you up.

19 CHAIRPERSON LIU: That's not a  
20 problem. Thank you very much for your insight  
21 into this issue. And I want to thank the rest of  
22 the panel for testifying as well.

23 COUNCIL MEMBER LAPPIN: And Mr.  
24 Chairman, if I may, I just wanted to thank Ms.  
25 Clyma for all of her input and I've enjoyed

1  
2 working with her and learning from her a lot more  
3 about this issue.

4 GAIL CLYMA: Thank you, Council  
5 Member. I enjoyed it too.

6 COUNCIL MEMBER GERSON: And Mr.  
7 Chair, if I may chime in, I want to add my  
8 acknowledgement and gratitude to each of the  
9 witnesses, not only for your support but for your  
10 expertise and guidance. And there are certain  
11 advantages to being trained in the trenches for 15  
12 years including not having to worry about the  
13 desires of funders who.. but I'll leave it at that.  
14 Thank you very much.

15 GAIL CLYMA: Yeah, I'm not getting  
16 paid very well for this; I've got to admit.

17 CHAIRPERSON LIU: Thank you very  
18 much. Our next panel, Michael Demma and Paul  
19 Schubert.

20 MICHAEL DEMMA: Good morning,  
21 again.

22 CHAIRPERSON LIU: Good morning,  
23 Michael.

24 MICHAEL DEMMA: Good morning.

25 CHAIRPERSON LIU: Please proceed.

1  
2                   MICHAEL DEMMA: Good afternoon. My  
3 name is Michael Demma. I've been doing some  
4 community work at 14th Street and Sixth Avenue for  
5 the last eight years. I've been trained in the  
6 trenches too. I'm an employee of the transit  
7 authority and my title is Light Maintainer, but I  
8 don't represent them here today. I put a little  
9 something together quickly and this is regarding  
10 the street lighting at 14th Street at the  
11 intersection of Sixth Avenue. I was concerned  
12 about some issues as we're bringing out, and very  
13 intelligent sharing of the environment and wasted  
14 oil and all that other good stuff. It's nice to  
15 see some people about that here, people take it  
16 for granted, lighting. But there's so much to  
17 touch on. I took a picture of a streetlight here,  
18 not long ago, and concerned about reflective  
19 light. If we could see that from here? I'll be  
20 giving this after I speak. This is a typical  
21 streetlight, and it seems that the light is a  
22 beautiful lamp, it's doing its job, but it's  
23 bounding off at a 90 degree from the walls, from  
24 the fixture straight out into buildings and  
25 people's homes. And it's wasted energy, it seems



1  
2 to be here. So, my suggestion would be something  
3 more similar than--

4 [Pause]

5 MICHAEL DEMMA: That's nice they're  
6 too. It's a similar picture, yes. It's very  
7 nice. But my suggestion would be no different  
8 than what we grew up at our night table. Here's a  
9 typical lampshade. It's reflecting the light at a  
10 proper angle down onto the street rather than all  
11 around and they probably wouldn't be too much  
12 money to retrofit these. But it seems like if  
13 something like this was put in place rather than  
14 having an open fixture as we know it, it would  
15 help the community, the pedestrians, and my  
16 concern also is motorists driving in the City.  
17 When I drive around this town, most of the time I  
18 could see a streetlight coming right into my  
19 vision, which is usually quite annoying and  
20 distracting and straining and a drain. So I think  
21 some type of globe other than what they're saying  
22 here as a-- what was that called? A fully  
23 shielded? Something like this here. Something  
24 that we know. I think that would be helpful. So  
25 with all these intelligent agencies and people,

1  
2 I'm surprised something like that hasn't been  
3 brought out. So I'm leaving this photo book with  
4 you that I put together very briefly. And this is  
5 a concern of mine because at 14th Street and Sixth  
6 Avenue here the illumination has been weak over  
7 the years and suggestions have, to DOT and to your  
8 Council here, Mr. Liu, it's been helpful, but  
9 there's a way to go. Some fixtures have been  
10 replaced. Some of them, as I'm going to show here  
11 have been replaced and with the recent heavy rains  
12 of the hurricanes in the summer, strangely these  
13 fixtures have been loading up with water from the  
14 rain and they dry out and they're leaving some  
15 heavy soot behind. So the illumination has  
16 decreased dramatically. Here's an original  
17 fixture still in place today, the, probably 40  
18 year old fixture. The pollution is so tremendous  
19 here in Manhattan as we know; it's making the  
20 fixture useless. There isn't any maintenance per  
21 se, so to speak. So it's, what else? Here's my  
22 card. Also coming in her and listening to DOT and  
23 they have a three-page report putting it to sleep,  
24 I'm surprised they don't come up here with some  
25 kind of illustrations to have the average person

1  
2 understand what we're talking about. Because I've  
3 been involved with this for so long, I know what  
4 the cobra head means. I know what a 25 means on  
5 top of the fixture; I know what 15 means on top of  
6 the fixture. We're talking 25 watts; we're  
7 talking 150 watts. So, you know, if they could  
8 just put their papers aside a little bit and bring  
9 some full-sized illustrations to get the feel of  
10 what's actually happening out there. It's  
11 difficult. And I don't know why they don't agree  
12 with you most of the time, folks.

13 CHAIRPERSON LIU: Thank you,  
14 Michael.

15 MICHAEL DEMMA: Okay.

16 CHAIRPERSON LIU: Thank you. We  
17 always appreciate the illustrated books that you  
18 bring up.

19 MICHAEL DEMMA: There's something  
20 very serious also about this here. We're talking  
21 about reflected light into the atmosphere.  
22 Outdoor advertising, the heavy billboards that are  
23 all around our roadways or wherever, those are  
24 using up at least 2500 watts per billboard at 100  
25 watts per fixture, so we're talking about a

1

2

tremendous amount of light being reflected. And  
it wasn't mentioned here at all other than street  
lighting.

5

CHAIRPERSON LIU: We don't have the  
jurisdiction over those billboards.

7

MICHAEL DEMMA: Well, you know,  
that's interesting to know. So here you go, Mr.  
Liu.

10

CHAIRPERSON LIU: Thank you.

11

MICHAEL DEMMA: And hopefully Mr.  
Gerson can look this over and help me out with  
getting some street lamps cleaned up, and one that  
has been vacant for a very long time. I don't  
want to see anybody get hurt.

16

CHAIRPERSON LIU: Thank you. Mr.  
Schubert?

18

PAUL SCHUBERT: Yes. I'm Paul  
Schubert.

20

MICHAEL DEMMA: You don't mind if I  
leave, do you, Mr. Liu?

22

CHAIRPERSON LIU: That's fine.

23

PAUL SCHUBERT: Okay. I'm Paul  
Schubert, a community activist from the Rockaways,  
card carrier. As my card states, public safety is

25

1  
2 my primary concern. I'm personally responsible  
3 through the help of DOT Commissioner Iris  
4 Weinshall and Janette Sadik-Khan, of having  
5 installed so far 14 wheelchair ramps, a traffic  
6 light by the Scholars Academy Beach 104th Street,  
7 over 20 traffic light crossing walk signals being  
8 adjusted so that grandma can cross safely as well  
9 as mommy with carriage. It's an interesting  
10 coincidence walking speed wise that a senior  
11 citizen and a mother with a child with a carriage  
12 walk at the same speed approximately. I found  
13 this to be an interesting coincidence. Now I've  
14 also, I've prepared a little visual thing over  
15 here. Now, I've been a street peddler  
16 approximately 20 years, since 1986. The bids came  
17 in. By City Charter, the law; let's talk about  
18 the law. By City Charter they are legally  
19 responsible for street lighting and maintenance  
20 thereof, by law. They are legally responsible for  
21 the repair and the maintenance and replacement of  
22 all sidewalks. Have they done so? No. Do they  
23 have any plan to do so? No. I have noticed their  
24 absence from here. Now, if we're going to start  
25 talking about who's responsible, then let's

1  
2 consult the City Charter, the law, the  
3 administrative code. I have seen our streets grow  
4 dark. You can go; the NYPD gives out a wonderful  
5 anti-crime, anti robbery flier. It states, to  
6 prevent oneself from being mugged, one walks in a  
7 well-lit area. I went around New York City taking  
8 photographs at night, say I'm on Fifth Avenue at  
9 Rockefeller Center, it's dark. I've gone to Times  
10 Square side streets, 46th, 43rd, it's dark. I've  
11 gone by 48th Street between Fifth Avenue and  
12 Madison, it's dark. So my question is, now we  
13 don't live in Mayberry. I live in Rockaway Park,  
14 a residential community with houses. We can see  
15 the stars. But New York City is high rises. 24-  
16 hour City as Frank Sinatra once said. New York's  
17 my kind of town, the City that never sleeps. So  
18 we need well-lit corridors everywhere in the main  
19 town city, in all the shopping malls. Tourists  
20 will not visit a city where they do not feel safe.  
21 They will not come back to a city if they don't  
22 feel safe there. And they want the big city  
23 lights. They live in Mayberry. They want big  
24 city lights. They want to see daylight. Now, I'd  
25 like to see these studies that cause cancer by

1  
2 streetlights, I really would. Mr. Liu you are  
3 quite correct in asking for credentials.  
4 Professor Brons over here, due to her Light  
5 Research Center, I believe she has the  
6 credentials, over 20 years I understand, of  
7 careful scientific study. And scientific study  
8 means that what's tested here is then tested here  
9 and then here and then based upon a repeat of the  
10 same results, we reach a scientific conclusion.  
11 This is what was told to us by Aristotle, by  
12 Socrates. But, I would like to show my little  
13 display over here if possible.

14 [Pause]

15 PAUL SCHUBERT: Yeah. I appreciate  
16 that, sir.

17 CHAIRPERSON LIU: All right, but  
18 Mr. Schubert, we have to wrap up momentarily.

19 PAUL SCHUBERT: Yeah, I know. My  
20 thanks full to you Mr. Liu. And I will state for  
21 the record that whenever I've contacted Mr. Liu's  
22 office concerning transportation safety questions,  
23 I've had a very good response. I want to state  
24 that for the record. Bids are made, code is a  
25 crime.

1

[Pause]

2

3

PAUL SCHUBERT: Before them we had safe avenues do to xenon lighting. Now a xenon bulb takes half the power of a halogen bulb.

4

5

6

CHAIRPERSON LIU: Mr. Schubert, I don't think we're going to be able to get through that whole presentation if you're going to--

7

8

9

PAUL SCHUBERT: [Interposing] Okay.

10

11

CHAIRPERSON LIU: I would suggest just reading it yourself, because we can actually see it also.

12

13

PAUL SCHUBERT: Okay. This is basically going to be my piece de resistance. Low light creates rapes, robbery, crime--

14

15

16

CHAIRPERSON LIU: [Interposing] I don't believe anybody today has talked about reducing the amount of light on our city streets.

17

18

19

PAUL SCHUBERT: Well, I'd like to increase it, myself. I would like to increase it dramatically to the levels that we had about ten years ago, very well-lit avenues. I'm talking to young people that are 20 years old and they remember this.

20

21

22

23

24

25

CHAIRPERSON LIU: To the extent



1  
2 that there are missing street lamps or broken  
3 streetlamps, it is certainly the intent of my  
4 colleagues and I in the City Council to make sure  
5 that the Department of Transportation fixes those  
6 street lamps or installs new street lamps so that  
7 the City's streets and sidewalks are well-lit.

8 PAUL SCHUBERT: Well--

9 CHAIRPERSON LIU: [Interposing]  
10 That is not the issue of today's hearing.

11 PAUL SCHUBERT: Well I'm going to  
12 be providing you with a CD-ROM showing pictures  
13 taken last night. Of Times Square, Fifth Avenue,  
14 Sixth Avenue down around 14th Street, 23rd,  
15 showing dark corridors of crime.

16 CHAIRPERSON LIU: That would be  
17 extremely helpful to our committee.

18 PAUL SCHUBERT: Thank you, sir.

19 CHAIRPERSON LIU: I really  
20 appreciate your input over the years and today's  
21 hearing.

22 PAUL SCHUBERT: And I do want to  
23 thank you for your indulgence.

24 CHAIRPERSON LIU: Thank you.

25 PAUL SCHUBERT: I tell people I do

1  
2 a very good three and a fairly good two minutes,  
3 and I do want to thank with my full heart the  
4 Council's indulgence.

5 CHAIRPERSON LIU: I want to thank  
6 you for your very good eight minutes today.

7 PAUL SCHUBERT: Thank you, sir.


8 CHAIRPERSON LIU: Thank you.

9 [Laughter]

10 CHAIRPERSON LIU: With that, this  
11 hearing of the City Council's Transportation  
12 Committee is adjourned.

C E R T I F I C A T E

I, Erika Swyler certify that the foregoing transcript is a true and accurate record of the proceedings. I further certify that I am not related to any of the parties to this action by blood or marriage, and that I am in no way interested in the outcome of this matter.

Signature  \_\_\_\_\_

Date November 24, 2008