

CITY COUNCIL
CITY OF NEW YORK

-----X

TRANSCRIPT OF THE MINUTES

of the

COMMITTEE ON HEALTH

-----X

December 12, 2012
Start: 1:12 p.m.
Recess: 2:48 p.m.

HELD AT: Council Chambers
City Hall

B E F O R E: MARIA DEL CARMEN ARROYO
Chairperson

COUNCIL MEMBERS:
Inez E. Dickens
Mathieu Eugene
Julissa Ferreras
Helen D. Foster
Rosie Mendez
Joel Rivera
Peter F. Vallone, Jr.
Albert Vann
Deborah L. Rose
James G. Van Bramer

A P P E A R A N C E S

Robin Vitale
American Stroke Association

Dr. Dana Leifer
New York City Stroke Task Force

Dr. Susanna Horvath
Columbia University

Dr. Salman Azhar
Lutheran Medical Center

1
2 CHAIRPERSON ARROYO: Good afternoon
3 everyone. Thank you all for being here. It is
4 actually a really nice day, so the fact that you
5 are here and not walking out and about is a
6 testament to how important you think what we are
7 going to talk about here today is. I'm Maria del
8 Carmen Arroyo, and I have the privilege of
9 chairing the Committee on Health here in the
10 Council. Today we are going to be conducting a
11 hearing on proposed Resolution 982-A, sponsored by
12 my colleague, Council Member Eugene—Doctor Eugene.
13 The resolution is calling upon the United States
14 Congress and the president of the United States to
15 increase funding for research on stroke prevention
16 and treatment. I'd like to thank Council Member
17 Eugene for his leadership, and his relentless
18 energy to make sure that we are having this
19 conversation here today. Thank you, Council
20 Member. Many of us understand the seriousness of
21 stroke, and some of the things that we learn when
22 we prepare for these hearings—sometimes the
23 numbers really disturb us, which is why it is
24 important for us to continually research and have
25 public discourse about the things that concern us

1
2 as a city. A stroke occurs when a clot blocks the
3 blood supply to the brain or when a blood vessel
4 in the brain bursts. An individual suffering a
5 stroke can experience brain cell damage that could
6 result in significant disability, such as
7 paralysis, speech difficulties, emotional problems
8 or even death. According to the United States
9 Centers for Disease Control and prevention, a
10 stroke is the fourth leading cause of death in our
11 country, accounting for approximately 130,000
12 deaths a year. It is important to know that this
13 is a recent milestone, as stroke was formerly the
14 third leading cause of death in the country. This
15 is a great achievement and represents an
16 advancement in stroke research, of treatment and
17 care; however, despite these positive strides,
18 every year approximately 795,000 people in the
19 United States have a stroke with 610,000 of those
20 experiencing a stroke for the first time, while
21 the remaining 185,000 endure subsequent episodes.
22 Stroke does not just have a significant personal
23 impact; it also has a significant economic impact.
24 The annual cost of stroke in the United States is
25 an estimated \$54 billion, and that is with a B

1
2 factoring in the cost of healthcare services,
3 medication and missed days at work, not to mention
4 the impact on family and the quality of life that
5 individuals have to deal with. In terms of
6 prevention individuals can greatly reduce their
7 risk of stroke by making lifestyle changes
8 including eating healthy diet, maintaining healthy
9 weight, engaging in physical activity, not smoking
10 and limiting alcohol use, and taking preventive
11 medication. Additionally, death rates from stroke
12 have dropped 70% since 1940 due in large part to
13 the National Institute of Health funded research—
14 and the acronym is NIH, and yet the NIH dedicates
15 a mere one percent of its budget towards research
16 on stroke prevention and treatment. Stroke
17 funding is at risk of receiving additional cuts
18 due to the recent federal law and ongoing budget
19 negotiations happening right now in Congress.
20 Given the tremendous impact that stroke has on the
21 United States, it is necessary to invest in
22 developing treatment options to improve health of
23 all Americans. Today we will discuss the
24 importance of stroke research and federal funding
25 for treatment prevention and care. We will hear

1
2 from medical experts and advocates on the
3 importance of further research and the tangible
4 impact that it has on the lives of those affected
5 by stroke and their families. I want to
6 acknowledge my colleagues, who are here now, and
7 those will float through. There is a lot going on
8 and the fact that they are not all here does not
9 at all mean that this conversation is not
10 important. I'd like to acknowledge Council Member
11 Inez Dickens from Manhattan, Council Member
12 Julissa Ferreras from Queens, and that is
13 Elizabeth bringing me my lunch-coffee. I want to
14 thank the Committee staff for their work and
15 preparation. I want to say a special thank you to
16 Joe Mancino, who I've been fussing about the fact
17 that he went to law school, and he got incredible
18 news actually the weekend of the storm that he
19 passed the bar exam, and that means that he is
20 going to be leaving us to work for the District
21 Attorney's office, and their gain is our
22 incredible loss. I want to thank you for the work
23 that you have always done, and I'm not happy. I
24 just want to make that public, but absolutely wish
25 you the best and a great deal of success and know

1
2 that we are going to meet again, so when I go take
3 over the world, I am going to take you with me. I
4 want to give an opportunity to the sponsor of this
5 legislation an opportunity to give us a few words
6 and remind those who are here to testify that if
7 you have not signed up with the sergeant and given
8 him one of these, we won't know you want to say
9 something, and we want to hear from you. Council
10 Member Eugene?

11 COUNCIL MEMBER EUGENE: Thank you
12 very much. First and foremost let me thank
13 Chairwoman Council Member Carmen Arroyo for
14 bringing Reso 982-A before the Committee on
15 Health, and I also want to thank all of you here--
16 all the advocates--all of you here for coming.
17 Thank you for your time, and thank you for your
18 dedication also to help those people who are
19 suffering from stroke and those who are probably
20 will be suffering from stroke. I want to thank
21 also all the staff members from the city and your
22 staff also who worked hard to make this hearing
23 possible. I want to take the opportunity to thank
24 also - - my staff, the one who did all of the - -
25 . Thank you very much. I also want to thank

1
2 those who are sponsors of the bill and encourage
3 others to sign on. This resolution would address
4 this critical health issue facing our communities
5 by supporting increased funding for research so we
6 can develop better treatment, better healthcare
7 and better medicine to prevent this condition. As
8 we know, stroke is a serious life threatening
9 condition that affects over 750,000 people
10 nationwide each year, and is often fatal. Stroke
11 is the front leading cause of death in the United
12 States and although not always fatal, it can often
13 result in severe conditions such as paralysis,
14 emotional trauma and disability. Survivors of
15 stroke face many difficulties recovering and
16 living functional and productive lives. A person
17 who suffered from a stroke can need a lot care and
18 medical attention throughout the day, so it not
19 only affects that one person, but their friends
20 and their families who must often step in to care
21 for that person. This can put a lot of pressure
22 on the family members and change their lives also.
23 Through awareness and better funding programs we
24 can lower the risks in order to prevent the onset
25 of stroke, - - and prevention through positive

1
2 lifestyle change would then significantly reduce
3 the number of people who suffer from a stroke.

4 Over the last decade, there has been a substantial
5 reduction in the number of people dying from

6 stroke. Funding and research from the National

7 Institute of Health play an important role in this

8 reduction; however, we need to make sure that

9 leaders at the federal level devote more resources

10 toward stroke prevention and research. For that

11 reason, I was delighted to draft a resolution

12 supporting this goal, calling upon the United

13 states Congress and the president of the United

14 States to increase funding for research on stroke

15 prevention and treatment. Funding from the

16 federal level can have an enormous impact on the

17 local level. More research can lead to new

18 medication, new forms of care and new methods of

19 helping survivors of stroke and their families.

20 As the largest city in the United States, I think

21 it is important that we take a strong stance on

22 this issue and I look forward to discussing this

23 further in this afternoon's hearing. Let me just

24 conclude by saying that it is very sad that every

25 time that we walk on the street we see people

1
2 losing their right side or their left side, people
3 that cannot even talk properly. It is very sad.
4 If you don't have somebody, a close friend or a
5 family member who is suffering from stroke, but
6 you have seen so many people and it is very
7 important that we do everything that we can do to
8 prevent that, and as the Chairperson said, it is
9 very important, even from an economical point of
10 view because when somebody is suffering from
11 stroke, that person won't be able to provide for
12 his family, he won't be able to provide to support
13 himself, and also, he won't be able to contribute
14 to the system by working and paying taxes. Thank
15 you very much, Madam Chair. Thank you so much.

16 CHAIRPERSON ARROYO: Thank you,
17 Council Member. We have been joined by Council
18 Member Vallone. Thank you for being here. I have
19 four individuals signed up to testify, and what
20 I'm going to do is I'm going to split them up into
21 panels, so we are going to ask Robin Vitale,
22 American Stroke Association, to join us, and Dr.
23 Dana Leifer, New York City Stroke Task Force and
24 also from Cornell. I think you guys have done
25 this before, so choose who is going to—I think we

1
2 are going to hear from Robin first - - , and we
3 will save the questions for after you both
4 testify.

5 ROBIN VITALE: Absolutely. Thank
6 you so much, Chairperson Arroyo, and obviously
7 thank you, Council Member Eugene for sponsoring
8 this important resolution. Thanks to your
9 comments I am able to skip a good portion of my
10 written testimony. For those that might be in the
11 room that would not consider themselves to be
12 stroke experts, I do encourage you to read through
13 the first half of my testimony 'cause I really
14 wanted to provide a very basic introduction to the
15 disease of stroke, so that all of us can be on the
16 same page as the clinicians that are joining us
17 today are going to dive in a little bit deeper
18 into the possibilities around stroke research,
19 both the benefits that we are experiencing here in
20 new York City and where the lapses might be in
21 order to improve the stroke systems of care down
22 the road, which are obviously of vital interest to
23 NIH funding in the future. So with that, I am
24 going to be skipping a good portion of that
25 introduction to stroke—a stroke 101 if you will,

1
2 but I do want to make sure that this esteemed
3 Council is—the Committee is aware of the American
4 Stroke Association’s efforts regarding funding for
5 stroke research. While typically we like to focus
6 on the month of May as an opportunity to promote
7 awareness around stroke as it is American Stroke
8 Month, the time to promote greater awareness of
9 stroke and its symptoms is really appropriate for
10 this Committee to support this resolution right
11 now. Funding for the National Institutes of
12 Health may be cut by about two and a half million
13 dollars or roughly eight percent in January as a
14 result of automatic across the board cuts required
15 by the Budget Control Act of 2011. It’s often
16 referred to as the fiscal cliff. These reductions
17 would be in addition to any made in the regular
18 funding process for fiscal year 2013. This
19 financial loss would mean that approximately 2300
20 grants that the NIH currently plans to fund would
21 not be awarded and could ultimately result in the
22 loss of 33,000 jobs across the United States and
23 four and a half billion dollar decline in economic
24 activity. I have enclosed copies of the testimony
25 today a letter from the American Heart

1
2 Association's CEO, Nancy Brown, regarding the
3 subject. The letter which is addressed to the
4 president of the United States encourages our
5 federal decision makers to protect research
6 funding as part of the negotiations in order to
7 avoid this fiscal cliff. In addition, I have also
8 attached a copy of the testimony provided by Dr.
9 Gordon Tomaselli [phonetic], who is the current
10 president of the American Heart Association, to
11 Congress regarding the concerns around the fiscal
12 year 2013 budget. It's our hope that we may
13 overcome both budgetary dynamics and also might
14 secure a stable and appropriate funding level for
15 the NIH in order to continue the positive
16 trajectory regarding stroke care in our country
17 and certainly here in New York City. With that, I
18 am going to conclude my official comments.

19 Obviously, we do have other stroke experts here
20 that will speak more specifically to the efforts
21 here in New York City, and I want to make sure
22 they have as much time as possible to address the
23 Committee.

24 CHAIRPERSON ARROYO: I'm sorry. I
25 have been remiss in acknowledging that we are

1
2 joined by Tai Meah, right? - - sitting in as
3 committee counsel because the committee counsel,
4 Lacey Clarke, left about a month ago, so thank you
5 for sitting in for us, and to introduce - -
6 Francisco, our fiscal analyst, who I think this is
7 your first public hearing. Welcome, and good luck
8 to you.

9 DR. DANA LEIFER: First of all, I'd
10 like to thank you, Chairperson Arroyo and
11 Councilman Eugene and the other members of the
12 Council Committee on Health for the opportunity to
13 testify here today in support of the Council's
14 proposed Resolution 982-A, which calls upon our
15 federal government to expand vital funding for
16 stroke research. The mission of the American
17 Heart Association/American Stroke Association's
18 New York City Stroke Task Force, of which I serve
19 as the chair, is to promote improvements to the
20 care of stroke patients in New York City by
21 several things: by highlighting advances in stroke
22 treatment, by education healthcare professionals
23 and the public at large about how to recognize and
24 how to treat stroke and by engaging the EMS system
25 and the Department of Health in development of

1
2 protocols to transport stroke patients to the most
3 appropriate hospitals. The underlying goal of our
4 taskforce is to optimize the care that stroke
5 patients receive here in New York City and to
6 maximize their chance for a good recovery. While
7 I am prepared to attempt to answer any questions
8 that the Committee has about diagnosis and
9 treatment of stroke, I really want to emphasize
10 the importance of funding for stroke research. As
11 we have already heard, I think, stroke is the
12 fourth leading cause of death in our nation and
13 the number one source of disability.

14 Approximately 800,000 strokes each year in the
15 United States and about 134,000 people die of
16 stroke annually. About a third of stroke patients
17 suffer from some permanent disability, and the
18 current calculation regarding the cost of stroke
19 in the United States runs to approximately \$34.3
20 billion. Despite these alarming statistics
21 though, the NIH budget consistently allocates a
22 mere one percent of NIH spending to stroke related
23 research. The discrepancy between this low level
24 of funding and the magnitude of stroke as a public
25 health problem and an economic burden needs to be

1
2 corrected. Additional funding for stroke research
3 will benefit not only stroke patients and their
4 families, but also the economic health of our
5 nation. NIH funded research has shown that
6 patients treated with TPA, tissue plasminogen
7 activator, which is the only FDA approved
8 emergency treatment for the most common type of
9 stroke, ischemic stroke, within three hours of the
10 first signs of stroke, those patients are 30
11 percent more likely to show minimal or no residual
12 disability after three months. Additionally,
13 another study indicated that this level of
14 improved outcomes for stroke patients could result
15 in a net ten year benefit of \$6.47 billion. In
16 this background, our task force really commends
17 the Council for working to expand funding for
18 stroke research. To emphasize the importance of
19 stroke research, I'd like to highlight several
20 recent developments. Newly approved devices allow
21 us to use special catheters that can extract blood
22 clots and reopen arteries of up to 89 percent of
23 patients with certain types of strokes. By
24 reopening these arteries, we can restore blood
25 flow to the brain and limit the damage that

1
2 strokes cause. In addition, newly developed
3 anticoagulant drugs that thin the blood can
4 prevent certain types of strokes more effectively
5 and more safely than older drugs without the need
6 for the frequent blood tests that have to be
7 performed for older drugs such as Coumadin.
8 Moreover research here in New York City and in
9 other countries has demonstrated that designation
10 of appropriate hospitals as primary stroke centers
11 can speed delivery of clot dissolving drugs and
12 ensure delivery of these drugs to more patients.
13 In this regard, I'd like to point out that New
14 York took the lead in designating stroke centers
15 almost a decade ago now. Early results from a
16 pilot project that we conducted in Brooklyn and in
17 Queens indicated that with designation of stroke
18 centers, the percentage of stroke patients who
19 arrived quickly enough to be treated with clot
20 dissolving drugs more than doubled from 3.4 to 7.7
21 percent of all stroke patients. This result
22 actually came from a research project that many
23 members of our stroke taskforce participated in,
24 and this initial success has helped to lead to the
25 designation of stroke centers throughout New York

1
2 state and nationally. Numerous subsequent studies
3 have confirmed the benefits of such centers, and
4 recently because of the success of these primary
5 stroke centers here in New York and throughout the
6 country and because of new research suggestion
7 that creation of a second more specialized level
8 of stroke centers for more complex patients will
9 improve outcomes. Comprehensive stroke centers
10 are now being designated and criteria are being
11 developed to measure their performance.

12 Furthermore, several recent studies have
13 demonstrated that stroke center performance is
14 enhanced if EMS providers pre-notify emergency
15 room physicians when they are bringing in an acute
16 stroke patient. By allowing the ER to prepare for
17 an incoming patient and even by allowing
18 physicians to communicate directly with family
19 members accompanying the patient ahead of time,
20 the administration of clot dissolving drugs can be
21 dramatically speeded up. In view of these
22 research findings, my colleagues and I on the New
23 York City Stroke Task Force are initiating
24 discussions with the Fire Department here to
25 incorporate these results into the care of stroke

1 patients through the EMS system here in New York.
2 We are hoping that this will further improve the
3 care that New Yorkers receive if they have a
4 stroke. I want to emphasize that in each of these
5 cases, practical improvements in stroke care
6 depend on research. To optimize stroke care in
7 the future, additional funding for stroke research
8 will be critical, and I therefore urge the Council
9 to adopt Resolution 982-A. Before I conclude, I
10 want to thank the Council deeply for inviting me
11 here today. On behalf of the AHA's New York City
12 Stroke Task Force, I am honored to have the
13 opportunity to support the Council's interest in
14 promoting stroke research and I look forward to
15 positive results from the passage of this
16 resolution. Thank you again for the invitation to
17 testify and I'll be happy to answer any questions
18 that you may have about stroke research or more
19 generally, about the care of stroke patients.

20
21 CHAIRPERSON ARROYO: We're
22 chatting, but we are listening. We are planning
23 and plotting and that is usually what happens when
24 we are having these conversations back here. We
25 have heard numbers regarding national. Do you

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

have anything specific to the state and the city in terms of the incidents of strokes and how the city is doing in terms of the recovery of patients?

DR. DANA LEIFER: There are a few numbers that I can give, and Robin maybe can add some others, but I think about 58,000 strokes in New York States, and about 5,000 deaths related to stroke in the state and according to the one statistic that I have seen, about 1400 stroke related deaths here in the city, so that is just to give some idea of the sort of specific magnitude of the problem that we have right here.

ROBIN VITALE: Obviously, I concur with everything that Dr. Leifer has shared with you, and - - pleased to report on many levels that stroke is actually the sixth leading cause of death here in New York City compared to it being ranked just fourth across the country and New York state, so I think ultimately the city should be commended on many levels for doing some wonderful innovate policy work to address those risk factors that you outlined in your opening remarks. Unfortunately, we still do have quite a bit of

1
2 work yet to do. There is elements around physical
3 activity and nutrition, certainly smoking. The
4 city has done some fantastic work with, but there
5 remains some unfortunate populations we have not
6 been able to achieve a reasonable mark with those
7 risk factors, and there are some risk factors
8 unfortunately that are out of our control—
9 certainly looking at ethnicity. There is a
10 disparity regarding African Americans and stroke.
11 Really focusing in o—there are disparate risk
12 factors around hypertension, obesity and tobacco
13 use specifically, and also with gender. While
14 more men will suffer from stroke, more women will
15 ultimately die from the disease, so there is
16 certainly more work that has to be done reaching
17 those populations, and also, I think that begs for
18 more research as well—really looking at specific
19 therapies, focusing in on both women and race and
20 ethnicity.

21 CHAIRPERSON ARROYO: We have been
22 joined by Council Member Van Bramer. I think he
23 came in. What's the research dollar for New York
24 City? Do we know how much we are investing in
25 that?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

ROBIN VITALE: We have a list and I can certainly follow up through Joe the full list of NIH grants that are currently funded in New York state and that would detail New York City's specific research as well. It's anybody's guess as far as what institutions will be impacted should the fiscal cliff happen and should the fiscal year 2013 negotiations be at a detriment to NIH funding, but we can certainly share with you the current status of those projects as well.

CHAIRPERSON ARROYO: We are joined by Council Member Mendez, Rosie Mendez. Thank you for being here. So we are asking for the federal government to increase funding for research, but are we trying to save what we have already and ask for more? What is it that we really want to say to the president and his guys up there?

ROBIN VITALE: I think ultimately as Dr. Leifer's testimony has outlined, and what you will hear from the other clinicians, there is a need to expand NIH funding, so I would encourage you to maintain the language. Certainly, we need to protect what we have. I think again going back to my comments—the timing of this resolution is

1

2 pivotal because we are unfortunately looking at
3 the potential of a pretty extreme cut within the
4 next few weeks, and unfortunately, NIH is always a
5 target for funding during every fiscal year budget
6 to negotiation.

7

CHAIRPERSON ARROYO: Currently the
8 federal government is putting one percent of the
9 larger dollars in. What are we asking them? No
10 cuts to the current spending, but increased to
11 what?

12

ROBIN VITALE: The percentage I
13 will have to do a little math for you to determine
14 that. I think we would like to ultimately see an
15 expansion to at least 32 million. I believe that
16 is the American Stroke Association's request for
17 this year.

18

CHAIRPERSON ARROYO: And currently
19 we are receiving?

20

ROBIN VITALE: Oh, what is the
21 current dollar amount? I don't know if I have
22 that. I will have to follow up with you.

23

CHAIRPERSON ARROYO: That is
24 important. - - for details. Okay.

25

ROBIN VITALE: Numbers are

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

important. We will make sure we get those.

CHAIRPERSON ARROYO: Doctor, in your testimony, you—on page 2 the second paragraph, there is the pilot in Brooklyn and Queens indicating that with the designation of stroke centers the percentage of patients who arrive quickly enough has increased from 3.7 to 7.7. what do we attribute that to?

DR. DANA LEIFER: I think we attribute that to several things. One is that along with the designation of stroke centers there was an effort for EMS to identify stroke patients more quickly and to bring those patients to the stroke centers rather than to other hospitals so that they would be arriving at a hospital that was set up to treat them and therefore, able to treat them more quickly because there are sort of are two aspects to the time. One is how much time elapses before the patient arrives at the hospital. The other is how much time it takes for the hospital to be ready to treat the patient, so if a patient can arrive later as it were if they are getting to a hospital that can work more quickly and still get the patient treated within

1

2 the three hours that we had at that time to treat
3 people with tPA from the onset on their symptoms.

4 CHAIRPERSON ARROYO: So does that
5 also—I would imagine it also involves a patient's
6 awareness to call 911--

7 DR. DANA LEIFER: [interposing]
8 Yes, that is correct too.

9 CHAIRPERSON ARROYO: --earlier in
10 the process. So do we know how much of that is
11 influencing the—it more than doubled?

12 DR. DANA LEIFER: I don't think
13 that we can say from the information that we have
14 in that study, I don't think that we can say how
15 much of that was a result of people calling more
16 quickly, although I don't think there was a big
17 effort to get people to call more quickly at that
18 time. There was an effort to prioritize acute
19 stroke calls through EMS and there was a big
20 effort on the part of the strokes of the newly
21 designated stroke centers to be more efficient
22 once the patient arrived there.

23 CHAIRPERSON ARROYO: And in being
24 efficient just being able to intervene medically
25 quickly?

1
2 DR. DANA LEIFER: That is correct,
3 so that means that the patient is recognized as
4 having an acute stroke as soon as they arrive.
5 They get the appropriate lab tests drawn
6 immediately, that they get a cat scan done
7 quickly, and that that scan is interpreted
8 efficiently and that the drug, the tPA is prepared
9 quickly, and that whole process gets done. So
10 there are sort of multiple steps that are involved
11 in treating an acute stroke patient efficiently,
12 and improvements in any one of those steps it
13 makes a significant difference. We are looking
14 essentially at chopping minutes off at each of
15 multiple steps along the way, and I think that the
16 research has shown that designating a hospital,
17 having an established protocol for treatment of
18 stroke patients helps to make the process more
19 efficient. Having a designated stroke team helps
20 to make the process more efficient. I think we
21 are learning now from research and experience that
22 has been developed in other places. The pre-
23 notification making sure that EMS notifies the
24 hospital that a stroke patient is coming in can
25 make a difference. In this country the Heart

1

2 Association has now set a goal of door to needle
3 time of 60 minutes of getting patients from-

4 CHAIRPERSON ARROYO: [Interposing]

5 What? What does that mean? Door to needle?

6 DR. DANA LEIFMAN: That means the
7 time from the time that the patient arrives at the
8 door of the hospital until the needle with the tPA
9 is inserted and is given, getting all of those
10 things, the labs, the cat scan, the drug readied,
11 all of that done within 60 minutes. There are
12 actually reports from other areas particularly
13 actually from Finland, from Helsinki that they are
14 starting to get those times to 30 and 20 minutes
15 even and part of the success there involves pre-
16 notification, communicating between the hospital
17 and the ambulance, so that the physicians can get
18 the details of the history, can find out when the
19 stroke really started from the family before the
20 patient ever arrives, so I think there are other
21 ways that we can sort of try to be even better if
22 they can do that there, we should be able to do
23 this here as well.

24 CHAIRPERSON ARROYO: So in-I'm
25 going to ask I guess a question that is going to

1

2 have a couple of parts to it. In your testimony
3 you indicate that you and your colleagues on the
4 taskforce have begun conversations with the fire
5 department to incorporate that pre-notification to
6 emergency rooms physicians. So we're not doing
7 that already? Just duh.

8

DR. DANA LEIFMAN: - - very
9 efficiently, but yes that is in a sense, that is a
10 reasonable reaction to the way that things stand
11 right now.

12

CHAIRPERSON ARROYO: So how many
13 hospitals have been given this stroke designation
14 or what is the term—stroke center designation?

15

ROBIN VITALE: - - New York City,
16 I'd have to double check.

17

CHAIRPERSON ARROYO: Speak into the
18 mic a little better. - - .

19

ROBIN VITALE: Specific to New York
20 City, I do want to double check that information
21 before I share that. Obviously it is something
22 that we do keep a database on as well as the New
23 York State Department of Health, so we will double
24 check and get that to you.

25

CHAIRPERSON ARROYO: You come here

1
2 with an idea, right, to highlight a problem. I
3 send you back with homework always. So as a city,
4 how many of our facilities have the mechanism in
5 place to be able to respond to that EMT that is
6 calling saying I have someone en route to the
7 hospital; this is what we believe it is, so that
8 that hospital can gear up that team? I have
9 actually had a personal experience and have seen
10 the stroke designation response to an individual
11 who was suspected of having had a stroke and
12 between and door and cat scan, it was less than
13 ten minutes. We know that treatment and
14 intervention is critical to the outcome of the
15 patient's health, but I don't have a clue how many
16 hospitals in our city, be they private, voluntary,
17 public, and whether or not these designations—how
18 many exist and a larger conversation of the ones
19 that don't have the designation, what do we need
20 to do to get them there?

21 ROBIN VITALE: I think we are
22 ourselves in the process of really outlining that
23 and specific to the point of pre-notification, a
24 lot of that is going to be dependent upon the
25 feedback that we are receiving from the fire

1
2 department in New York as well because the
3 ambulance team is pivotal as part of that matrix,
4 so we are having conversations with them and we do
5 expect to gather a good amount of knowledge within
6 the coming weeks on that topic, and we will gladly
7 share that with you once we have more information.

8 CHAIRPERSON ARROYO: So also how
9 does the hospital become a stroke designated
10 center?

11 ROBIN VITALE: There is a protocol
12 that is outlined within the New York State
13 Department of Health and through the guidelines
14 that are both American Stroke Association as well
15 as JCAHO, which is the Joint Commission, they have
16 to implement various parameters in order to be
17 designated as a stroke center.

18 CHAIRPERSON ARROYO: I have some
19 more questions, but I am going to—Council Member
20 Eugene?

21 COUNCIL MEMBER EUGENE: Thank you,
22 Madam Chair, and thank you to the members of the
23 panel - - continue to follow up on the - - pre-
24 notification that these questions that I am going
25 to ask probably may be technical questions. Do

1
2 you have an idea what is the best way to handle
3 pre-notification? What is the best thing to do to
4 inform the hospital that this patient who is
5 coming right now may be suffering from a stroke?
6 Do you have an idea how we can do that—the best
7 way to do that? It may not be a medical question,
8 but I'm just asking.

9 ROBIN VITALE: I think it's
10 interesting that those entities, those
11 municipalities that are doing pre-notification,
12 doing it well have really adapted to the specific
13 needs of that community, so I think to get to the
14 point about what is best for New York City, that
15 is yet to be determined. I know that the fire
16 department will likely have some insight into what
17 the best mechanism could be and what the
18 limitations might be to get to that point, so that
19 can certainly part of the conversation that we
20 follow up with the Committee once we do hear from
21 the FDNY.

22 DR. DANA LEIFER: I think that in
23 other locations there has been now use of cell
24 phones, so that the ambulance can communicate
25 directly with the hospital. At the moment that is

1

2 not an option here, but it is something that we
3 might be looking into.

4

COUNCIL MEMBER EUGENE: I like the
5 idea of the ambulance communicating directly to
6 the hospital because the ambulance they are
7 carrying the patient to the hospital on their way
8 to the hospital. I think that is something we
9 should look into. Thank you very much. We have
10 been talking about stroke and many people they
11 know about stroke but some people they are going
12 to have a stroke that don't even know that. They
13 don't even know the signs and the symptoms. Could
14 you just briefly elaborate—talk about the sign and
15 the symptoms of stroke? Because people are going
16 to have a stroke, they don't know that. Could you
17 just briefly please?

18

DR. DANA LEIFMAN: There maybe are
19 two aspects to that. One is knowing that you
20 might have a stroke because of the risk factors
21 that you have and being educated—hypertension,
22 high blood pressure, high cholesterol, diabetes,
23 smoking are all problems that increase the risk of
24 stroke and if they are dealt with, they can reduce
25 that risk. The second aspect to it is recognizing

1
2 that someone is actually having or might be having
3 a stroke right at the moment based on the symptoms
4 that they have. The most important one of those
5 being sudden onset of weakness on one side of the
6 body, but there are others, such as sudden
7 difficulty in speaking, sudden problems with
8 vision such as loss of vision or double vision,
9 sudden problems in coordination, sometimes simply
10 becoming acutely confused or becoming dizzy or
11 developing a very, very severe headache—the so
12 called “worst headache of one’s life.” So those
13 are kind of the immediate things that people need
14 to recognize to know that they are having a stroke
15 or that someone around them is having one.

16 COUNCIL MEMBER EUGENE: Thank you
17 very much. We know and you know—I know that you
18 know that prevention is the best medicine, and - -
19 people don’t suffer, but what American Association
20 has as a system of prevention to prevent people to
21 have stroke? Do you have any—

22 ROBIN VITALE: [interposing]
23 Specific to preventing stroke, we do have—

24 [crosstalk]

25 ROBIN VITALE: We have a few

1
2 different programs I want to make sure you are
3 aware of. One we mentioned the disparate risk to
4 African Americans, so I encourage you to look at
5 the Power to End Stroke. You can find that
6 powertoendstroke.org. And that is a series of
7 educational programs, initiatives and education
8 specific to the African American community and
9 their disparate risk. We also have a more general
10 concept regarding the risk factors for stroke the
11 My Heart, My Life initiative which outlines the
12 seven simple parameters that you can help to
13 address that will ultimately help you to live a
14 healthier life and that will help to reduce your
15 risk for both stroke and heart disease.

16 COUNCIL MEMBER EUGENE: You
17 mentioned in your testimony that more men suffer
18 from stroke and more women die from it. What is
19 the medical explanation for that? Do you have an
20 explanation? Could you explain it to us based on
21 your medical experience and knowledge why the men
22 are suffering from stroke and the women die from
23 it? Is there any explanation?

24 DR. DANA LEIFER: I'm not sure that
25 I really have a very good explanation for that.

1

2 One of the possibilities I think also that women
3 may be tending to have strokes at later ages, and
4 that may have something to do with it, but I don't
5 think that we really have a solid explanation for
6 that.

7

ROBIN VITALE: That was going to be
8 my response as well. I think that this is an area
9 that begs for additional research. There are some
10 complicating factors regarding women specifically
11 regarding our risk of stroke increasing due to
12 pregnancy, also use of birth control pills can
13 exacerbate women's risk, and also just
14 anecdotally, myself being a female, I can tell you
15 it's very easy for us to get distracted and ignore
16 symptoms and not be as perhaps forthcoming with
17 our own medical care, so I think that that is an
18 area that really begs for additional science and
19 research and ultimately we encourage men and women
20 both because again, there is a disparate risk of
21 being men and suffering a stroke, even though they
22 are more fatal for women. We need to make sure
23 that we are reaching the population as a whole and
24 encourage them to address their risk factors.

25

COUNCIL MEMBER EUGENE: - - I agree

1
2 with you. This is a wise answer. I will say the
3 same thing that the reason—because there are so
4 many disease, we don't know the mechanism, we
5 don't know them yet, but I believe this is a
6 reason why we should invest in this—we should put
7 more money for research, and thank you. If you
8 will allow me, Madam Chair, can I have one or two
9 more questions?

10 CHAIRPERSON ARROYO: - - .

11 COUNCIL MEMBER EUGENE: Okay, very
12 good. Thank you very much. In your testimony,
13 you mentioned that newly approved device - -
14 special - - that can extract blood clots - - in
15 the arteries of 89 percent of patients with
16 certain types of stroke. Could you tell us where
17 this technology is available? What hospital,
18 where exactly? How many hospitals in...?

19 DR. DANA LEIFER: I can you that it
20 is available at our hospital at New York
21 Presbyterian—I believe both at the Cornell site
22 where I am located at the Columbia, and I think
23 it's most likely available at several other of the
24 large tertiary care hospitals in the city,

25 COUNCIL MEMBER EUGENE: Because you

1
2 know stroke is such a devastating disease and this
3 technology is very important also, I think they -
4 - also with the stroke center. In Brooklyn I know
5 - - they have these stroke centers. What do you
6 think that we should do, we City Council Members,
7 we from the government to make sure that—of course
8 funding—to make sure that this technology is
9 available also in more hospitals stroke centers
10 because you know that it is—when you treat a
11 patient suffering from stroke it is about time.
12 There is a time factor. Do you think that there
13 is something that we can do in the City Council to
14 help you or to help the hospital get this type of
15 technology?

16 DR. DANA LEIFER: I think that it
17 may be important to centralize some technologies
18 like this and that the best way of getting the
19 technology available to patients is to get them to
20 the right hospital. I don't think that this is
21 going to be a kind of technology that can be at
22 every single hospital. I think that giving
23 intravenous tPA really should be within the
24 capability of any hospital that has an emergency
25 room. I think by its nature this kind of

1
2 procedure is more complicated and requires a lot
3 of specialized resources, so that we are not going
4 to be able to get it to every hospital, but we
5 need to be able to get the patients who need it to
6 those hospitals as quickly as possible, and I
7 think that as so called comprehensive stroke
8 centers are developed and certified, we will need
9 to think a lot about how that interacts with the
10 EMS system and to make sure that there is adequate
11 coverage of the entire city with hospitals that
12 are comprehensive centers and that are able to
13 deliver care of this kind and other kinds of
14 advanced procedures.

15 COUNCIL MEMBER EUGENE: Okay. My
16 last one. Okay. My last one. We know that
17 ischemic stroke and hemorrhagic strokes, and my
18 question is, we in New York City where we are at,
19 are we capable really to provide good treatment
20 when the patient goes to the hospital or do we
21 need additional resources or technology to make
22 sure that we protect people who are suffering from
23 stroke? Are we doing a good job or not because -
24 - we know the consequences of stroke are enormous
25 and that people after stroke—most of the people

1
2 don't get back to normal life. What's going on?
3 Are we doing good or not?

4 ROBIN VITALE: I would say on first
5 - - we are doing reasonable good. I think there
6 is room for improvement, and we really do hope to
7 dig in a little deeper with the pre-notification
8 angle and specific to your question not about the
9 particular technology that Dr. Leifer was
10 referring to, but just in general, I think there
11 will be aspects that Council would be useful in
12 knowing about and advocating for in order to help
13 improve the overall system of care. So we will
14 certainly follow up with all of you on that level
15 and make sure that once a clear call to action is
16 defined that you are all in a position to assist
17 us in implementing those measures.

18 COUNCIL MEMBER EUGENE: Thank you
19 very much to both of you. Thank you, Madam Chair.
20 Thank you.

21 CHAIRPERSON ARROYO: Thank you.
22 Thank you both for your testimony. Know that we
23 certainly will be calling on you to further the
24 conversation. Just for me personally to
25 understand why not every emergency room is ready

1
2 or maybe they are and we are not aware, so
3 understand our capacity to respond to a patient
4 presenting with a stroke city-wide, so Doctor, you
5 said that we may not be able to get the resources
6 to all of the hospitals, and that concerns me
7 because that ultimately means that in some
8 communities somewhere in the city patients are
9 going to get a different level of care than
10 somewhere else, and that is something that we
11 cannot allow to happen. It seems that with the
12 benefits of early intervention and the better
13 outcomes that we can experience that every single
14 emergency room in our city has to be prepared to
15 respond in the same manner so that regardless of
16 where you are living and the resources still
17 available to you. You can respond. You don't
18 have to.

19 [crosstalk]

20 DR. DANA LEIFER: To put it into
21 perspective, there is a lot of equipment and
22 expertise that is needed to use procedures like
23 this type of clot retrieval. It's important that
24 the people who do it are doing it frequently
25 because if they don't have a volume of patients to

1
2 be working on and to essentially be practicing on
3 and keeping their skills well-honed and up to
4 date, it is going to be difficult to do it
5 properly, so it's not something that you can do at
6 a small hospital once or twice a year. If you are
7 not doing this frequently, you are not really
8 going to be doing it as well as you should be
9 doing it, and there is a lot of experience with
10 this, with other kinds of patients really showing
11 that a hospital needs to have a certain volume of
12 patients with a particular disease or getting a
13 particular kind of procedure in order to get good
14 results from it, and I think that is the reason
15 for saying that certain things like this may need
16 to be centralized and the patients brought to the
17 hospital quickly, and someone in the field even
18 making a decision that this patient should bypass
19 the closest hospital and go to the more
20 centralized, more comprehensive center and bearing
21 in mind that here in New York having six, seven,
22 eight—and I'm not being very specific—but a large
23 number of very highly specialized tertiary care
24 centers available actually brings all of these
25 resources much closer to the population than they

1
2 are in many other areas where the distances are
3 much, much greater and these kinds of problems are
4 actually much bigger.

5 CHAIRPERSON ARROYO: I appreciate
6 that, and then the next level of discussion has to
7 be at if it is going to be centralized then how
8 patients get to those centers of excellence then
9 becomes the next level of conversation in making
10 sure that the EMS and everyone else is
11 coordinating the transport of the patient as
12 quickly as possible. I understand not every
13 hospital it may not be possible to set up every
14 single emergency room with the kind of resources
15 needed to provide the appropriate intervention as
16 quickly as we'd like but then the mechanism that
17 gets patients to those centers then becomes very,
18 very critical and important in the conversation,
19 so I look forward to an ongoing dialogue around
20 how we can better organize this process and more
21 importantly understand where are these centers,
22 how many do we have certified or designated in the
23 city and how do we work to strengthen their
24 capacity to respond to the needs of patients that
25 show up? I thank you for your advocacy and your

1
2 work and for being part of this conversation, and
3 I look forward to the ongoing conversation. Thank
4 you.

5 ROBIN VITALE: Thank you.

6 DR. DANA LEIFER: Thank you.

7 CHAIRPERSON ARROYO: Okay. Our
8 next panel, Dr. Susanna Horvath from Columbia
9 University and Dr. Salman Azhar, Lutheran Medical
10 Center. Thank you both for being here. So that
11 we don't get repetitive in the questioning, if you
12 have some thoughts that you want to add to some of
13 the questions that we have posed to the first
14 panel, you can please interject that into your
15 testimony. It will help us move the conversation
16 along. I'm not going to rush you, but hopefully,
17 you were paying attention and you can address some
18 of those questions as well. So, whoever is going
19 to do first, identify yourself for the record,
20 speak into the mic or the sergeant yells at you
21 because he can't pick it up on the recording, and
22 you may begin when you are ready.

23 DR. SUSANNA HORVATH: My name is
24 Susanna Horvath, and I am stroke physician at
25 Columbia University. I also sit on the New York

1
2 City Task Force, and have been an active member of
3 the American Stroke Association. Prior to working
4 at Columbia, I worked at New York Baptist Hospital
5 in Brooklyn as a community stroke neurologist, so
6 I sort of come at it from both ends. I wanted to
7 make a couple of comments before I start what my
8 formal testimony is. Just going back to some of
9 the things that were mentioned earlier. One of
10 the things—so in the state of New York—I don't
11 know the city numbers—in the state of New York
12 there is I think 230 some odd hospitals and 119 of
13 those have been designated as stroke centers and—
14 119 in the state. I don't know the city numbers,
15 but I have asked somebody to look them up for me,
16 and in order to become a center, you have to meet
17 a lot of different qualifications including this
18 door to needle time of 60 minutes, and in order to
19 do that, you need to have cat scan availability 24
20 hours a day. You need to have a neurosurgeon
21 available 24 hours a day, a lab that is open 24
22 hours a day and there are these certain targets
23 that you have to meet and one of the things that
24 there is a great deal of stress on is education
25 and patient and family education so that when

1
2 somebody before they leave the hospital, they know
3 their own stroke risk factors. They know the
4 signs and symptoms of stroke. They know what
5 medicines they are taking and why. They know the
6 need for follow up, and they know how to call 911.
7 Some of our research at Columbia has focused on
8 teaching these patients. We had a study where
9 someone would walk around with a toy telephone,
10 and have the person pick up the phone and call 911
11 and show what do they say to get that ambulance
12 there, and so some of the results of these studies
13 are actually very interesting in terms of prior
14 notification. So along the same lines, the
15 difference between a primary stroke center that
16 can give you a drug intravenously, and a center
17 that we are hoping will be designated as a
18 comprehensive center where things can be done that
19 are a little more elaborate such as going in with
20 catheters into the brain and taking clots out.
21 The other important distinction is the time
22 window, so the door to needle time three hours,
23 and many people, but research has also shown that
24 in certain groups of people it can be stretched
25 out to four and a half hours. In terms of getting

1
2 a clot out of the brain, you have more time than
3 that, so we have a window of at least six hours to
4 do that. In some people we have a window that is
5 a little bit longer. So typically what happens
6 today in the city is that a person will be taken
7 to a stroke center where intravenous tPA can be
8 administered and when we assess the person in that
9 community stroke center, the nearest stroke center
10 that you can get to, if that person is within the
11 time to do something else and there is something
12 else that can be done. For example, going in with
13 the catheter into the larger arteries and taking
14 out that—we have a mechanism in place where there
15 is a lot of communication and interface between
16 the larger teaching institutions that have this
17 capability and the smaller hospitals that may not
18 have this capability and the ambulances readily
19 transfer these patients to those larger
20 institutions. So this is a work in progress that
21 has been going on for many years in the city, and
22 we do try to do that, and there is also a certain
23 amount of prior notification that exists already
24 in the city. And again, we are trying to sort of
25 fine tune it and make it better. It's not as

1
2 though there is no notification at all. Often the
3 ambulances do call the emergency room where they
4 are headed, so we know that the patient is coming,
5 and in certain institutions for example, at
6 Cornell they have the resident neurology person
7 who is going to be the first responder has a cell
8 phone and the ambulances have that number, and so
9 they are able to—and this is a new project that we
10 are trying to sort of talk about at other
11 institutions and see if we can do this where you
12 know that that person is the one who is going to
13 be making the decision about whether this person
14 gets the drug or not, and what is going to happen
15 and they are there in the emergency room to meet
16 the patient, so all of these are sort of works in
17 progress. I just wanted to sort of bring those
18 additional thoughts to the Council, and I want to
19 thank you and commend you on your proactive
20 support of stroke research and to urge Congress to
21 take up this resolution. New York Presbyterian
22 Hospital and the Columbia University Medical
23 Center has a long history of federally funded
24 research, which has advanced treatment
25 capabilities in our region and across the country

1
2 and enabled more citizens of New York to be
3 treated more effectively as a result of this, and
4 we offer our full support for this resolution. I
5 don't want to recap what we have already talked
6 about in terms of the number of stroke patients—
7 the fourth leading cause of disability and death
8 in the country—but one of the things that I do
9 want to tell you is that we are a generation that
10 actually this is the first generation that they
11 are talking about the fact that we may outlive our
12 children, and that has to do with childhood
13 obesity, hypertension, diabetes not being
14 addressed properly and for the year 2030, they are
15 actually predicting that not only will there be
16 5.6 million strokes as there are today, but there
17 will be an additional 4 million strokes on top of
18 that, so it's a pervasive condition. It's
19 exceedingly common, and it's something that is
20 really underfunded in terms of the NIH. In terms
21 of the disability, it is the number one cause of
22 disability in our country. 20 percent of people
23 have some sort of communication disorder, 50
24 percent have some loss of motor function, so they
25 can't walk anymore. They can't use their arms

1
2 anymore, and so this is a really vital place where
3 research money should be placed. I have listed
4 some of—we actually have 19 ongoing stroke
5 research projects at Columbia right now, and some
6 of them are treatment based, so when the person
7 who comes in with the acute stroke, they get sort
8 of triaged into what research project would they
9 be most appropriate for, and we look at what are
10 the best drugs used in the acute stroke setting.
11 Are they appropriate for intervention? What are
12 the drugs that they should be sent home on? And
13 treatments have changed over the years because of
14 these research studies. Beyond that, we look at
15 stroke prevention, and primary and secondary
16 stroke prevention research studies and we have
17 listed some of those as well, and then finally,
18 one of the things that I wanted to talk a little
19 bit about is a project that has been really wildly
20 successful in the city called Hip Hop Stroke, and
21 this is something that started out in Harlem, and
22 it was a stroke neurologist at Harlem Hospital,
23 who got together with a hip hop artist called Doug
24 E. Fresh, and they put together a video teaching—
25 Oh, you know this?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

COUNCIL MEMBER DICKENS: Thank you for your testimony, but we in the City Council we fund that program.

DR. SUSANNA HORVATH: Oh, that is marvelous. So you know all about it?

[crosstalk]

DR. SUSANNA HORVATH: Oh, that is marvelous. I did not know that. Thank you very much for that funding.

[crosstalk]

DR. SUSANNA HORVATH: Exactly, Dr. Williams, so Dr. Williams has—

COUNCIL MEMBER DICKENS: - - .

DR. SUSANNA HORVATH: This project, this Hip Hop Stroke, had requests as far as China and Germany and all across the United States. People have been looking at this, trying to see how they can translate it into their own communities, and for those of you who don't know, in Harlem there are many instances where grandparents live with their grandchildren, and it's teaching children in schools the signs and symptoms of stroke and how to reach out to 911 in the event of strokes. So it's actually a very

1
2 marvelous project that has had great success, and
3 so what they are looking at is something called
4 stroke in a box where they can actually mail this
5 out to various communities in the country. People
6 can get online. They can learn about stroke
7 through the website rather than in class
8 education, so it's very interesting and it is sort
9 of a new paradigm in terms of education in the
10 community about stroke. Thank you.

11 DR. SALMAN AZHAR: Thank you,
12 Susanna. My name is Salman Azhar. I work at
13 Lutheran Medical Center, and for all of you
14 familiar with Lutheran, we are a fairly large
15 network, which is sort of has one hospital
16 Lutheran Healthcare in Sunset Park and then we
17 have a very large family health network, which is
18 present all of the boroughs, in homeless shelters
19 and schools, as well as in our own primary care
20 sites. We have nursing homes as well as some
21 senior housing, so we sort of run the gamut of
22 healthcare and taking care of people, and why that
23 is important—and I'm the chief of stroke and
24 neurology there—and why that is important is
25 because when we think about stroke, yes, it's the

1
2 fourth leading cause of death in our city, but
3 it's also the leading cause of disability. The
4 number of people as Councilman Eugene, you so
5 correctly pointed out that walk around with
6 disability from their stroke, the number of people
7 who are stopped from living full lives, the number
8 of people who cannot pick up their grandchildren
9 is incredibly—it's like the silent group even in
10 stroke care. We have talked a lot about early
11 treatment. Early treatment is very sexy. There
12 is nothing better than—I had a patient like this
13 last night—came into the emergency room within an
14 hour of having a stroke. We were able to give her
15 intravenous tPA, and she had a very good reversal,
16 and she looks great today. There is nothing
17 sexier about that. There is nothing more that you
18 can do in sort of almost miraculous fashion in the
19 emergency room that will make that kind of
20 dramatic change, but at our hospital in our health
21 system about 15 percent of our patients get
22 intravenous tPA, which is one of the highest rates
23 of giving this drug in the country, but that still
24 leaves 85 percent of people who if we are going to
25 have an impact on in our city, it is going to be

1
2 with prevention and then it's going to be with
3 preventing the second stroke from happening, and
4 it's going to be with treating the disability that
5 happens from that stroke so we can get people back
6 to work, get people back to living a more fuller
7 life. Numbers mean a lot and I think it's very
8 important to think about the research what the
9 impact of research that the NIH has funded, but I
10 always like to bring it down to sort of people. I
11 have a patient of mine, her name is Katie, she is
12 a 311 operator, and she lives in Sunset Park. She
13 is 28 years old. She showed up in our emergency
14 room with car service, and for people that know
15 Brooklyn—that is certainly how a lot of people get
16 to the emergency rooms, and so not knowing that
17 she was having a stroke, and had a stroke. We
18 actually thanks to the City Council funding us
19 have actually the ability to do comprehensive
20 stroke. We have a - - that we are able to do all
21 of these fancy catheter based treatments, so we
22 were able to get to her fast, even though she was
23 out of that initial window, get to her fast, take
24 out her clot, and save as much brain as possible,
25 but if that is where it stops, then we haven't

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

done our job.

CHAIRPERSON ARROYO: Doctor, you said she is 28?

DR. SALMAN AZHAR: 28. A large number of strokes happen in young people, and when we start talking about prevention, it's about recognizing what your risk factors are—not just at age 50 or 65, it is what your risk factors are at age 21, and depending on your ethnicity, depending on your family history—so how do we get that information across? We get it by starting to get into the communities, and one of the things I think which is very important for the NIH to continue funding and fund at a much higher level is we know what saves strokes, we know what prevents strokes. How do I get that into the home of a Chinese family living in Sunset Park? How do I get that into Katie's home? How do I get that into someone who the kids of the mother who is going to have that stroke so the kids recognize the stroke and call 911? That is the key. So Katie comes in. We treat her. We have done—but she still walked out with significant disability. We put her through an intensive rehabilitation,

1
2 and she still gets rehabilitation at this point,
3 but - - . she got engaged. Her boyfriend has
4 been amazing—her fiancé, and she is back at work.
5 That is a success story that happens not just
6 because we do what we do upfront, and I agree with
7 you that we do have somewhat of a notification
8 system, but we need to expand it, and teach it,
9 and get people to sort of understand what it means
10 to call 911, but it's what we do at the other end
11 of it. So I'm going to talk today about I'm a big
12 proponent of more research dollars on prevention,
13 but not just prevention, but prevention that is
14 effective in our different cultural communities,
15 prevention that is able to get and get - - from
16 people with different ethnicities, different
17 language barriers, different socioeconomic status.
18 We know that our Asian and Hispanic patients do
19 less well than our Caucasian patients do. We know
20 that their blood pressure often times less well
21 controlled. We know that people who don't have a
22 primary care physician are more likely to have
23 worse outcomes from their stroke even if they get
24 to the right hospital. This is clearly where we
25 can have the biggest impact is having the NIH fund

1
2 research that actually looks at how we get our
3 message across and our teaching across through
4 culturally diverse communities, and
5 socioeconomically diverse communities. Lutheran
6 is a comprehensive rehab center, a stroke center
7 and I am one of those people who believe that
8 while it's very important to have big academic
9 centers in Manhattan, it's also important to have
10 strong community academic centers that can do the
11 comprehensive care, so we are not crossing the
12 bridge to get to Manhattan, but Maimonides and
13 Lutheran being two such places where we can do
14 this right in our borough, so that - - a smaller
15 center that is just a primary center that can give
16 the intravenous tPA can then ship that patient
17 over to us or Maimonides or Methodist so that we
18 can actually have faster treatment, so we can save
19 more brain. The other place where we can spend
20 money is in rehab, and that has been—the American
21 Stroke Association is very key at sort of pushing
22 that. That has been a very recent expansion of
23 research dollars has been in the area of recovery
24 from your stroke. We now know that certain types
25 of technologies can actually allow you to continue

1
2 recovering, and here is the rub—and Councilman
3 Eugene, you said something which I always find
4 very interesting, wouldn't it be great if all of
5 the people walking down the street in front of
6 this building who had the difficulty that one arm
7 or one leg—they are obviously walking, they are
8 obviously living at home, but still with
9 difficulty, knew that they had many more options
10 to keep getting better? Wouldn't it be great to
11 know that they don't stop recovering from their
12 stroke three months or six months after their
13 stroke, but we have people who continue recovering
14 five years after their stroke, if you can get them
15 married to the right kind of recovery, rehab
16 therapy. So this is where not just the
17 dissemination of information but where the NIH has
18 been very instrumental at funding new trials that
19 will allow us to understand how we can change the
20 brain's chemistry so that other areas of the brain
21 can take over and actually allow you to walk
22 again. We have trials that are coming up that
23 actually are looking at stem cells. We have
24 trials that are coming up that are actually
25 looking at stimulating the brain electronically,

1
2 and we have trials that are coming up that
3 actually are looking at robotic prosthetics, so
4 you put something on your leg that makes your leg
5 walk, but it's connected to your brain, so when
6 you think about walking, you can walk. These are
7 not for the most amazing city in the world; this
8 is I think our birthright. This is where the
9 research needs to come, and this is where our
10 hospitals, all of us, have the ability to deliver
11 a level of care, and I think we are, and I wanted
12 to answer that question where are we in terms of
13 how good are we? We are very good. Our system
14 sometimes is fragmented and sometimes we compete
15 against each other, but we are very good at
16 delivering care. We need to understand with
17 better research what are the most effective ways
18 of teaching, of delivering in the early stages,
19 and continuing—which patients will continue to get
20 better with the different treatments we have for
21 rehabilitation. So Katie is back at work at 311,
22 and her most common complaint is whether she gets
23 a chair that faces this way or this way because
24 her left side is still weak, but that is not bad
25 from a lady who came in with a major stroke and a

1
2 complete paralysis of the left side, who could not
3 walk for the first six weeks after her stroke, so
4 it's important to think when we think about what
5 this money means and what this research means, it
6 means Katie. It means the people that we take
7 care of on a daily basis in our city, who could
8 benefit because if they remember to call 911, they
9 will get to the right hospital in time. And I was
10 just counting this. We have about nine hospitals
11 in Brooklyn that are designated centers out of
12 about 12. So a very high percentage. So the
13 state—and I'm a member of the state's advisory
14 group on stroke—has been very good at designating
15 a lot of hospitals for the primary stroke care.
16 We have work to do about the comprehensive. We've
17 got work to do about improving EMS delivering
18 these patients, but they already do to some
19 degree. We can do better, and that is where the
20 money needs to go into. And I again, I just want
21 to thank the City Council. As I said, in fiscal
22 year 2012, you funded us to get a biplane machine,
23 and that has allowed us to deliver this level of
24 care as a community academic center right in
25 Brooklyn, and that is impressive for any city, but

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I'm glad I'm in New York City. Thank you.

CHAIRPERSON ARROYO: Thank you for your testimony. I'd like a map with dots—

DR. SALMAN AZHAR: [interposing] We have such a map.

CHAIRPERSON ARROYO: I'm more visual. What I am going to do is I'm going to ask the previous panel to join this panel in case you want to chime in and support each other in this conversation. So a map with those designated centers, so that I can see—

DR. SALMAN AZHAR: [interposing] I wish I could have read your mind. I have a map sitting next to my computer.

CHAIRPERSON ARROYO: For the city?

DR. SALMAN AZHAR: Of the city—of just the city. Yes.

DR. SUSANNA HORVATH: So for the city the text I got was 47 hospitals.

CHAIRPERSON ARROYO: Okay, and where are they? And that is where the map becomes useful. Best practices, and I think if they're so good everyone should be doing it. Do we agree on what those best practices are? Why aren't they

1

2 used at every single facility? Is it an issue of
3 competition? I don't understand—the fact that you
4 are all sitting here collegially and sharing, and
5 then when you go back home, Columbia and Lutheran,
6 are you guys playing in the sandbox?

7

DR. SUSANNA HORVATH: I think we

8

are.

9

DR. SALMAN AZHAR: I appreciate

10

that you included Lutheran in the same breath as

11

Columbia. It's always nice.

12

CHAIRPERSON ARROYO: Why wouldn't

13

I?

14

DR. SALMAN AZHAR: I agree with

15

you.

16

CHAIRPERSON ARROYO: If you are in

17

Brooklyn, you are Columbia as far as I'm

18

concerned, so it's not about geography. It's

19

about what service is available in the community

20

and how that service is going to improve the

21

quality of our lives, so Columbia, Manhattan,

22

Lutheran in Brooklyn, Lincoln in the Bronx. So

23

it's not an issue of status for me at all. I

24

don't think anyone is better than the other.

25

DR. SALMAN AZHAR: I think as a

1
2 stroke group we are very collegial, and - - New
3 York City group that meets very frequently and
4 sort of discusses cases on how to manage them. We
5 have a statewide group that does exactly the same
6 things, so there is a lot of crosstalk. We ask
7 each other about their opinions, so that we can
8 help each other sort of manage cases. I think New
9 York City is special, and a lot of it is driven by
10 the American Stroke Association's New York City
11 Stroke Chapter because it really sort of fosters
12 that level of crosstalk.

13 CHAIRPERSON ARROYO: Anybody else?
14 My husband if he doesn't get me on one phone, he
15 calls the other. No? Best practices. Why aren't
16 we sharing those ideas with each other and
17 implementing? You talked about competing and when
18 it comes to how we can improve the health of our
19 communities, competition is nice, but it is
20 something that I'm not sure should be part of this
21 conversation.

22 ROBIN VITALE: I appreciate your
23 concern about that, and the American Stroke
24 Association has been working diligently to really
25 to your point continue fostering this dialogue

1
2 amongst the stroke leaders in our city. We do
3 have a white paper, a document that was printed
4 several years ago that outlines the best practice
5 that you speak to, and it really details the six
6 parameters, everything from prevention of a
7 primary stroke to stroke rehab, and the components
8 that we would like to see addresses within that.
9 That is another document I'll gladly share with
10 you, but I wasn't sure how far we were going to go
11 into diving into the weeds on this subject, but
12 I'm glad - - .

13 CHAIRPERSON ARROYO: [Interposing]
14 We will do it offline. I think it is important.
15 I'm not sure I'm happy about the fact that our
16 public hospital system is not here, but since this
17 Committee has absolute direct oversight of that
18 system, the conversation with HHC about our want
19 of those, or several of those docs, our public
20 institutions, and where are they in their
21 implementation of these approaches to treatment.
22 So the staff do most of the work, and they prepare
23 the questions, and Joe scribbled here, am I the
24 only one who doesn't know what I biplane - - is?
25 Machine?

1
2 DR. SALMAN AZHAR: Since you funded
3 it, you should know what it is. A biplane machine
4 is basically, it's a fancy x-ray machine that is
5 able to look at blood vessels very carefully when
6 dye is put in them.

7 CHAIRPERSON ARROYO: It doesn't
8 fly.

9 DR. SALMAN AZHAR: It does not fly.
10 Right. But it really helps us able to get into
11 the microscopic blood vessels with a catheter and
12 think about how small these blood vessels are—they
13 are smaller than this—and pull that clot out, and
14 so you can think about a biplane having basically
15 two heads, and it's got these x-rays that are able
16 to look in a very detailed manner, and give us
17 almost a three dimensional view of the blood
18 vessels in the brain, and by doing that, they are
19 allowing us to be able to get in very, very small
20 blood vessels and remove clots that prior to this,
21 we would have never been able to get out, and by
22 doing that, we can save brain.

23 CHAIRPERSON ARROYO: So my
24 suspicion is that we funded it because someone at
25 Lutheran brought it to us as a request for

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

improving or enhancing the technology that the hospital has. How common are they in our hospitals?

DR. SALMAN AZHAR: For in Brooklyn, we have five hospitals that have it right now.

CHAIRPERSON ARROYO: Do you know how many? No?

DR. SUSANNA HORVATH: I'd be curious to find out actually.

CHAIRPERSON ARROYO: So am I. Okay. Maybe when you do the map with the dots, you can add another dot for those that have that kind of equipment. One of the things that I always work on is as a Council we can designate capital dollars to help our institutions whether they be education, health institutions, our part is to improve the quality of the services that are provided, and medical equipment is by far some of the easiest funding that we can make available. It's going to get out. I'll repeat it publically. We often do not get enough requests to help our institutions, be they public or private, improve the quality of the service that they can provide by having more state of the art equipment, medical

1
2 equipment that can aid our healthcare professions
3 in the diagnosis of stroke, whatever condition the
4 patients come in with. So don't be shy. Go to
5 your delegations and ask for money because it is
6 something that we deeply committed to making
7 happen in our city, so now that I am a little
8 smarter about what a biplane is. I appreciate
9 that. Council Member Eugene, did you have some
10 questions?

11 COUNCIL MEMBER EUGENE: Probably
12 one or two questions. You are talking about
13 collaboration between big hospitals, specialized
14 centers and - - that don't have this facility when
15 there is a patient probably if you estimate that
16 that patient should be transferred to another
17 hospital, you give the okay for that to happen,
18 but what about if there is no time for that? No
19 time to get the patient from this point to the
20 other point, so what do you - - at the small
21 centers who are not specialized in the situation?
22 Because we know that if the patient is in a stroke
23 center this is the best way to be. Everything
24 would be taken care of very properly, efficiently.
25 What about those hospitals that are not stroke

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

centers, and then the patient is there? How do those centers handle the situation in terms of providing the best treatment possible to the stroke patient?

DR. SALMAN AZHAR: I can certainly try to answer that. I think telemedicine is an incredibly important aspect of this, and the reason why I say that is that—

FEMALE VOICE: - - .

DR. SALMAN AZHAR: Sorry. Telemedicine is an incredibly important aspect. So what prevents a hospital from becoming a stroke center? Yes, it's the hardware, the machinery, but it's also the doctors and the nurses and the ER doctors who are comfortable with the disease and are able to recognize it early and move quickly, so for example, neurologists there are not that many neurologists around, so with telemedicine we can deliver a neurologist to that patient's bedside in pretty much any hospital, and they can evaluate that patient, they can move the patient through a system that gets the cat scan quickly, and in fact, based on those recommendations, and this has clearly been shown

1
2 out in studies funded by the NIH that it's safe to
3 give tPA based on the recommendations of a
4 neurologist using telemedicine, and people use it
5 in sort of rural upstate a fair amount, but we
6 could use it in New York City. There are some
7 hospitals that just cannot afford to have a
8 neurologist on call who can come in 24-7, but they
9 can certainly afford to have—or we can help them
10 put up a telemedicine unit into their emergency
11 room, so we can—our neurologist who is already on
12 call can take of the patient. The first thing is
13 how do we improve the delivery of this drug and
14 the care of stroke patients in every hospital?
15 That is the first thing, and then how do we help
16 our partners in these hospitals learn to sort of
17 move these patients quickly through the process so
18 that we get them to the larger hospitals much,
19 much quickly. I think that is the important
20 thing. I do want to point out one thing, and I
21 think we sometimes get lost, and I said this in
22 the beginning in the sexy stuff. What really has
23 made a difference in stroke outcomes - - is not
24 just that biplane machine and the tPA. It's been
25 very important, but what we do for that patient

1
2 after they have had any of this stuff—the stroke
3 unit, the nurses, the therapists, the
4 nutritionists, who have educated themselves and we
5 have educated to take better care of these
6 patients so they don't get pneumonia, so they
7 don't get a clot in their leg, which makes them
8 worse. So there is a lot of what I call heavy
9 lifting that we know with studies funded by the
10 NIH that makes a huge difference in outcomes, so
11 it's important that from the moment they hit the
12 door before they hit the door to all the way
13 through we help these smaller hospitals actually
14 do better because we are able to provide them some
15 resources such as via telemedicine and protocols
16 and systems that we can share with them, and I
17 think that is an important way to sort of expand
18 our system and access to the care.

19 CHAIRPERSON ARROYO: Council

20 Member, if you can allow me. The care once the
21 patient has been stabilized and you're discussing—
22 how you are presenting it is during their
23 inpatient stay, the staff training nurses,
24 everyone that surrounds the patient to provide the
25 services, what about when—upon discharge aftercare

1

2 and support for caretakers? Can you talk a little
3 bit about that? What should we be looking at or
4 having a conversation about in terms of - - up the
5 backend of the patient's care to reduce the amount
6 of time that it takes Katie to go from treatment
7 to her desk at work?

8

DR. SALMAN AZHAR: Yes.

9

Absolutely.

10

ROBIN VITALE: I would say one area

11

that any decision maker in the government needs to

12

be cognizant of is Medicaid and Medicare coverage

13

for stroke rehab. That has been another element

14

that has been threatened in the past. We have

15

worked hard to protect those inclusions in the

16

Medicaid and Medicare, but it is something that is

17

rather consistently a threat, so that is one area

18

that I would encourage your oversight.

19

DR. DANA LEIFER: Sort of just

20

following up on that, the physical therapy,

21

occupational therapy are very important not only

22

in sort of the short term recovery period but they

23

also are really important in a sense as

24

maintenance therapy so that people maintain, keep

25

the progress that they have made. At the moment

1
2 there is a great tendency for these therapies to
3 stop once the patient is no longer improving and
4 what tends to happen then is that they become less
5 active that they are doing less, and they actually
6 lose some of the progress that they have made
7 initially. I think that as we think about sort of
8 what insurance covers, what Medicare or Medicaid
9 should cover, and I think there has been some
10 movement in this direction, but we ought to be
11 thinking about providing for longer term kinds of
12 physical therapy that will enable people to keep
13 the progress that they have made and even to
14 continue to make more progress at a slow rate over
15 longer periods.

16 CHAIRPERSON ARROYO: I don't want
17 to misunderstand what you have just said, Doctor.
18 So there comes a point in the patient's care or
19 rehab, rehabilitation, beyond which they stop
20 receiving intervention if they are not
21 demonstrating improvement?

22 DR. DANA LEIFER: In general, that
23 is the way that our system seems to work at the
24 moment.

25 CHAIRPERSON ARROYO: In general?

1
2 DR. SUSANNA HORVATH: So in other
3 words an insurance company will pay for you to
4 have say eight weeks of rehab and that is it or
5 you will get a certain number of visits a year,
6 and then when you run out of those visits, you are
7 done or they will say that you are no longer
8 improving as quickly as we'd like to see. We no
9 longer see you reaching certain milestones, so you
10 have plateaued, so at that point, they say okay,
11 no more rehab.

12 CHAIRPERSON ARROYO: Wow. Okay.
13 I'm sorry. Okay.

14 COUNCIL MEMBER EUGENE: One more
15 question about prevention because I love
16 prevention. I know that you love prevention too.
17 You were talking about the importance of bringing
18 the information to the community, to the Chinese
19 people - - and it is like bringing the cookies
20 from the - - to - - , and my question is what
21 Lutheran is doing to educate the people to bring
22 awareness to the people to prevent stroke? Any
23 program that you have for-community program,
24 outreach to let people know about the signs and
25 symptoms, the importance of preventing stroke and

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

lifestyle?

DR. SALMAN AZHAR: So what we do really well Lutheran is actually partnered with our community organizations that are in the community itself to actually bring this information, and a lot of this just is about getting it out there enough, getting it out there in ways that are accessible, so we work with--in Brooklyn's Chinatown we work with community organizations in that center, and we have a center there that actually does a lot of free education, but actually looks at things like diet, the Chinese diet, and doesn't come up with sort of what we think is right, but actually asks them, well, if you had to make this meal and you wanted to cut down the fat that you ate in that meal and cut down some of the sugars, how would you make it? And we actually do this so we can come up with recipes that actually come from the community that sort of help understand what is a good diet. When we talk about smoking cessation, there's a lot of good data on smoking cessation, but how do we get that person in that community to stop smoking? Well, it's not just by going to that

1
2 person. We have got to get the family, the
3 church, the community members involved in that
4 process because as we all know, we all think we
5 have amazing willpower, but it's really our
6 families and our neighborhood and our communities
7 that help us do better. So we have done a lot of
8 outreach through our family health centers,
9 through our partnerships with our community
10 organizations, through the churches group. We
11 have a churches roundtable in Brooklyn that we
12 actually meet with a number of different pastors
13 from different denominations and talk about how we
14 are doing, and then we go out and actually see are
15 we doing better? Are we having an impact? I
16 think that is one of the principles that we have
17 always used. There's a lot of work to be done.
18 We can be doing this work in the homeless
19 shelters. We already do this in the schools, but
20 we can be doing a better job in the schools so we
21 are actually getting the message. We have nurse
22 practitioners that are in the schools and we are
23 actually teaching them to start talking about
24 smoking cessation, about checking if you have high
25 blood pressure, if your family has a history of

1
2 high blood pressure. I don't think it's a one
3 stop kind of thing. We have to tailor our message
4 and our means to our communities, and I think that
5 is the something that we take a lot of pride in at
6 Lutheran is the whole cultural competency part of
7 this. How do we access the community? And it's
8 not us telling the community how we access; we ask
9 them, how do we get this information out to you in
10 the most effective manner? And then you have got
11 to do it again and again and again.

12 COUNCIL MEMBER EUGENE: thank you
13 very much. Thank you, Madam Chair.

14 CHAIRPERSON ARROYO: Thank you. If
15 there is no one else here to testify, I want to
16 thank you all for your conversation here today,
17 and the primary reason why we are doing the
18 hearing is to discuss the resolution sponsored by
19 Council Member Eugene, 982-A, and you know, the
20 formal process of the Council and whether it is
21 going to come up for a vote is something that we
22 will—or when we will sort out over the next week
23 or so, but the larger conversation about how we
24 while supporting the message to the president and
25 to our federal government not to reduce funding


1
2 for stroke research, to increase funding so that
3 we can have greater opportunity to fund the very
4 particular nuances of what we need to do as a city
5 to provide services and community. Whether it be
6 prevention or more direct hands on care is a
7 conversation that we need to continue having, and
8 I look forward to the ongoing conversation. Thank
9 you all very much, and I think this is the last
10 hearing this month for this Committee. I wish you
11 all an incredible holiday and an incredible New
12 Year. Bring back a lot of energy. We have got a
13 lot of work to do. This hearing is adjourned.

14 [gavel]

C E R T I F I C A T E

I, Kimberley Uhlig certify that the foregoing transcript is a true and accurate record of the proceedings. I further certify that I am not related to any of the parties to this action by blood or marriage, and that I am in no way interested in the outcome of this matter.

Signature _____

Date _____12/16/12_____